

2-24-1978

## Deposition of Charles Robinson

Court Reporters

*Reiter, Storey & Miller Court Reporters*

Follow this and additional works at: <https://digitalcommons.law.uidaho.edu/walton>

---

### Recommended Citation

Reporters, Court, "Deposition of Charles Robinson" (1978). *Confederate Colville Tribes v. Walton (Colville Tribes)*. 21.  
<https://digitalcommons.law.uidaho.edu/walton/21>

This Deposition is brought to you for free and open access by the Hedden-Nicely at Digital Commons @ UIdaho Law. It has been accepted for inclusion in Confederate Colville Tribes v. Walton (Colville Tribes) by an authorized administrator of Digital Commons @ UIdaho Law. For more information, please contact [annablaine@uidaho.edu](mailto:annablaine@uidaho.edu).

FEB 24 1978

1 UNITED STATES DISTRICT COURT  
2 EASTERN DISTRICT OF WASHINGTON

J. B. FALLOQUIST, Clerk  
*AS* Deputy

3  
4 COLVILLE CONFEDERATED TRIBES, )  
5 Plaintiff, )  
6 v. )  
7 BOYD WALTON, JR., et ux., et al., )  
8 Defendants, )  
9 and )  
10 STATE OF WASHINGTON, )  
11 Defendant )  
12 Intervenor. )

Civil No. 3421 ✓

12 UNITED STATES OF AMERICA, )  
13 Plaintiff, )  
14 v. )  
15 WILLIAM BOYD WALTON, et ux., et al., )  
16 Defendants. )

Civil No. 3831

17 DEPOSITION OF CHARLES ROBINSON

18 Deposition upon oral examination of Charles  
19 Robinson, taken at the request of the Defendant Intervenor,  
20 before David Caviezel, a notary public, at Room 897E, Federal  
21 Building, Spokane, Washington, commencing at or about 10:15  
22 a.m. on January 6, 1978, pursuant to the Federal Rules of  
23 Civil Procedure.  
24  
25

217

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

APPEARANCES:

FOR THE PLAINTIFF  
COLVILLE CONFEDERATED  
TRIBES:

WILLIAM H. VEEDER  
Attorney at Law  
818 - 18th Street N.W.  
Washington, D.C. 20006

and

STEPHEN L. PALMBERG  
Attorney at Law  
P.O. Box 150  
Nespelem, Washington 99155

FOR THE WALTONS:

NANSEN & PRICE  
By: RICHARD B. PRICE  
Attorney at Law  
P.O. Box 0  
Omak, Washington 99841

FOR THE STATE OF  
WASHINGTON:

LAURA E. ECKERT and  
ROBERT MACK  
Assistant Attorneys General  
Temple of Justice  
Olympia, Washington 98504

FOR THE UNITED STATES  
OF AMERICA:

ROBERT M. SWEENEY  
Assistant U.S. Attorney  
United States Courthouse  
Spokane, Washington 99201

CHARLES ROBINSON

called as a witness at the request of  
the Defendant Intervenor, having been  
first duly sworn according to law, did  
testify as follows herein:

1 EXAMINATION

2 BY MS. ECKERT:

3 Q Dr. Robinson, would you state your name and  
4 spell it for the record?

5 A Charles S. Robinson, R-o-b-i-n-s-o-n.

6 Q Where do you presently reside?

7 A Golden, Colorado.

8 Q By whom are you presently employed?

9 A I'm self-employed.

10 Q In what capacity?

11 A Consulting engineer and mining geologist.

12 Q In that capacity have you had occasion to be-  
13 come familiar with the area known as No Name Creek Basin,  
14 Colville Indian Reservation, Washington State?

15 A Yes.

16 Q Would you please explain to me when your first  
17 contact or knowledge of that area was?

18 A My first visit to the area, I discussed it with  
19 Mr. Veeder and others prior to that, was approximately two  
20 years ago.

21 Q And are you hired directly by the tribe at  
22 this point?

23 A Yes.

24 Q So you're not under subcontracting, say, to  
25 Morrison Maierle?

1           A.     Not at the time.

2           Q.     Okay, fine, and can you tell us a little bit  
3 why you were hired by the tribe, for what purposes?

4           A.     I was hired by the tribe on the advice and  
5 counsel of Mr. Veeder.

6           MR. VEEDER: He's a good man.

7           Q.     (By Ms. Eckert) I take it you were to perform  
8 certain investigations for the tribe. What kinds of investi-  
9 gations?

10          A.     To determine the geology and ground water  
11 hydrology of the No Name Creek Basin.

12          Q.     Did you so in fact determine the ground water  
13 geology and hydrology of the No Name Creek Basin?

14          A.     Yes.

15          Q.     And what kind of study did you make in the  
16 process of determining the geology and hydrology of the basin?

17          A.     I made a study of the geology of the No Name  
18 Creek Basin, and I studied the available data and records on  
19 the ground water hydrology.

20          Q.     Going into the first part, you studied the  
21 geology of the basin. How did you study the geology of the  
22 basin?

23          A.     I went into the field and observed the natural  
24 materials that occur in and around the No Name Creek Basin.

25          Q.     Did you do any field mapping?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A. Yes.

Q. Do you have those maps with you?

A. No.

Q. Now, with respect to studies of the ground water hydrology you said you investigated records of that. Would you tell us which records you investigated and looked at?

A. I reviewed the records that were compiled or data obtained by Morrison Maierle in their investigations, and have reviewed the records by the U.S. Geological Survey. They were obtained by the U.S. Geological Survey.

Q. For what purpose?

A. To understand the hydrology of the No Name Creek Basin.

Q. Did you prepare a report on your own of your understanding of the hydrology of No Name Creek Basin?

A. No.

Q. Did you make any report or memoranda to anybody in the tribe concerning your conclusions?

A. No.

Q. Let's see. Did you at any time during your period of employment with the Colville Tribes have any associates working with you?

A. My work was in association with Morrison Maierle.

1 Q I see, but you had no associates of your own?

2 A I brought none of my own staff, no.

3 Q Now, I'm a little bit confused on all of this.

4 The study that you did of the ground water hydrology, are you

5 familiar with the work that Mr. Kaczmarek did?

6 A Yes.

7 Q To what extent did your studies overlap his

8 studies?

9 A I overlapped his studies except for the soil.

10 I made no specific soil investigation.

11 Q Did you make any conclusions respecting annual

12 water supply available in the No Name Creek Basin?

13 A No, I have not.

14 Q Now, we have been talking about bedrock in

15 the No Name Creek Basin. Would you describe on the basis of

16 the study that you made in the area what is the bedrock in

17 that area?

18 A Bedrock is an old granitic material.

19 Q Did you make any determination of the depth of

20 that grinitic material throughout the basis?

21 A I reviewed the logs which drilled through the

22 over burden on top of the bedrock and have made cross sections

23 or constructed cross sections through the valley which would

24 determine the approximate depth of bedrock through--well, the

25 geometry of--

1 Q Do you have those cross sections with you?  
2 A No.  
3 Q For what purpose were these cross sections  
4 used?  
5 A To find--  
6 MR. VEEDER: May I let the record show here  
7 that we do have exhibits developed by Mr. Robinson, and the  
8 exhibits were going to be used in trial, ma'am.  
9 MS. ECKERT: I'm aware of that. I asked if he  
10 had the cross sections with him today.  
11 MR. VEEDER: And the cross sections is what  
12 we're talking about, and I think they're here.  
13 MS. ECKERT: But if the witness can testify,  
14 Mr. Veeder, if he doesn't know that they're here that's what  
15 he has to answer.  
16 THE WITNESS: The cross sections that were here  
17 were constructed by Morrison Maierle. The cross sections  
18 that I specifically constructed were reviewed, I should say,  
19 and used by Morrison Maierle for the presentation of the  
20 exhibits are not here.  
21 Q (By Ms. Eckert) In discussing the bedrock we  
22 have been talking about the granite lip. Is that a portion  
23 of the bedrock that is an outcrop of bedrock?  
24 A The exposure of the bedrock.  
25 Q Are there areas in--I'm referring you to



1 Deposition Exhibit 1, or you can also use the larger one,  
2 Exhibit 2, are there areas in Allotment 526 in which bedrock  
3 outcrops show, to the best of your knowledge?

4 A. Yes.

5 Q. Okay, and where generally are those outcrops  
6 located in 526?

7 A. I'll refer to Deposition Exhibit 2, if I may.

8 Along in Deposition Exhibit 2 in Allotment S-  
9 526 bedrock is exposed or crops out along the western side of  
10 that allotment, west of the area which has been defined as  
11 the irrigated and irrigable area. There is also some outcrop  
12 along the eastern limit of that allotment.

13 Q. Okay. Let me ask you this, referring you to  
14 Deposition Exhibit 2 and the yellow shaded area in there  
15 which are marked, undeveloped irrigable acres, in 526 are  
16 there any outcrops of bedrock in any of the yellow shaded  
17 areas, to the best of your knowledge?

18 A. Not to the best of my knowledge.

19 Q. Okay, and in 892 and the yellow shaded areas  
20 are there any outcrops of bedrock?

21 A. Yes there are. There is a--you mean totally  
22 encompassed by the yellow areas, as I understand your question?

23 Q. No, I'm talking about in the yellow area, are  
24 there outcrops of bedrock within that to the best of your  
25 knowledge?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A. No.

Q. Again, with reference to Allotment 901, the yellow areas in there?

A. There are no outcrops of bedrock within that yellow area.

Q. Now, the granite lip that we have been referring to is a point at which No Name Creek flows over an outcrop of bedrock, is that correct?

A. That's correct.

Q. Are there any other similar structures over which No Name Creek passes in the No Name Creek Basin?

A. By the term, structure, you mean is there any place that--I want to clarify your question--is there any place where the No Name Creek flows over granite bedrock?

A. That's correct.

A. No.

Q. Okay. Now, that's with reference to surface flow. Now, I take it that the flow of water through granite bedrock is very small, is that correct?

A. Negligible concerning--

Q. Okay. What I'm asking is, referring you to Exhibits 1 and 2, the present course of No Name Creek is in a generally southerly direction based on your study of the geology of that basin. Are there any other points at which waters in the No Name Creek Basin are leaving the basin other

1 than the granite lip?

2 A. Your question confuses me because--

3 MR. VEEDER: Then don't answer it.

4 MS. ECKERT: Well, I have no further questions  
5 then.

6

7

EXAMINATION

8 BY MR. PRICE:

9 Q Mr. Robinson, could we see the exhibit that's  
10 been prepared outlining the depth to bedrock, the exhibit to  
11 which you're going to refer in your testimony at trial?

12 A. There are cross sections on which the bedrock  
13 profile is shown.

14 MR. PRICE: That exhibit is here today, appar-  
15 ently?

16 MR. VEEDER: Yes.

17 Q (By Mr. Price) What I want to get at is some  
18 depths, and if you can give those to me without referring to  
19 it?

20 A. I couldn't at this time.

21 Q All right. I'd like to see that if we could,  
22 please, have that produced.

23 MR. VEEDER: Mr. Price, the representative of  
24 the State of Washington raises some issues about materials  
25 that were prepared by Dr. Robinson. If you want those

1 available in the courtroom or if you want them here in  
2 advance of trial they will be made available. I want the  
3 record to show that. We have all the data available, we have  
4 just brought the finished exhibits. So if you're calling for  
5 those we'll get them for you.

6 Q (By Mr. Price) Right, Doctor.

7 A Yes, we can. I just--you know, they're work-  
8 sheets.

9 MR. PRICE: All I would like to see is a  
10 finished exhibit.

11 (Discussion off the record.

12 MR. PRICE: Have either of these been marked?

13 THE WITNESS: I don't believe so.

14 MR. PRICE: Are you going to order this or not?  
15 You haven't?

16 MS. ECKERT: Not this particular one, no.

17 MR. PRICE: Don't even bother marking it, then.  
18 We'll call Geologic Profile M-M' as Exhibit M-M'.

19 Q (By Mr. Price) Would you describe what that  
20 depicts, please?

21 A May I add to it also that part of it is put  
22 together as a Geologic Profile L-L'. The letters refer to  
23 lines on a plan map so that you know specifically where the  
24 line of section is, and this is the slice along that line.  
25 Rather than looking at the ground from the top we are now

1 looking as if we cut the ground in half and we could stand  
2 back and look at it. The profile, Geologic Profile L-L' and  
3 Profile M-M' is a profile that goes down or the length of  
4 the No Name Creek Basin. In other words, we start at the--  
5 this would be essentially the north end of the No Name Creek  
6 Basin. For instance, they've labeled on the exhibit the  
7 Paschal Sherman School Well No. 1 which we have discussed in  
8 testimony, and then at the far right at the exhibit, M-M' is  
9 shown Omak Lake. So this cross section extends from the north  
10 end of the No Name Creek area to Omak Lake and goes down  
11 essentially along the axis of the No Name Creek Basin.

12 Q Okay. There are various colors depicted in  
13 there. Could you describe what these represent?

14 A They represent different types of natural  
15 materials. The blue color is what we have referred to as a  
16 bedrock. This is the granitic bedrock. The green material  
17 is the No Name Creek Aquifer. The red material is the fine  
18 grained sediment in the No Name Creek Basin which does not  
19 yield water, is not an aquifer. That also shows below the  
20 granite lip, and we have an orange area at the far left side  
21 labeled QL which represents aluvium along Omak Creek.

22 Q What is the northern terminus of that exhibit?

23 A The northern terminus would be at the end of  
24 the--just north of the--well, near the end of the intersection  
25 of Mission Creek and Omak Creek.

1           Q     Do you know whether or not that coincides  
2 with the watershed boundary depicted on Exhibit 2?

3           A     Very closely. It goes a little beyond it be-  
4 cause it shows part of the watershed along Omak Creek, but  
5 whis would be included within the Omak--within the watershed  
6 of No Name Creek.

7           Q     There is one color that you haven't described,  
8 I believe, and it's a brown color.

9           A     At the extreme right labeled QT on exhibit,  
10 Geologic Profile M-M' is a brown color, and that represents  
11 material intersected in two drill holes which is--it's a boul-  
12 dery clay material considered as glacial till or moraine  
13 deposition.

14          Q     Also depicted on there at various stages in  
15 yellow are well sites?

16          A     These are both wells and observation holes  
17 which were drilled and are the basis, of course, or the data  
18 used to construct this cross section. They are shown, some  
19 of their colors above the ground and others with the colors  
20 below because the true elevation of the well is plotted. I  
21 might note that elevation is shown along the left margin and  
22 the right margin in this diagram, and all these wells that  
23 weren't drilled along a line were projected into their line of  
24 section at their true elevation, and as a result the colors  
25 in some instances is graphically shown above the line of

1 section.

2 Q This is not three-dimensional, obviously, but  
3 how does the location of the wells line up in terms of how  
4 they're actually placed? Are they in a straight line as could  
5 be depicted coming down the valley?

6 A No. They were drilled, if I may refer to, I  
7 guess, Defendants' Deposition Exhibit 1, they were drilled  
8 across and back and forth throughout the No Name Creek Valley,  
9 and yet--so that they don't fall in any single line. Now,  
10 any two of them we could draw a line through it, but it would  
11 be difficult to draw a line through more than two because they  
12 were drilled in areas to obtain information on materials and  
13 on the ground water levels. When we constructed this section  
14 we just took them--if this one, for example, was maybe three  
15 or four hundred feet, to the right of that section, why, then  
16 it would--it was just brought over to that elevation and  
17 plotted in the line of the section in order to show the type  
18 of material that it penetrated. The Geologic Cross Sections  
19 A-A' and others are sections at right angles, approximately,  
20 to this line of section and show the other dimension of the  
21 valley. They go across the valley, not down the valley.

22 Q That is the Paschal Sherman well as shown,  
23 depicted there, Colville 1 and Colville 2, correct?

24 A Yes, they are.

25 Q And are they depicted there relative to their

1 actual depths?

2 A. They are shown in their true depth, plotted  
3 correctly as were known.

4 Q Paschal Sherman has a funny delineation.

5 MR. VEEDER: Counsel, I wish you'd explain  
6 what you mean by funny.

7 Q (By Mr. Price) Paschal Sherman School Well  
8 No. 1 has solid lines down fairly deep and then it starts as  
9 a dashed line--

10 MR. KACZMAREK: That's the Paschal Sherman  
11 Domestic Well No. 2. Could I explain that for you?

12 THE WITNESS: It's because we don't know the  
13 truth depth.

14 MR. KACZMAREK: No, that's not--this is the  
15 well that was drilled last summer. This was drilled to bed-  
16 rock, but it was only cased down as far as the solid line.  
17 So the dashed lines show a drilled hole, that it was drilled,  
18 but not cased. There are similar wells that have similar  
19 phenomena depicted, and there is one other well that we do  
20 not know the truth depth.

21 Q (By Mr. Price) Can you tell me approximately  
22 the average depth to bedrock in the green portion moving from  
23 north to south?

24 A. On a scale of what, it's approximately 12, 13--  
25 oh, it's 300 feet, 350 feet. That is well along that line of



1 section. We have to restrict it to the--

2 Q Can you by looking at one of the other cross  
3 sections A, A prime or whatever you call it, give me an  
4 average width of the No Name Creek Valley as it covers the  
5 green portion area in M-M' and L-L'?

6 A If I may, Mr. Price, I'll just flip through  
7 these.

8 Q Fine.

9 A The horizontal scale is one inch equals a hun-  
10 dred feet. The blue on these cross sections is the bedrock.  
11 I think you'll see that the width of that valley changes con-  
12 siderably. Now, I'd like to say that we are starting with  
13 Section A-A' and going through a series, and as we do we go  
14 down the valley cross sections down the valley so it's--

15 Q We'll be starting from south--

16 A Starting from north and going south.

17 Q So actually A-A' would be relative to the north  
18 part of L-L' and M-M'?

19 A Yes. A-A' is shown on Geologic Profile L-L',  
20 and B-B' and C-C', the locations of them are shown.

21 Q They're actually depicted on there?

22 A They're depicted on there, and we just go down  
23 this Section B-B', see if it's expanded, and as we get down--  
24 this is possibly to orient you, this is Section D-D', which  
25 should be approximately in this area.

1           Q     Now, are you able to tell the width of the  
2 basin encompassed within the bedrock at that point from Cross  
3 Section D-D'?

4           A     Well, I can tell it even--we have reconstructed  
5 it based upon a knowledge of geomorphology, the origin in  
6 these basins for different vertical depths. This is starting  
7 from the surface down to where we have interpreted the depth  
8 to bedrock to be.

9           Q     All right. At the surface, let's say, on Cross  
10 Section D-D', would you have knowledge of the width of the--

11          A     Well, the knowledge of the width at the surface  
12 could actually be measured on the ground. We would measure  
13 this off of the map of the surface. So that this width and--  
14 we have a scale here.

15          Q     That's what I'm getting at. Is Section D-D',  
16 Cross Section D-D' to scale so that could be determined?

17          A     Oh, yes.

18          Q     Just from--

19          A     It's accurately constructed, and the horizontal  
20 scale is one inch to a hundred feet. Now, in order to depict  
21 these minor geologic features we have expanded our vertical  
22 scale, and the vertical scale is one inch to ten feet. So we  
23 have a ten times--that's what distorts these pictures, but  
24 you wouldn't be able to show small features in the vertical if  
25 we did not expand that vertical scale.

1 MR. VEEDER: I'd like the record to show that  
2 we have surveyed the widths all the way through, it's going  
3 to be an exhibit, put in the record, so you'll get all that,  
4 Mr. Price.

5 THE WITNESS: These are engineering drawings,  
6 these are accurate drawings within the limits of the ability  
7 of science.

8 Q (By Mr. Price) Paschal Sherman Well No. 1 on  
9 Exhibit L-L' is the irrigation well?

10 A Yes, right.

11 Q All right. Then proceeding to Colville Well  
12 No. 1, Colville No. 1 is the deepest well depicted on Exhibit  
13 L-L' and M-M', is that correct?

14 A I think the Paschal Sherman Domestic Well No.  
15 2 might--is probably deeper, but it's--the Colville Irriga-  
16 tion Well No. 1 is the deepest of the irrigation wells and  
17 the observation wells down the--well, no, these test holes  
18 T-1 and T-2--

19 Q Eliminating anything south of what I'll refer  
20 to as the granite lip, the intersection of the red with the  
21 blue, is Colville No. 1 the lowest well including Paschal  
22 Sherman domestic in light of Mr. Kaczmarek's statement that--

23 A Well, his statement was that the diagram shows  
24 only the depth to casing. Now, the hole may still be open  
25 below that depth.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q The deepest casing depicted is Colville--

A Is Colville Irrigation Well No. 1.

Q All right. Now, you're knowledgeable about ground water movement, is that--

A Correct.

Q That is part of your expertise?

A Correct.

Q Are you able to tell from Exhibit L-L' how far apart Colville No. 1 is from Colville No. 2 and so forth?

A Yes. They are plotted correctly.

Q All right. Is cone of depression a term that is used in geology, hydrology?

A Yes.

Q Are you familiar with it?

A Oh, yes.

Q And what does it relate to?

A It relates to the pumping of a well.

Q Is it true that pumping from one well can affect the ability of another well to produce depending on the relative location to each other?

A Yes.

Q As an example, would it be possible for Colville No. 1, assuming hypothetically it had a power plant capable of pumping great enough quantities of water, to actually reverse the ground water flow from, say, what might be its natural

1 north to south flow, and actually draw it back north, say,  
2 from Walton irrigation well or from Peter's Observation Well?

3 A. We're dealing with a great many hydrologic  
4 factors related to the aquifer. We want to remember, Mr.  
5 Price, that a cone of depression is a three-dimensional fea-  
6 ture and we're looking at two dimensions. If the size of the  
7 well were adequate, the size of the pump were adequate, you  
8 could reverse flow of water from south of the Colville No. 1  
9 well, and the normal grading without pumping would be down  
10 the valley, but if you had all the adequate equipment, all  
11 the things required, you could draw water from south of the  
12 well as far as the Colville No. 1 or the Peter's Observation  
13 Well back towards the Colville No. 1, but it takes a lot of--  
14 there is a lot of if's there.

15 Q. Okay. In your study have you reviewed the  
16 U.S. Geological Survey records with respect to the levels of  
17 the various wells that we have been talking about in this  
18 deposition?

19 A. Yes.

20 Q. Did you make the determination in 1977 whether  
21 in fact that phenomena occurred whereby the water was caused  
22 to flow north from the Peter's Observation Well, Walton new  
23 irrigation well, towards the Colville No. 1?

24 A. I couldn't make the determination of whether  
25 the water flowed, no.

1           Q     What prevented you from making that determina-  
2     tion?

3           A.     I could determine and did make some preliminary  
4     determinations on the extent of the cone of depression which  
5     would, and the cone of depression, at least in the work I  
6     studied, did not show that it intersected the Colville No. 2  
7     well. So that what I'm saying is that you did not have an  
8     immediate reversal of flow. You had a lowering of the water  
9     level in the whole aquifer as a result of pumping all the  
10    wells, but as far as the reversal of flow, you had no data to--

11           Q     According to this, if I understand ground water  
12    phenomena correctly and I'm sure I don't, is it possible that  
13    pumping from Colville No. 1 could draw the water table down  
14    below the lowest point of Walton's new irrigation well, Walton's  
15    abandoned irrigation well, or Walton's new domestic well?

16           A.     Again, it would require--the present installa-  
17    tion I don't think could. If we put all the right plumbing,  
18    the right pumps and everything else, I think we could draw  
19    the water down on that well alone.

20           Q     Okay. Did you make a determination as to  
21    whether or not the pumping in Colville No. 1 has any effect on  
22    Walton new irrigation well, for instance?

23           A.     Pumping in any of the wells in the aquifer  
24    will have an effect on any other well in the aquifer.

25           Q     Then why can't we state that it is possible for

1 Colville No. 1 or the combination of Paschal Sherman, Colville  
2 No. 1 and Colville No. 2 to take the water table down below  
3 the lowest point of Walton's new irrigation well?

4 A. I lost the question. I got the statement but  
5 I didn't catch the question.

6 Q. Is it not possible with the existing systems  
7 that are installed to lower the water table below Walton new  
8 irrigation well?

9 A. Yes.

10 Q. Okay. If that in fact occurred, assume hypo-  
11 thetically that that in fact occurred. Once that occurred  
12 there is nothing Walton could do assuming that's his deepest  
13 well to adversely affect water uses, the water table to the  
14 north of him?

15 MR. VEEDER: I'm going to object to this as  
16 purely hypothetical at this point, Counsel.

17 MR. PRICE: Right.

18 MR. VEEDER: If you've got some fact--

19 MR. PRICE: I'm asking a hypothetical.

20 THE WITNESS: Well, if I understand your ques-  
21 tion correctly, if there is no water in the bottom of Walton's  
22 well he can't pump water, is that what you're saying?

23 MR. PRICE: Right.

24 THE WITNESS: And therefore if he can't pump  
25 water he isn't affecting what water remains in the aquifer?

1 Q. (By Mr. Price) Right. Would that be a fair  
2 statement in this hypothetical situation?

3 A. I'd agree to that, if there is no water in his  
4 well he can't pump it.

5 MR. VEEDER: I think I'd even stipulate to that,  
6 Counsel.

7 Q. (By Mr. Price) In analyzing the U.S. Geological  
8 Survey records did you prepare any graphs or diagrams or  
9 drawings depicting the various cones of depressions of the  
10 wells involved?

11 A. I did not.

12 Q. But in your preliminary study the cone of depres-  
13 sion of Colville No. 1 didn't even reach as far as Colville  
14 No. 2?

15 A. Well, we're getting a problem here in the fact  
16 that during the operation of the irrigation system all the  
17 wells were pumped. The Colville No. 2 was pumped, of course  
18 Walton was pumping his pump, the Colville No. 1 was pumped,  
19 the Paschal Sherman well was pumped, and the only data that  
20 you get from the survey, U.S. Geological Survey records, are  
21 the yield from each of these individual wells and water levels.  
22 So that it is not possible--and the trend through the season,  
23 because of all the operation of all the wells, was to lower  
24 the water level in the whole No Name Creek Aquifer, but you  
25 can't assign which, at what point in time, the level was the



1 responsibility of which well pumping where. That is, the  
2 fact that all of these are interrelated, all of the wells in  
3 the aquifer is interrelated, you're affecting it at four or  
4 five points by your pumping, and the interrelationship, they  
5 all run at the same time, the pumps were all run at the same  
6 time, so that in some cases one cone of depression was over-  
7 lapping another, and at other times they weren't, and it's  
8 not possible just from yield measurements and from the levels  
9 of water in the aquifer to specifically state which cone of  
10 depression was intersecting another well at what point. As a  
11 matter of fact, one cone of depression essentially eliminates  
12 the other cone of depression and they meet and lower the water  
13 level.

14 Q Is there not information available as to when  
15 one well came on whether or not another one was pumping, and  
16 the various--do we have the measurement levels of those  
17 respective wells as of the day that a certain pump well came  
18 on? Those can be overlaid with one another, can they not,  
19 to determine whether there is an effect on--

20 A Unfortunately the U.S. Geological Survey did  
21 not make records of that daily. It would have been of inter-  
22 est had they been willing to do it, but they took records--  
23 I don't want to say infrequently, they took records at inter-  
24 vals, but they were not constant intervals and so you don't--  
25 you only have a yield, for instance, from--

1 Q I'll accept that. Did you chart the lowest  
2 level of the water table in the green area on L-L' for the  
3 year 1977?

4 A I did not, no.

5 Q Do you know whether or not that has been  
6 charted?

7 A I believe it has.

8 Q In other words, if somebody has a figure as to  
9 the level of the water table could we overlay that on here  
10 and see, essentially, based on this--

11 A The calibration on the left-hand side isn't at  
12 the same scale so it wouldn't work as an overlay.

13 Q We can transfer it to this?

14 A We can take--they have plotted the hydrographs  
15 for each of the wells and show the lowering of the water level  
16 in the wells from that U.S. Geological Survey data, and you  
17 can then make a drawing which would plot each one of these out  
18 here. Then we could--we'd have to assume a certain time, that  
19 would have to be a correction, because measurements weren't  
20 all made at the same time either. This is another unfortunate  
21 thing about their program.

22 MR. VEEDER: I would suggest, Mr. Counsel and  
23 Mr. Witness and the State of Washington, that Exhibit 19 covers  
24 that very fully.

25 MS. ECKERT: Do you have it here?

1 MR. VEEDER: It's here, yes.

2 MS. ECKERT: Maybe Mr. Price would like to see  
3 it then.

4 Q (By Mr. Price) Before we get to that, what  
5 I'm getting to, would that tell us whether or not--let's  
6 assume, again hypothetically, that the water level had not  
7 been drawn down below, was still above all of the Paschal  
8 Sherman, Colville 1, Colville 2 and Walton's new irrigation  
9 well, and what I'm getting at, is it possible, the way these  
10 wells are now set up, the operation of one or two or a combina-  
11 tion of any of these could for a period of time affect  
12 adversely any of the existing wells to the point that they  
13 could not operate?

14 A Yes.

15 Q And can you give me an example of such a situ-  
16 ation?

17 A Well, again, it is possible to, say, pump the  
18 --well, if we operate all the wells there are four present  
19 wells which draw large quantities of water from the aquifer.  
20 There is the Paschal Sherman Well, the Colville No. 1 and 2,  
21 and there is the Walton new irrigation well. If we turn these  
22 all on, pump them at their full capacity the records would  
23 show that we would pump the water down, and we would be pro-  
24 ducing more water from the aquifer than, of course, is recharged  
25 during that period of time, and you drop the water level down

1 below Walton's irrigation well it would eventually pump the  
2 water down probably to below the Colville No. 2 Well, which is  
3 at a depth of approximately here, and in that way affect the  
4 production from the Colville No. 2 Well.

5 Q. Temporarily? I'm not talking about drawing the  
6 whole water table down below the depth of any of the wells.  
7 I'm talking just for a short period of time. Can you actually  
8 adversely affect an adjoining well to the point that it could  
9 not pump even though the water table was not down below the  
10 lowest point, below the lowest point of the adversely affected  
11 well?

12 A. I don't think the wells are designed to do that.  
13 In other words, if I pumped only one well, pumped the hell out  
14 of it, it would be a long time before I could make that cone  
15 of depression finally expand so that it intersected all the  
16 other wells. Actually, I could probably calculate that, but  
17 we haven't had that situation.

18 Q. Paschal Sherman was shut down, as I understand  
19 it, sometime during the 1977 irrigation season, and are you  
20 familiar with whether or not the water table was actually below  
21 the lowest point of that well?

22 A. Well, the cone of depression was. The well  
23 couldn't pump water.

24 Q. Okay. The cone of depression, however, doesn't  
25 necessarily--is not the same thing as the ground water table?

1 A. Well, it is the top of that at that point.

2 Q. At that point in time?

3 A. That's right.

4 Q. You shut off the well the cone of depression  
5 is eliminated over a period of time?

6 A. Yeah, given time. You have recovery and the  
7 water around the well then reach test level of the water table.  
8 You're pumping water, a cone of depression develops simply  
9 because you're pumping water faster than it could flow into  
10 that area.

11 Q. Now that you've used all the water up?

12 A. That's right.

13 Q. That's the difference?

14 A. Yeah, but that whole cone of depression and the  
15 amount of water you've taken out, that is distributed in time  
16 again throughout the whole basin, and so the whole basin is  
17 lowered proportionate to the total amount of water.

18 Q. Did you attempt to analyze whether or not the  
19 shutdown of the Paschal Sherman Well was related to an actual  
20 lowering of the water table below the well or whether it was  
21 adversely affected by the cone of depression?

22 A. Well, we're talking about the same thing.

23 Q. No, we aren't.

24 A. The cone of depression is the water level.

25 Q. At a given point in time--

1 MR. VEEDER: I'm going to object to this. The  
2 Paschal Sherman Well was never shut down ever.

3 THE WITNESS: No, you're right, that wasn't.

4 MS. ECKERT: It was No. 1, I think.

5 THE WITNESS: It was the well that was operated  
6 all year to supply water to No Name Creek although it wasn't  
7 used all year for operations.

8 MR. PRICE: Could we see Exhibit 19, please?

9 (Discussion off the record.)

10 Q (By Mr. Price) Mr. Robinson, with respect to  
11 this exhibit entitled Ground Water Profiles, could you explain  
12 for us briefly what that depicts?

13 A. On the exhibit entitled Ground Water Profiles  
14 the top blue line is the surface of the ground and it's along  
15 the same section L-L' which we were discussing as a Geologic  
16 Profile L and L'. The scale is the same both horizontally  
17 and vertically as the Geological Profile L and L'. On it are  
18 shown--

19 Q. Excuse me, just a minute. It does not extend  
20 as far as--or is it just L-L'?

21 A. It is just L and L'. It does not include the  
22 part of M and M'.

23 MR. VEEDER: Maybe the State of Washington, Mr.  
24 Price, would like to have these marked as deposition exhibits.  
25 I hadn't thought to mention it. Do you want these marked, Mr.

1 Price?

2 MR. PRICE: No.

3 MR. VEEDER: You people are in agreement, though,  
4 that there--

5 MR. PRICE: We're in agreement that they've  
6 been prepared.

7 MR. VEEDER: You're in agreement, though--I  
8 have a genuine worry that somebody is going to say, well, we  
9 didn't know these exhibits were available.

10 MS. ECKERT: I'm in agreement that it exists  
11 and has been prepared, but we're not having them marked for  
12 the deposition.

13 MR. PRICE: You can argue ad infinitum that  
14 they were here.

15 MR. VEEDER: I'm just concerned that someone  
16 will say they never saw it before, that's all.

17 MR. PRICE: The judge can say that's our own  
18 fault.

19 THE WITNESS: On the diagram are shown the  
20 observation wells, the pump wells, the domestic wells, the  
21 sources of hydrologic data. The red lines are plots of the  
22 piezometric surface, or if we may say, the water table levels  
23 on specific dates. There is some red lines on there as plotted  
24 from the records of the U.S. Geological Survey. There is one  
25 for August 13th, 1975, there is one for March 29th, 29th of

1 March, 1977, one for 12th of September, 1977.

2 Q (By Mr. Price) Is this scale broken down by  
3 day? It's not broken down as to the particular minute or day  
4 of the month, is it?

5 A No. In terms of along the horizontal portion  
6 of the scale, the horizontal scale is a linear measurement  
7 of the length of a section in feet, and we have a vertical  
8 scale at one inch to 20 feet on the right and left margin,  
9 which is again a measurement in feet.

10 Q Does not that exhibit actually show or depict  
11 that in fact Colville No. 1 is reversing the flow as of Septem-  
12 ber 12th, 1977, of the water from its otherwise natural state?

13 A Well, I'm going to have to accept--in this  
14 line of section it shows that there is a depression around  
15 the Colville No. 1 irrigation well based on what we consider  
16 as probably a measurement that has a potential error in it,  
17 and the error is indicated by the difference between the solid  
18 and the dash line. Unfortunately we don't do all these measure-  
19 ments and we didn't have control over the quality of them,  
20 and as a result we have to accept certain errors which were  
21 inherent in the records furnished.

22 Q You're referring to the U.S.G.S.?

23 A Yes. If you look at this line of section it  
24 shows the hydrologic gradient between piezometer C-1 and  
25 Colville irrigation well No. 1 is to the north whereas the



1 normal gradient upon a piezometric surface where the well is  
2 not pumping would have been the south.

3 Q Did you prepare this?

4 A I did not.

5 Q Do you know why August 13 of '75, March 29th  
6 of '77, and September 12th of '77 were chosen as the three  
7 dates or the only three days--

8 A They aren't the only three dates. They were  
9 picked as three dates, and again, I did not prepare the exhibit  
10 so possibly someone else should answer.

11 MR. PRICE: I'll ask, does anybody know if  
12 there are other exhibits similar to this depicting other days  
13 or is this the only one?

14 MR. KACZMAREK: This is the only one.

15 MR. VEEDER: Those, of course, are the highs  
16 and lows, Mr. Price, for the year. Excuse me for testifying,  
17 I'm not under oath.

18 MR. PRICE: That's okay, we may put you on the  
19 stand.

20 Q (By Mr. Price) In looking at that, is it a  
21 fair statement that on September 12th, 1977, the water table  
22 at its low point was--can you tell whether or not it was  
23 beneath Walton new irrigation well or not?

24 A No, I can't tell. One of my problems, you see,  
25 is that this is a section drawn along a line, and we need a

1 three-dimensional--or we need the cross section in the opposite  
2 direction to be absolutely sure, and these wells have been  
3 projected into the line of section, and, of course, when this  
4 was constructed then the measurements were put on and projected  
5 in the line of section, so specifically I can't say without  
6 looking at the records.

7 Q From the U.S.G.S. records will we be able to  
8 tell as of other dates, let's say March 1976, we could plot  
9 on there from the U.S.G.S. records the water level and the  
10 various wells in the No Name Creek Valley what the level of  
11 the water table was on that date?

12 A We could if we had--we can tell from these  
13 records if the water level was measured, and of course we  
14 don't--the records are not complete always, and I cannot remem-  
15 ber whether on any specific date the Walter irrigation well  
16 was measured or not, but anytime it was measured, that is the  
17 time we know what the level of the water in that well is, and  
18 we can compare that in elevation if the water was measured in  
19 any other piezometric or piezometer or well or any other well,  
20 we can tell what the measurement is, virtually.

21 Q Do you know if there is a chart or exhibit or  
22 graph that would depict the water level at the beginning of  
23 each irrigation season starting from '75 through '77?

24 A I don't believe there is one.

25 Q Do you of your own personal knowledge know

1 whether the water level at the start of each of the irrigation  
2 seasons for the years '75 through '77 came down to the line  
3 depicted as August 13th, 1975, or not?

4 A. I don't know. I looked at that one at one  
5 time and I'm not confident in my memory on whether it was  
6 higher or lower in the previous irrigation season.

7 Q. Are there any other records, to your knowledge,  
8 or do you have knowledge as to what the present water table is  
9 of the No Name Creek?

10 A. Today?

11 Q. Yes.

12 A. I do not know.

13 Q. Does that exhibit tell you that the cone of  
14 depression of Colville No. 1 would not affect, have an effect  
15 on Walton's new irrigation well to the south?

16 A. No.

17 Q. It does not tell you that?

18 A. It does not tell me that.

19 Q. As I understand it, you have not made calcula-  
20 tions to determine whether or not that is in fact the case?

21 A. It would be a calculation in futility because  
22 of too much interference from other pumping of other wells and  
23 other factors.

24 Q. Well, how do you go about making an establish-  
25 ment of wells in relation to one another?

1           A.     I assume if there are existing wells and you  
2 want to obtain water for beneficial purposes, for commercial  
3 purposes, you don't necessarily sink the well right next to  
4 the other one.

5           Q.     Are there considerations that go into that?

6           MR. VEEDER: I object to the question. I think  
7 that you're moving into an area that this witness is not called  
8 upon to testify to, and I object to him being interrogated in  
9 regard to it. He is not going to testify on that particular  
10 subject. Secondly, I think that--and the record should show  
11 that both Mr. Kaczmarek and Mr. Walton are going to testify  
12 in depth in regard to that very exhibit that's there. You're  
13 picking on the wrong man.

14           MR. PRICE: That's your opinion.

15           MR. VEEDER: Well, if he's not going to touch  
16 upon this on direct examination I submit that this is a waste  
17 of time.

18           MS. ECKERT: Depositions can be used to gain  
19 any relevant evidence.

20           MR. VEEDER: Let's go ahead, we haven't got all  
21 day.

22           Q.     (By Mr. Price) Mr. Robinson, in terms of your  
23 testimony in respect to ground water movement, what essentially  
24 are you going to testify to based on Exhibit L-L' and M-M' in  
25 terms of ground water movement?

1           A.     That will be dependent upon the direct examina-  
2     tion, I believe, and I cannot--

3           Q.     What is the ground water movement in No Name  
4     Creek Valley?

5           A.     Down a hydraulic gradient.

6           Q.     From north to south?

7           A.     That's correct, and from east to west and from  
8     west to east, and in three dimensions.

9           Q.     Through the green portion, at least, and then  
10    what happens when the water reaches the red portion?

11          A.     That's when the water is--we have an effective  
12    natural damming of the ground water basin or a normalization,  
13    let's say, of the lower edge of the ground water basin, and  
14    water does not move from the green area into the red area at  
15    a significant rate.

16          Q.     Does it then take the form of surface water?

17          A.     It depends if there is enough water to raise it  
18    above the level of the red. If you have not had enough re-  
19    charge or too much pumping or pumping in excess of recharge  
20    then the water comes down here and that's it, it doesn't go,  
21    but assuming that the valley was allowed to return to its  
22    natural state without the interference of man, the ground water  
23    table would eventually build itself up and in the green area  
24    to the point where it would spill over on top of the red area.

25          Q.     All right. What accounts, in your opinion, then,

1 for the wells, the surfacing of water to the north of the  
2 red area at Walton's spring area and the springs in Allotment  
3 828, whatever it is?

4 A. You mean out in the spring area?

5 Q. Right. What's the intersection of the water  
6 table or the piezometric surface with the topographic surface?  
7 Depict that for me, if you can, with just hand line or some-  
8 thing.

9 A. Well, water level in the aquifer is risen to  
10 the point where it is above the effective dam of the fine  
11 granular material in the lower part of the No Name Creek Valley.  
12 Piezometric surface of the water level is raised above that,  
13 and is now allowed to drain out across the--it's topping the  
14 dam.

15 Q. Okay. It's reached its storage capacity?

16 A. No. It's reached a point where some water may  
17 discharge. The storage capacity will also depend upon the  
18 rate of recharge.

19 Q. With the gradient of this No Name Creek Valley  
20 why haven't you reached your state of storage capacity?

21 A. Because the flow through a material is time  
22 dependent, depends upon the permeability of the material, and  
23 so you can add storage, if you have the flow through the  
24 material, less than the recharge. In other words, you can  
25 fill a bucket with a small hole in it if you pour enough water

1 in fast enough so that you can add more water to your bucket  
2 even though you've got a hole in the bucket. The hole happens  
3 to be at the top of the bucket in this case, however, if I may  
4 be so bold to call this the top of the bucket.

5 Q With an uneven top on the bucket?

6 A That's correct, but also an uneven permeability  
7 in porosity.

8 Q You're not suggesting that you're going to  
9 hold water up here in space somewhere when you don't have any  
10 land down here in which the water can be held?

11 A Oh, absolutely.

12 Q There is no land at this point?

13 A That's right.

14 Q And there is land up here?

15 A Right.

16 Q This land could contain water?

17 A Right, and it better or our whole theory of  
18 hydrology has gone down the tube.

19 Q That is, the water flows from north to south,  
20 it's going to, when it reaches this point, appear on the  
21 surface?

22 A That's right.

23 Q Okay. Are we not talking about relatively  
24 within a certain amount of feet the top surface level or the  
25 top level of this aquifer?

1           A.     Well, I think I can eliminate one of your  
2 problems. A piezometric surface is not a leveled surface.  
3 If it was you'd have no flow. You have to have a gradient to  
4 your piezometric surface, and that gradient is going to be  
5 dependent upon the character of the materials and the rate of  
6 recharge so that you can build storage in an aquifer above  
7 the point of discharge. You have to build storage above the  
8 low point in an aquifer to have discharge. You have to have  
9 some force to drive that water out for it to move, and the  
10 amount of storage that you'll have above a perfectly level line  
11 across here is dependent upon the hydrologic characteristics  
12 of the material and the rate of recharge.

13           Q.     Okay. At the intersection of the green and  
14 red point?

15           A.     Yeah.

16           Q.     If the level of the water is below that, and  
17 assume the springs appear at the intersection of the green  
18 and the red point, the level of the water in the green area  
19 is below that point, what's going to cause that water to  
20 appear as a spring at that point?

21           A.     If the water level in this aquifer is below  
22 this point--and we're looking at a two-dimensional diagram,  
23 unfortunately and we shouldn't, we should look at a three-  
24 dimensional--but if the water level in that aquifer was at  
25 the lowest point of the intersection of the red and the green



1 there would be no spring zone.

2 Q As a matter of fact, some of the springs occur  
3 above what is depicted on there as Walton new irrigation well,  
4 is that not correct?

5 A Not above the color, no, no. What do you mean  
6 by above?

7 Q Above that north/south direction, not in ele-  
8 vation?

9 A Yes, there are farther north, but lower in  
10 elevation.

11 Q Correct. Is there any springs north of what  
12 we refer to as the springs in the north boundary of Walton's  
13 property in 526 or 892?

14 A I lost--I'm a little--

15 Q Are there any springs, other springs in 526  
16 and 892 other than the ones that we have been referring to at  
17 the northern boundary of Walton's property?

18 A There is a spring zone which has been defined,  
19 and that does extend a very short distance to the north of  
20 Walton's north property line.

21 Q Okay. Other than that, are there any springs?

22 A Oh, there is springs up on the sides of the  
23 mountains, there are lots of springs, but not out of the No  
24 Name Aquifer.

25 Q Okay. I'm interested in the No Name Aquifer.

1 A. All right.

2 Q. Okay. There are none to the north?

3 A. There are none to the north of the spring zone.

4 Q. Okay. Surface water runoff, have you made any

5 determination as to what effect Omak Creek runoff, say, has on

6 the No Name Creek Aquifer?

7 A. No.

8 Q. Why not?

9 MR. VEEDER: Because I told him not to.

10 MR. PRICE: I'm asking the witness.

11 THE WITNESS: I could say I wasn't paid to.

12 Q. (By Mr. Price) Would you state for the record

13 why you didn't, please?

14 A. I wasn't requested to.

15 Q. In your opinion, does that have an effect on

16 No Name Creek Valley or Aquifer or not?

17 MR. VEEDER: I object to that, it goes far

18 beyond the date that I set out in the answers to the interroga-

19 tories as the scope of this man's testimony.

20 MR. PRICE: It says, surface water runoff, Mr.

21 Veeder. I am inquiring about that.

22 Q. (By Mr. Price) In your opinion, would surface

23 water runoff from Omak Creek have an effect on the No Name

24 Creek Aquifer?

25 A. The surface water runoff from Omak Creek--I'm

1 not sure what surface water--there is flow within Omak Creek.

2 Q Okay. Let's just stop it right there.

3 A Okay.

4 Q Is there flow on the surface of No Name Creek  
5 at times?

6 A At times, yeah.

7 Q Is there flow beneath the surface of Omak Creek  
8 at times?

9 A Yes.

10 Q On those occasions when you see surface flow  
11 will there always be ground water flow?

12 A Yes.

13 Q All right. Do either of those flows, the sur-  
14 face flow or the ground water flow from Omak Creek, have a  
15 relationship to the No Name Creek Aquifer?

16 A Yes.

17 Q And what is that relationship?

18 A There is some leakage from the Omak Creek  
19 chanel or bed to the aquifer.

20 Q Have you made any calculations--apparently you  
21 have made no calculation?

22 A I have accepted the calculation of my associates  
23 after detailed and careful review.

24 Q Have you been asked to do any studies with  
25 regard to the level of Omak Lake?

1 regard to the level of Omak Lake?

2 A. No.

3 Q. Do you know whether or not anybody has that  
4 you've been associated with?

5 A. No.

6 Q. Have you made a determination whether Omak  
7 Lake was higher or lower in years past going back hundreds of  
8 years even than it is at the present time?

9 A. I have observed through physiographic features  
10 that the lake was higher in the past.

11 Q. Have you observed anything that would indicate  
12 that it's lower in the past?

13 A. No, because if it was lower it would have been  
14 under water, and I didn't go under water.

15 Q. Okay. You're not familiar with the fact that  
16 there was a forest growing on the floor of Omak Lake at one--  
17 that there is remnants of a forest there?

18 A. No.

19 MR. VEEDER: Once again, I object on the ground  
20 that it goes far beyond the scope of this witness' testimony.

21 MR. PRICE: That's all I have, thank you very  
22 much, Mr. Robinson.

23 MR. SWEENEY: I don't have anything.

24 MS. ECKERT: Just one or two follow-up questions.  
25

1 EXAMINATION

2 BY MS. ECKERT:

3 Q Dr. Robinson, we have been talking mainly  
4 about ground water hydrology. Did you make any studies of  
5 the surface water hydrology of No Name Creek?

6 A No.

7 Q Have you made any determination whether or not  
8 No Name Creek, that is, the flow of No Name Creek makes any  
9 contribution to the ground water in the basin?

10 A No.

11 MR. VEEDER: What's this? I'd like to have  
12 that question read back to which you said no.

13 (The Court Reporter read back the previous  
14 question.)

15 MR. VEEDER: Okay.

16 Q (By Ms. Eckert) You stated in response to  
17 several of Mr. Price's questions concerning hypothetical pump-  
18 ing out of Colville 1 and 2 that it would depend on "the  
19 capacity of the wells", and you did not believe that those--  
20 that well at present had the capacity to pump as much as Mr.  
21 Price was suggesting in his hypothetical. Do you in fact  
22 know what the capacity of Colville No. 1 is?

23 A No.

24 Q Do you know the capacity of Colville No. 2?

25 A No.

1 Q Of Paschal Sherman?

2 A No.

3 Q Now, when we're discussing cones of depression,  
4 the cone of depression, I take it, is a three-dimensional  
5 phenomena?

6 A (The witness nodded his head affirmatively.)

7 Q Referring you to what you've got back there,  
8 L and L' and M and M', the difference between the red zone  
9 and the green zone, does the difference between the materials  
10 in any way affect a cone of depression, let's say, extending  
11 from the Colville No. 1 well? Let's assume that a cone of  
12 depression extends out this far. This is a hypothetical.  
13 You've pumped Colville No. 1 to a great extent so you'd have  
14 a cone of depression extending out. Does the existence of  
15 this difference between the red and the green make any differ-  
16 ence as to the shape of the cone of depression, do you know?

17 A We're hypothetical?

18 Q That's correct.

19 A It does make a difference in the shape, and I  
20 might point out that any change in lithology or changing of a  
21 condition and it is not a smooth planar surface as we like to  
22 draw them, it's affected by all of the lithologies and de-  
23 ficiencies of the wells and other hydrologic parameters.

24 Q Just so that I am absolutely clear on this,  
25 referring again to what we've attached on the wall, L and L'

1 and M and M', you refer to the unit in green as an aquifer?

2 A. Uh-huh.

3 Q Is the unit, the red unit above the granite  
4 lip, is that also an aquifer, in your opinion?

5 A. If we define an aquifer, one that produces or  
6 yields water readily to wells, no.

7 MS. ECKERT: I don't have any other question.  
8 Mr. Veeder may have some follow-up questions before you step  
9 down.

10 MR. VEEDER: I have no questions.

11 MR. PRICE: I have just one, I think.

12

13

#### EXAMINATION

14 BY MR. PRICE:

15 Q In terms of your expertise have you made any  
16 determinations as to the water that's applied for irrigation  
17 purposes in the green area, how much of that surface water  
18 returns to the ground water table?

19 A. I have not done those calculations.

20 Q. Pardon?

21 A. I have not done those calculations.

22 Q That is in the area of your expertise, is it  
23 not?

24 A. I do, yes, I could--I could do it and have done  
25 it. I have not done it in this instance.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. PRICE: Okay, thank you.  
(Deposition concluded.)



1 STATE OF WASHINGTON )  
2 COUNTY OF SPOKANE ) ss: REPORTER'S CERTIFICATE

3 I, David Caviezel, a notary public in and for the  
4 State of Washington;

5 DO HEREBY CERTIFY:

6 That the foregoing is a true and correct transcrip-  
7 tion of my shorthand notes as taken upon the deposition of  
8 Charles Robinson on the date and at the time and place as shown  
9 on page one hereto;

10 That the witness was sworn upon his oath to tell  
11 the truth, the whole truth and nothing but the truth, and did  
12 thereafter make answers as appear herein;

13 That I am not related to any of the parties to this  
14 litigation and have no interest in the outcome of said litiga-  
15 tion;

16 WITNESS my hand and seal this 22 day of February,  
17 1978.

18

19

20

21

22

23

24

25

*David Caviezel*  
Notary Public in and for the State  
of Washington, residing at Spokane.