

2-24-1978

Deposition of Mike Watson

Court Reporters

Reiter, Storey & Miller Court Reporters

Follow this and additional works at: <https://digitalcommons.law.uidaho.edu/walton>

Recommended Citation

Reporters, Court, "Deposition of Mike Watson" (1978). *Confederate Colville Tribes v. Walton (Colville Tribes)*. 22.
<https://digitalcommons.law.uidaho.edu/walton/22>

This Deposition is brought to you for free and open access by the Hedden-Nicely at Digital Commons @ UIdaho Law. It has been accepted for inclusion in Confederate Colville Tribes v. Walton (Colville Tribes) by an authorized administrator of Digital Commons @ UIdaho Law. For more information, please contact annablaine@uidaho.edu.

FILED IN THE
U.S. DISTRICT COURT
Eastern District of Washington

FEB 24 1978

J. R. FALLOUIST, Clerk
[Signature] Deput

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF WASHINGTON

COLVILLE CONFEDERATED TRIBES,)
(
Plaintiff,)
(
vs)
(
BOYD WALTON, JR., et ux et al)
(
Defendants,)
(
and)
(
STATE OF WASHINGTON,)
(
Defendant)
Intervenor.)

Civil No. 3421 ✓

UNITED STATES OF AMERICA,)
(
Plaintiff,)
(
vs)
(
WILLIAM BOYD WALTON, et ux et al)
(
Defendants.)

Civil No. 3831

DEPOSITION OF MIKE WATSON

Deposition upon oral examination of Mike Watson,
taken at the request of the Defendant Intervenor, before
David Caviezel, a notary public, at Room 897E, Federal
Building, Spokane, Washington, commencing at or about 10:30
a.m. on January 5, 1978, pursuant to the Federal Rules of
Civil Procedure.

218

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

FOR THE PLAINTIFF
COLVILLE CONFEDERATED
TRIBES:

WILLIAM H. VEEDER
Attorney at Law
818 - 18th Street N.W.
Washington, D.C. 20006

and

STEPHEN L. PALMBERG
Attorney at Law
P. O. Box 150
Nespelem, Washington 99155

FOR THE WALTONS:

NANSEN & PRICE
By: RICHARD B. PRICE
Attorney at Law
P. O. Box 0
Omak, Washington 99841

FOR THE STATE OF
WASHINGTON:

LAURA E. ECKERT and
ROBERT MACK
Assistant Attorneys General
Temple of Justice
Olympia, Washington 98504

FOR THE UNITED STATES
OF AMERICA:

ROBERT M. SWEENEY
Assistant U. S. Attorney
United States Courthouse
Spokane, Washington 99201

MS. ECKERT: As a preliminary matter, Mr. Veeder, and on the record, do you make the usual stipulations concerning objections?

MR. VEEDER: Yes. I want to make a general objection to anything that's offered. I reserve the right to tell a witness not to testify if I don't think it should be in testimony. I'd like, however, when I get the

1 deposition to be able to freely separate objections to each,
2 if that's all right with you.

3 MS. ECKERT: To each person's deposition?

4 MR. VEEDER: That's right.

5 MS. ECKERT: Of course, yes.

6
7

MIKE WATSON

8 called as a witness at the request of
9 the Defendant Intervenor, having been
10 first duly sworn according to law, did
11 testify as follows herein:

12 EXAMINATION

13 BY MS. ECKERT:

14 Q Mr. Watson, would you state your name and
15 spell it for the record, please?

16 A My name is Thomas M. Watson, spelled
17 W-a-t-s-o-n.

18 Q Where do you presently reside?

19 A I reside in Helena, Montana.

20 Q Where are you presently employed?

21 A I am employed by Morrison Maierle, Incorporated,
22 consulting engineering.

23 MR. SWEENEY: Better spell that.

24 THE WITNESS: M-o-r-r-i-s-o-n, and Maierle
25 is M-a-i-e-r-l-e.

Q (By Ms. Eckert) How long have you been

1 employed with Morrison Maierle?

2 A. Since 1971.

3 Q. And prior to your employment with Morrison
4 Maierle, by whom were you employed, if at all?

5 A. Previously I was employed by Montana State
6 University in a graduate program, and prior to that I was
7 employed by David E. Flemming Company, consulting engineers,
8 in Denver, Colorado.

9 Q. Would you very briefly, please, describe your
10 educational background since high school?

11 A. Since high school I attended the University
12 of Denver beginning in 1964. I graduated from the University
13 of Denver in 1969 with a Bachelor's degree in civil engineering.
14 Subsequently I was employed by David E. Flemming for
15 approximately two years, and then attended Montana State
16 University to finish a Master's degree in civil engineering
17 that I had initiated at the University of Denver.

18 Q. Okay, and what is your present capacity with
19 Morrison Maierle Consultants?

20 A. I'm chief of the water resources department.

21 Q. Would you describe in more detail what that
22 involves precisely?

23 A. I'm responsible for the water resources
24 activities of the company. Water resources is one of five
25 departments of civil engineering by the company, and I have

1 technical and administrative responsibilities.

2 Q. Okay. Now, when you say you're a civil
3 engineer in the water resources department, have you also
4 acquired practical experience in the field of hydrology?

5 A. Yes. Civil engineering encompasses hydrology.

6 Q. Okay.

7 A. The curriculum that I was engaged in at both
8 the University of Denver and Montana State University dealt
9 extensively with hydrology so I did have the academic
10 background as well as experience.

11 Q. Okay. Now, Mr. Watson, are you familiar
12 with that area of the Colville Indian Reservation in
13 Washington State that's become known as No Name Creek?

14 A. Yes, I'm very familiar with that area.

15 Q. Can you explain for us, please, how you came
16 to be familiar with that area?

17 A. I came to be familiar with that area through
18 approximately two and a half years of extensive study of
19 the No Name Creek Basin involving numerous field investigations
20 and analyses of considerable data collected in the basin.

21 Q. Okay. You say two and a half years of
22 extensive study. When did that two and a half years of
23 study begin, when did you first become familiar with the
24 area?

25 A. July, August, 1975.

1 Q Okay. Do you know if prior to that time--I
2 take it in July 1975 you were retained or your firm was
3 retained by the Colville Indians to make these studies, is
4 that correct?

5 A Yes, we were retained on behalf of the Colville
6 Indian Tribe.

7 Q And prior to your being retained on behalf
8 of the Colville Indians do you know if there was any other
9 engineering or consulting firm performing study of the
10 hydrology in and around No Name Creek.

11 A I have no knowledge of anyone performing
12 hydrologic investigations for the Colville's.

13 Q Now, let's zero in on the two and a half
14 years that you spent. I take it that brings you up to
15 the present?

16 A That brings us up to the present, uh-huh.

17 Q Can you explain in more detail in July of
18 1975 what it was you were called upon to do and what was the
19 scope of your proposed work with respect to No Name Creek?

20 A Are you speaking to me as my individual
21 responsibility or as the firm's responsibilities?

22 Q I'll ask it first in terms of the firm's
23 responsibilities.

24 A Firm responsibilities were to undertake an
25 investigation of the geology and hydrology of the No Name

1 Creek Basin. More specifically we were engaged to determine
2 water requirements for irrigation in the basin by the
3 Colville Confederated Tribes to determine the water supply
4 available for development in the No Name Creek Basin.

5 Q Okay. Now, in the course of that work the
6 firm then had to consider the amount of irrigable acreage
7 which could be developed in that basin, is that correct?

8 A That is one of the parameters that was
9 investigated.

10 Q Were you, yourself, personally responsible
11 for the determination of the amount of irrigable acreage?

12 A No; I was not personally responsible for
13 the--I'm not exactly sure what you're asking there, but I
14 was not personally responsible for the soil surveys. I was
15 responsible for determining the amount of land that we could
16 put into production.

17 MR. VEEDER: Maybe to save some time, Mike
18 Kaczmarek performed the soil surveying.

19 MS. ECKERT: I just basically wanted to
20 make that clear on the record.

21 Q (By Ms. Eckert) Now, you previously testified
22 as to the general responsibilities of the firm?

23 A Uh-huh.

24 Q What the Colville Indians have requested
25 you to do. Can you be more specific then on your individual

1 duties with relation to that project?

2 A Yeah. My individual duties were to determine
3 the water requirements. Also to determine the water
4 supply.

5 Q Now, when you say to determine the water
6 requirements, what exactly does that mean?

7 A That means to determine the amount of water
8 required for irrigation in the No Name Creek Basin.

9 Q And to determine that then, let's follow this
10 through a little bit more, what factors go into the
11 determination of water requirements for that basin? I
12 imagine you consider the kind of crops, for instance?

13 MR. VEEDER: I'm going to object to that. I
14 think the witness should not respond until we get down
15 specifically to the areas that are being irrigated. I don't
16 think we can talk about the No Name Creek Basin. I think
17 we have to refer to the lands that are irrigated. The No
18 Name Creek Basin is--much of the land is not irrigable, and
19 I think it's much too broad.

20 Q (By Ms. Eckert) Well, I can narrow this
21 down. I was asking a general question to try and speed
22 this matter up, but we can certainly narrow it down.

23 In determining water requirements for irrigation
24 projects by the Colville Indians then I take it you looked
25 at specific parcels of land to determine what crops and

1 patterns of usage could be made of that land, is that correct?

2 A. Yes.

3 Q. Can you specifically tell us which parcels
4 of land in and around the No Name Creek Basin you considered?
5 Do you have a list of that or a list--

6 A. Yes, I have an exhibit that I could present
7 showing that although if you're talking about the lands
8 that are irrigable in the basin Mr. Kaczmarek would be better
9 to present that.

10 Q. Okay.

11 MR. VEEDER: I may also point out that we
12 served on the State of Washington an exhibit showing the
13 irrigable acreage.

14 THE WITNESS: That's correct.

15 MR. VEEDER: Location of the irrigated
16 acreage.

17 MS. ECKERT: I believe it was Mr. Watson who
18 signed the cover letter on that.

19 MR. VEEDER: That's right.

20 MS. ECKERT: I was going to ask then--I
21 suppose I will do so now. I'd like to have that marked one,
22 please.

23 (Deposition Exhibit No. 1 was
24 marked for identification.)

25 Q. (By Ms. Eckert) Mr. Watson, I'm handing you

1 what's been marked as Deposition Exhibit No. 1, and ask you
2 if you're familiar with that?

3 A. Yes, I am.

4 Q. Did you prepare that exhibit?

5 A. The exhibit was prepared under my direction.

6 Q. And very generally what does that exhibit
7 purport to show?

8 A. The exhibit shows the indian allotments
9 the No Name Creek Basin. It also shows the watershed
10 boundary of the No Name Creek Basin. It shows the land
11 descriptions in terms of section and township and range.
12 It shows the location of No Name Creek, the location of
13 Omak Creek, the location of Mission Creek, and it shows the
14 irrigable and presently irrigated, as of 1977, lands of the
15 Colville Confederated Tribes.

16 MR. VEEDER: Can you read the title block
17 on that?

18 THE WITNESS: The title block is the Colville
19 Irrigation Project. In addition to the irrigable lands the
20 exhibit shows the facilities on the indian allotments used
21 for purposes of irrigation.

22 Q. (By Ms. Eckert) That is, the type of diversion
23 facilities?

24 A. That's correct.

25 Q. Also I note on Exhibit 1 in the corner it's

1 marked, preliminary. Is there a more recent version of
2 this particular exhibit?

3 A. There is a more recent version, yes.

4 Q. What does the more recent edition add or
5 subtract or change on Exhibit 1?

6 A. I don't recall anything substantial in the
7 way of a change. We did enlarge the lettering in the
8 explanation.

9 MR. VEEDER: Mr. Watson, I'm going to recommend
10 if there has been any change you compare now with your
11 exhibit just to save some time.

12 THE WITNESS: Is that all right?

13 MS. ECKERT: That's fine. I wasn't sure if
14 you had it here.

15 THE WITNESS: Yeah, I do have it.

16 MR. VEEDER: And we delivered to you a copy
17 of it now.

18 THE WITNESS: No, Bill, we--

19 MR. VEEDER: Don't have a copy?

20 THE WITNESS: Don't have copies. We do have
21 the large exhibit.

22 MR. VEEDER: All right.

23 (Discussion off the record.)

24 (Deposition Exhibit No. 2 was
25 marked for identification.)

1 Q (By Ms. Eckert) My question basically was,
2 in what respect did the preliminary version, which has been
3 marked as Exhibit 1, differ from what I take it is the
4 permanent version marked Exhibit 2?

5 A That's correct. The changes that I recall in
6 the allotment 526, in section 16, the location of the pivot
7 was incorrectly shown on the small size exhibit, and we--

8 MR. VEEDER: When you say, pivot, please
9 explain on the record what that is.

10 THE WITNESS: The pivot is the center pivot
11 irrigation system on that allotment. The location of the
12 point of rotation was incorrectly shown about a sixteenth of
13 an inch on the previous exhibit, and that's been corrected.
14 The only other change that I recall on this exhibit is in
15 the explanation. The irrigation summary is in the lower
16 right-hand corner of the exhibit. In the previous exhibit
17 we had, under the column, allotment, we had referred to
18 land west of allotment H-892 and west of S-901. In the
19 legend now we were calling that tribal trust, which is a
20 better explanation of that piece of land in both cases.

21 Q (By Ms. Eckert) But I take it the numbers
22 themselves have not changed, it's merely the description on
23 those numbers?

24 A The numbers have not changed.

25 Q Okay, fine, you can sit down.

1 A. These are the only changes that I recall.

2 Q. Now, Mr. Watson, drawing your attention to
3 Exhibits 1 and 2, on both of those exhibits there are areas
4 marked in green shading and in yellow shading, and according
5 to the key the green is the irrigated acres in 1977 and the
6 yellow is the undeveloped irrigable acres. You stated that
7 this had been prepared under your direction. Where were the
8 figures for the description of the green irrigated acreages
9 shown on Exhibits 1 and 2 taken from? Did you develop those
10 numbers yourself?

11 A. Yes, absolutely.

12 Q. Okay, and now when I--

13 MR. VEEDER: When you say numbers, please,
14 Miss, what do you mean by numbers? There are several.

15 Q. (By Ms. Eckert) In relation to the green
16 shaded area, the irrigated acres, there are a number of
17 acres in there, and I'm requesting the witness to respond.
18 Did he--

19 MR. VEEDER: When you say numbers--

20 MS. ECKERT: Numbers of acres.

21 MR. VEEDER: Numbers of acres, thank you.

22 Q. (By Ms. Eckert) Excuse me, and now when you
23 calculated the number of acres that are presently irrigated,
24 that is, in 1977, can you explain for us how you did that
25 for the Colville allotments and trust lands shown on

1 Exhibits 1 and 2?

2 A. Yes. I was very familiar with the lands that
3 were irrigated because of the numerous visits and the
4 operation of the water supplies during 1977. So I obtained
5 the aerial photo that you're looking at on the exhibit and
6 delineated the boundaries of the areas that you knew were
7 irrigated. Then I used a device to measure those acres
8 known as a planimeter, and from that determined the acreage.
9 I asked Mr. Fred Jones, who is the consultant for the
10 Department of Justice in this matter, to check those acreages.

11 Q. When you say check, you mean field check?

12 A. Yes, and also to check the delineations and
13 the determination of the acreage in any manner that he wished
14 to pursue.

15 Q. Did Mr. Jones have any comments which led
16 you to either expand or decrease the amount of irrigated
17 acreage?

18 A. No. We were in very close agreement although
19 his figures were somewhat higher than the figures that I
20 have.

21 Q. Okay.

22 A. I also should point out that after delineating
23 the areas on the aerial photo a field check was made of each
24 boundary by physically going on to the land and refreshing
25 memory, and being very careful in the delineation.

1 Q Did you perform that field check?

2 A Yes, I did.

3 Q Okay. Now, when you performed the field check

4 does that involve any kind of surveying or is it simply a

5 visual, informal observation?

6 A A visual observation, yes.

7 Q And I take it you went then through the same

8 process for the irrigable lands, is that correct, to determine

9 the boundaries of what's now marked in yellow on Exhibits 1

10 and 2?

11 A The same process was done on the irrigable

12 lands although the delineations of those lands was performed

13 by Mr. Kaczmarek.

14 Q Okay. So I take it both you and he worked

15 together?

16 A Very closely.

17 Q In developing the yellow shaded areas?

18 A (The witness nodded his head affirmatively.)

19 Q Okay. Now, when you say that the areas on

20 Exhibits 1 and 2 marked in green, that is, the irrigated

21 acres were irrigated as of 1977, do you know when those areas

22 first came into irrigation, and I'll ask that specifically

23 with reference to parcel S-526, the north end by, I believe

24 it is just south of the Paschal Sherman Indian School?

25 A I can give you very close recollections.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q Okay.

A On this date that the areas on allotment 526 were first irrigated I believe all the acreage in allotment S-526 was initiated on August 1976.

Q Okay, and then the same question with respect to--what is it, 892?

A Okay. In allotment H-892 the southern--the acreage in the south half of this allotment--

MR. VEEDER: When you say this, would you please refer to the exhibit, and when you point to something be specific as to what you're pointing, Mr. Watson, please.

THE WITNESS: Okay. I am describing the irrigation in allotment H-892 as shown on the Colville Irrigation Project Exhibit dated December 1977, and I'm referring to a parcel of land in the south half of allotment H-892 lying to the south of the yellow and white band that bisects the irrigated and irrigable lands on this allotment.

MR. VEEDER: You pointed to Deposition Exhibit No. 2?

A THE WITNESS: This is Deposition Exhibit No. 2.

Q (By Ms. Eckert) Now, my question was, when did those areas come into irrigation?

A These areas--this particular parcel of land that I've just described came into irrigation in May, 1976.

1 Also the parcel to the north, which is a larger area.

2 Q Now, that's also on Exhibit 2, and allotment
3 H-892.

4 A This is also on Deposition Exhibit 2, and
5 also in allotment H-892, and I'm referring to the green
6 shaded area in the north half of that allotment. Approximately
7 the east half of the north parcel was irrigated beginning in
8 May 1976 as well. Approximately the west half of that
9 allotment began in August of 1976.

10 Q Okay. Now, the same question with reference
11 to the parcels further south, that is, allotments S-901 and
12 903 with respect to the irrigated acres there, do you know
13 when those irrigated acres came into production?

14 MR. VEEDER: I'm going to ask the witness
15 not to respond to that. We're going to call witness Mary
16 Ann Timentwa Sampson and Stanley Paul Sampson, who occupied
17 that land, and we have a resume as to what their testimony
18 would be on page six of our response to the Department of
19 Justice interrogatories, would be numbers five and six.
20 This witness wasn't born when they were using that, and I
21 don't want him testifying--

22 Q (By Ms. Eckert) Let me ask, do you know when
23 that came into production?

24 A Do I know when?

25 Q 901 and 903. If you don't know, just say so.

1 A. I have no personal knowledge.

2 MR. PRICE: Excuse me, off the record.

3 (Discussion off the record.)

4 Q. (By Ms. Eckert) In reference to parcels
5 901 and 903 as shown on Exhibit 2, is there any recent
6 irrigation development with which you're familiar?

7 MR. VEEDER: I object, don't respond to that.

8 THE WITNESS: Any recent what?

9 MS. ECKERT: Well, since 1975. I believe
10 that could be clarified in cross examination, Mr. Veeder,
11 but I would clarify the question.

12 MR. VEEDER: He's already pointed out to you--
13 I don't want to be argumentative on this, but the 1920 dates
14 are extremely important, but go ahead.

15 Q. (By Ms. Eckert) When I say recent, since
16 your familiarity with the Colville Indian Irrigation Project,
17 that is, since the middle of 1975, I take it, are you familiar
18 with any new irrigation development on either allotments
19 901 or 903?

20 A. I'm familiar with irrigation on allotment
21 901 and 903 since the work that I had been performing was
22 initiated.

23 MR. PRICE: I'll object to the form of the
24 question.

25 Q. (By Ms. Eckert) Well, let me ask you this,

1 what work have you performed since August of 1975 with
2 respect to developing irrigation systems on allotment 901?

3 A. On allotment 901 I participated in the
4 development of a sprinkler irrigation system.

5 Q. And when was this sprinkler irrigation system
6 developed then, specifically?

7 A. Water was first applied to the lands on
8 Deposition Exhibit 2 in allotment 901, the areas shaded in
9 green on the east side of No Name Creek and the west side
10 of No Name Creek beginning in August of 1976.

11 Q. Okay, and with respect to allotment 903 then,
12 did you have occasion to develop any sprinkler or irrigation
13 system since middle '75 for allotment 903?

14 A. Yes.

15 Q. And can you tell us when that was developed
16 and put into production?

17 A. The water on allotment 903 on Deposition
18 Exhibit 2 the areas shown in green, those areas were first
19 to receive water in August of 1977.

20 Q. '77?

21 A. '77.

22 Q. Going back just a little bit to some of your
23 previous testimony, when you were describing how you
24 differentiated between your irrigated acres and your
25 irrigable acres on Exhibits 1 and 2, that is for purposes of

1 drawing your green and yellow lines. I take it that the
2 primary work in drawing the yellow shaded areas was done by
3 Mr. Kaczmarek, is that correct?

4 A. That's absolutely correct.

5 Q. And you accepted the conclusions and results
6 of his studies?

7 A. Yes, we discussed it in great detail.

8 Q. Okay. Did you ever look into it yourself in
9 terms of making field checks or analyses of Mr. Kaczmarek's
10 conclusions?

11 A. I accompanied Mr. Kaczmarek on several
12 occasions at the time when he was making field investigations.

13 Q. Okay. Now, shifting to a related subject,
14 on both Exhibits 1 and 2 in heavy dashed line you have
15 basically a rough circle which is marked on key as the water-
16 shed boundary, I believe, and marked on Exhibits 1 and 2--
17 well, Exhibit 1 on a black line and Exhibit 2 in a heavy
18 navy-blue line, you see what I'm talking about?

19 A. Yes.

20 Q. Okay. Did you--

21 A. You're talking about the--

22 Q. The watershed boundary line that begins, for
23 example, at Omak Lake in section 33 of range 27 east and then
24 proceeds northward, makes a loop, and then proceeds back in
25 a generally southerly direction.

1 A. Yes, I'm familiar with what you're talking
2 about.

3 Q. Can you explain for us how that line was
4 developed? In the first place, let me ask you did you,
5 yourself, have the responsibility for determining the water-
6 shed boundaries?

7 A. Yes, I did.

8 Q. Did you perform the field work that was
9 necessary to draw the conclusions which result in the line
10 shown on Exhibits 1 and 2 as the watershed boundary?

11 A. There was--I'm not sure of your question.

12 MR. VEEDER: Then don't answer.

13 THE WITNESS: Exactly properly.

14 MR. VEEDER: Don't answer the question until
15 you get it straight.

16 Q. (By Ms. Eckert) Okay. Well, was there any-
17 one else working with you in the determination of the water-
18 shed boundaries for No Name Creek?

19 A. There was someone else, yes.

20 Q. Who was that?

21 A. Mr. Kaczmarek assisted in that.

22 Q. Can you briefly describe for us how you
23 determined the exact place out of the watershed boundary
24 after your investigations?

25 A. Yes, I can. The watershed boundary beginning

1 at section 34 on Deposition Exhibit 2 township 33 north
2 range 27 east, beginning at the north end of Omak Lake and
3 traversing through section 35, through section 26 into a
4 corner of section 24 across 23, into section 15, back into
5 22, back again into section 15 and into section 16 and the
6 south half of section 9 as well as that portion of the water-
7 shed boundary beginning again in section 33 of the same
8 township and range and extending into section 28, 29, 20, and
9 17. It was determined from U.S.G.S. topographic mapping
10 of the area.

11 Q. When you say U.S.G.S. topographic mapping,
12 are you referring to stereoscopic mapping or what are you
13 talking about when you talk about that?

14 A. U.S.G.S. has prepared published maps of this
15 area with contours.

16 Q. Would these be quadrangle maps?

17 A. Yes, and also orthophoto quad.

18 Q. Would you state into the record the name of
19 the quadrangle and also the full name of any other data
20 that you relied upon?

21 A. The name of the quadrangle shown to the south
22 of the splice shown on Deposition Exhibit 2 which extends
23 through the center of sections 8, 9, 10, 11 and 12 as
24 shown in the exhibit, that was a 7-1/2 minute U.S.G.S.
25 quadrangle known as Omak--

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. VEEDER: Do you have it?

MS. ECKERT: I think we have, excuse me.

Q (By Ms. Eckert) Is it Tonasket?

A. No, it's Omak two southeast. I believe this is Omak southeast quadrangle. I'm going from memory, I'm not precisely sure. The quadrangle to the north of the splice that I just described is Omak northeast.

MR. VEEDER: When you refer to the splice, please explain what that is then.

THE WITNESS: The splice is the common boundary between the two quadrangles, the Omak northeast quad lies to the north of the splice, and the Omak southeast quad lies to the south of the splice.

Q (By Ms. Eckert) Okay. Now, when you're talking about these quadrangles are you using 7-1/2--

A. 7-1/2 minute quads.

Q Okay, and just while we're on this, when you say that you used aerial photos to make Exhibits 1 and 2, what's the source of the aerial photos that you used?

A. The same quads that I just named. It's an orthophoto of U.S.G.S.

Q Do you know when that photo was taken?

A. The 1970's. I believe it was 1975.

Q Now, getting back to my question on how you determined the watershed boundaries, you used the U.S.G.S.

1 quadrangle maps. How did you use these maps?

2 A. From the quadrangle maps and the contours
3 shown on these maps I was able to delineate the watershed
4 boundary as the top of the ridges that separate the No Name
5 Creek watershed from adjacent watersheds such as Omak Creek.
6 The boundary represents the highest point along the divide
7 through the sections that I described, and water in the form
8 of precipitation falling outside the boundary eventually
9 flows into other watersheds. Water falling inside the
10 boundary begins to flow toward No Name Creek and eventually
11 to Omak Lake.

12 Q Okay. Drawing your attention to Exhibit 2
13 and the area marked as section 22 and 27 of range 27 east,
14 I don't know the township, but basically sections 22 and 27,
15 in that area, visually looking at it there is a large dark
16 mass that runs diagonally from the upper right-hand corner
17 of section 22 and then narrows down and enters section 27.
18 Do you see the area that I'm referring to?

19 A. Yes.

20 Q Okay, and do you happen to know what that
21 dark area is?

22 A. I believe that this dark area, based upon
23 field inspection of this particular area is a shadow shown
24 by the photographic process, and the shadow--this is a
25 shadow, to answer your question.

1 Q Okay. Well, let me ask you, what casts the
2 shadow?

3 A The shadow is cast by a ridge located
4 beginning in section 22 and extending in a southerly
5 direction across section 22 and further in a southerly
6 direction into section 27.

7 Q Okay. Now, you just stated that in drawing
8 the watershed boundaries on these exhibits for the purposes
9 of these exhibits you followed the highest point along the
10 divide, and you've also just stated that you believe that
11 there is a feature, a ridge feature, running somewhat
12 southerly through sections 22 and 27. Can you explain then
13 how you came to choose the boundary which is shown which
14 actually runs through sections 23 and 26 on Exhibit 2 rather
15 than choosing the divide along the ridge in sections 22 and
16 27?

17 A Yes. There are numerous divides in the
18 No Name Creek watershed that divide the watershed into smaller
19 segments. For example, in section 15 there is also a
20 shadow cast by a prominent ridge in this area which is a
21 very small drainage area that eventually contributes to the
22 No Name Creek Basin. Also, a shadow, a very large shadow,
23 larger than the shadow that is depicted in section 22, is
24 shown in section 21 and extends further into section 20 and
25 into section 17. Part of the shadow is defined by the

1 watershed boundary that has been defined on this exhibit,
2 but the shadow continues into section 21, and the watershed
3 boundary does encompass an area much broader than that that
4 would receive water, and that water would eventually end up
5 in the No Name Creek Basin. So this is simply a segment of
6 the watershed. When you refer to the shadow in section 22
7 and 27 you are referring to a section of the watershed that
8 is born by a divide in that area.

9 Q So you're basically telling me that if a
10 raindrop falls on section 23, and assuming it's not evaporated
11 in some form, remains intact as water, it will eventually
12 move towards No Name Creek?

13 MR. VEEDER: Object, don't answer.

14 Q (By Ms. Eckert) Is 23 always in the watershed?

15 A All of 23 is not in the watershed.

16 Q If I have a raindrop that falls on that
17 section of 23 which is shown on Exhibits 1 and 2 as being
18 within the watershed, if it falls within the watershed area
19 of section 23, is it your testimony then that the water
20 would eventually tend to go towards No Name Creek?

21 A Yes. I think that it might be helpful for
22 you also to--

23 MR. VEEDER: I object to any gratuities here.

24 MS. ECKERT: I don't.

25 MR. VEEDER: Well, I do.

1 Q (By Ms. Eckert) Well, let me ask you this
2 then, if water falls on that area in sections 23 and 26,
3 which are within the boundary that you have drawn as being
4 the watershed boundary, is there a small creek or stream
5 to which that water would eventually flow?

6 A. Water falling in section 23 and 26?

7 Q. That's correct.

8 A. In that portion of those I have no knowledge
9 of the migration of water falling in those portions of the
10 sections within the watershed.

11 Q. Okay.

12 A. I have no knowledge of where that water--
13 whether or not that water shows up in a defined stream
14 rather than eventually into No Name Creek.

15 Q. Okay. Would those waters go into No Name
16 Creek or directly into Omak Lake, do you believe, waters
17 falling on sections 23 and 26 within the watershed boundary
18 that you've drawn?

19 A. I don't know how you can distinguish between
20 the two.

21 Q. Well, my question is--

22 MR. VEEDER: If you can't respond, just say
23 you can't respond.

24 Q. (By Ms. Eckert) My question is, is there a
25 secondary stream or creek which is yet another No Name

1 feature which is not shown on Exhibits 1 and 2 which would
2 be in the vicinity of sections 23 and 26 within the watershed
3 boundary?

4 A. I have no knowledge of any.

5 Q. Have you ever walked the areas of section 23
6 and 26 that you have marked for the watershed bounday in
7 that area?

8 A. Yes, I have.

9 Q. And can you physically describe physically
10 what it looks like, what kind of terrain is that general
11 area of section 23 and 26?

12 A. It's an extremely rugged terrain, very
13 steep, walls on the--granite walls on the sides, a U-shaped
14 bottom, no defined stream channel, considerable debris,
15 rock debris having fallen in from the sides is visable in
16 the bottom, extremely rough, very difficult to traverse.

17 Q. Now turning to another portion of the
18 watershed boundary that you've described by the heavy
19 outline on Exhibits 1 and 2, the portion of the watershed
20 boundary shown in section 9 on those exhibits, basically
21 is a looped-shaped area, and can you explain for us how you
22 came to determine the shape of the watershed boundary in
23 section 9?

24 A. In referring to Deposition Exhibit 2, and
25 referring to that portion of the watershed boundary that

1 traverses through the eastern half of section 9 and into
2 the western portion of section 8, that watershed boundary
3 was determined in consultation with Mr. Kaczmarek regarding
4 the geology of this particular area.

5 Q Okay. I'll ask him, then.

6 Did you do any field studies in the vicinity of
7 section 9 to determine where the watershed boundary was?

8 A Mr. Kaczmarek has made extensive field
9 investigations. I've accompanied him on those investigations.

10 Q But Mr. Kaczmarek was the principal person
11 then responsible for that description of that area?

12 A That's correct.

13 Q Okay. What role, if any, did you play in
14 relation to the watershed boundary description in section 9?

15 A Principally the role that I played was in
16 continuing into section 9. The eastern boundary of the
17 watershed in section 9 is presently defined by topographic
18 feature from the topographic maps.

19 Q Okay. Now, in determining these watershed
20 boundaries you basically, as I understand, use topographic
21 maps. Were there any field instruments or measurements
22 taken to verify the line that you had determined from the
23 topographic maps?

24 A No.

25 Q And just for sake of clarity on the record,

1 I take it that the watershed boundary refers to surface
2 water and not to ground water, is that correct?

3 A. It refers--

4 MR. VEEDER: I object to the question.

5 MR. MACK: Go ahead and answer it.

6 MS. ECKERT: On what grounds?

7 MR. VEEDER: On the grounds that you're
8 asking him to speculate in regards to the overall issue.
9 He is not the groundwater hydrologist, Mr. Kaczmarek is.

10 Q. (By Ms. Eckert) I'm simply asking him to
11 what waters the watershed boundary refers. Can you answer
12 that question? If you're not qualified say no, but if you
13 can please answer it.

14 A. I don't understand your question.

15 Q. Well, it's a watershed boundary. What
16 exactly does a watershed boundary mean?

17 A. Watershed boundary means that water falling
18 within the boundary eventually ends up in the stream system
19 or in the groundwater system.

20 Q. You're referring to an entire system and
21 there may, in effect, be interrelationships of ground and
22 surface water, is that correct?

23 A. That's correct.

24 Q. Turning to another subject, in the course
25 of assisting the Colville Indians develop the irrigation

1 systems that you've testified went into operation in May and
2 August of '76 and also August of '77, did you have occasion
3 to perform or have performed the engineering studies for
4 the design of those systems?

5 A. Yes.

6 Q. Was that done under your direction or was
7 there somebody else principally responsible for the design
8 of irrigation systems?

9 A. That was done under my direction.

10 Q. Now, referring to the system which is located
11 in allotment S-526, can you describe for us what the system
12 was that you designed and what would it look like? If I
13 went out into the field what would I see?

14 MR. VEEDER: I object to the question. I
15 don't know what you'd see and neither does he.

16 MR. PRICE: What was the question?

17 MS. ECKERT: Well, the question was, what
18 would Mr. Kaczmarek see. I will withdraw that question.

19 Q. (By Ms. Eckert) Let me ask you again, with
20 reference to allotment S-526, would you describe the irriga-
21 tion system which you designed for use on that parcel of
22 land for irrigation?

23 A. Yes. The irrigation system on allotment 526?

24 Q. That's correct.

25 A. I was responsible for designing--consists of

1 an aluminum pipe connected to a buried steel pipe in the
2 north half of allotment H-892. The connection is made there
3 to a buried steel pipe, and the portion of the system that I
4 designed was the aluminum pipe, which was approximately 6
5 inches in diameter extending in a westerly direction across
6 the north half of allotment H-892, equipped with connections
7 to which 40 foot sprinkler lines are attached, and irrigation
8 is accomplished by connecting 40 foot sections of three inch
9 irrigation pipe equipped with a sprinkler nozzle.

10 Q Do you know what size nozzle?

11 A It's a three-sixteenth inch nozzle.

12 Q Do you happen to know the brand name?

13 A Rain Bird.

14 Q The source of water for the irrigation
15 system in allotment 526 is what?

16 A There the source of water is the No Name
17 Creek Aquifer.

18 Q How do you get water from the No Name Creek
19 Aquifer to the system? Is it by a well?

20 A Yes.

21 Q And is that well referred to by any particular
22 name?

23 A Yeah. The well is--there are two wells,
24 actually. The first well that can supply water in allotment
25 526 is located near the center of allotment S-526 and is

1 referred to on Deposition Exhibit No. 2 as Arabic No. 1,
2 which is Paschal Sherman irrigation well. The second
3 irrigation well is located near the north boundary of
4 allotment S-892 and is referred to in Deposition Exhibit 2
5 as well number two, which is the Colville number one irriga-
6 tion well.

7 Q Does the sprinkler system serving allotment
8 526 draw waters from both the Paschal Sherman well and the
9 Colville number one well?

10 A It can.

11 Q An ordinary operation, that is, under
12 ordinary operation would the sprinkler system in 526 draw
13 from both wells?

14 A In an ordinary operation the sprinkler system
15 would draw from one or the other.

16 Q Now, the Paschal Sherman well number one,
17 was that drilled as a part of the irrigation system program
18 that you and your firm developed for the Colville Indians?

19 A That was drilled as a part of that program.

20 Q When was that well drilled?

21 A I know the year, I don't know the precise
22 date.

23 Q Which year was it then?

24 A 1975.

25 Q Okay, and the same question with reference

1 to Colville No. 1 well, do you recall when that well was put
2 in?

3 A That was also drilled in 1975.

4 Q Okay. Now, are you familiar with the
5 construction features of the Colville well and the Paschal
6 Sherman well?

7 A No.

8 Q Do you know who performed the actual drilling
9 work for these wells?

10 A No, I really don't.

11 Q Okay. Is there in addition to the two wells
12 that you've described, the Paschal Sherman well and Colville
13 No. 1 well, is there another well which serves Allotments
14 526 and/or 892?

15 A Yes. A well located--

16 Q Which well is that then?

17 A A well located on Deposition Exhibit No. 2
18 near the south boundary near Allotment H-892 referred to on
19 the exhibit as Well No. 3 named Colville No. 2 irrigation
20 well.

21 Q Okay. Do you happen to know when that well
22 was put into production?

23 A That well was put into production in May, 1976.

24 Q Now, returning to Allotment 526 and the sprinkler
25 system that you have there, let me ask you, are you familiar

1 with the irrigation uses of water in the year of 1977 on
2 Allotment 526?

3 A Yes, I am.

4 Q Okay, and from which of the wells that you
5 have testified about did Allotment 526 draw its principal
6 source of water?

7 A I can't answer that question specifically.

8 Q Okay. Let me ask you this, do you happen to
9 know if the Colville No. 2 well was ever used for irrigation
10 of land in 526 during the 1977 irrigation season?

11 A Yes, I do know.

12 Q And was it in fact used?

13 A No.

14 Q Okay. Was Colville No. 1 well used for
15 irrigation of land in 526 during the '77 irrigation season?

16 A Yes, it was.

17 Q And the Paschal Sherman well was also used
18 for irrigation of land on 526, is that correct, during this
19 year?

20 A That's correct, yes.

21 Q Do you have any idea of the relative breakdown
22 of usage between Colville No. 1 and the Paschal Sherman well,
23 that is, do you know which one was used more as a source of
24 water for the irrigation of land in 526?

25 A No, I don't.

1 Q Okay. Do you know who might know that
2 information?

3 A I'm not aware of anyone that would.

4 Q Okay. Were there records of the water usage
5 from each individual well during the 1977 irrigation season?
6 Were records of quantities of water applied kept?

7 A Yes, there were.

8 Q Okay, and by whom were those records kept?

9 A The records were kept by the U. S. Geological
10 Survey in Tacoma.

11 Q And you or your firm had no occasion to make
12 any records of your own, I take it?

13 A We did not make records of our own with regard
14 to the operation of those wells.

15 Q Okay. With reference to the irrigation season
16 of 1977 on Parcel 892, again let me ask you, do you know which
17 wells were used as the principal source of water for the
18 irrigation of that Allotment 892?

19 A Yes.

20 Q And which wells were those?

21 A The wells were--the wells previously referenced
22 as Well No. 2 in the north half of Allotment H-892, and
23 Well No. 3 in the south half of Allotment H-892, Colville
24 No. 1 and Colville No. 2 respectively.

25 Q Okay. Do you know if both of those wells were

1 used this summer to irrigate 892?

2 A Yes, both wells were.

3 Q And do you know if one of those wells was used
4 as a primary well for the irrigation of Allotment 892?

5 A Again, I have trouble answering that question.

6 MR. VEEDER: Don't answer it then.

7 Q (By Ms. Eckert) Let me ask you this then,
8 do you know from which well, that is, Colville No. 1 or Col-
9 ville No. 2, the principal portion of the water used to
10 irrigate 892 came from?

11 A The principal water, the principal amount of
12 water for the irrigation on Allotment 892, I believe, came
13 from Well No. 3.

14 Q Okay. Now, do you happen to know on the wells
15 what kind of pump each of the wells had? Let me start with
16 Paschal Sherman Well No. 1. Do you happen to know what sort
17 of pump that well has installed in it? I'm not asking brand
18 names, I'm asking capacity, basically.

19 A Would you state the name of the well again?

20 Q With reference to the Paschal Sherman Well No.
21 1 on Exhibit 2.

22 A I don't know the capacity of that pump.

23 Q Okay. Is it an electric pump?

24 A Yes, it is.

25 Q And again with reference to Colville Well No. 1,

1 which is marked No. 2 on Exhibit 2, that again is an electric
2 pump?

3 A. (The witness nodded his head affirmatively.)

4 Q Do you know the horsepower rating by any
5 chance?

6 A I don't know that.

7 Q And the same question with reference to Colville
8 Well No. 2, again it's an electric pump?

9 A It's an electric pump.

10 Q Okay. Do you know the rating of horsepower of
11 that?

12 A No, I don't.

13 Q Okay. Do you happen to know if on the Paschal
14 Sherman well there is any casing in place in that well?

15 A Yes.

16 Q Okay. Can you describe what you understand
17 the amount and depth of casing to be for the Paschal Sherman
18 well?

19 A I can't answer that specifically.

20 Q Okay. Well, then don't. With reference to
21 all three wells and the electric pumps, do you know the source
22 of electricity for those three wells, Paschal Sherman No. 1,
23 Colville No. 1 and Colville No. 2?

24 A The source of electricity is the local electrical
25 co-op, Nespelem Valley Electric, something on that order.

1 Q Now, with reference to the irrigation of Allot-
2 ment 526 this summer, was the full area that you shown in green
3 as irrigated acres on Exhibits 1 and 2 in production from
4 the beginning of the irrigation season in 1977?

5 MR. VEEDER: Would you please read that back?

6 (The court reporter read back the pending question.)

7 MR. VEEDER: I object to the question, I don't
8 understand it. Why don't we start again.

9 Q (By Ms. Eckert) Let me ask you this, in 1977,
10 I take it--

11 MR. VEEDER: Are you withdrawing the earlier
12 question?

13 MS. ECKERT: Yes, I'm going to go back to it
14 but I'm withdrawing that particular question.

15 Q (By Ms. Eckert) In 1977, I take it, there
16 were lands in S-526 that were irrigated, is that correct?

17 A That is correct.

18 Q Can you tell us what crops were grown in 1977
19 on S-526?

20 A The crop was exclusively alfalfa.

21 Q Can you tell us when the alfalfa crop is
22 planted with reference to S-526?

23 A The alfalfa crop was planted prior to the
24 beginning of the irrigation in August of 1976.

25 Q When was the first date, if you know, that water

1 was applied to that crop for irrigation purposes in 526?

2 A I believe I had previously stated August, 1976.

3 Q Okay. So then it's your testimony that the
4 wells were not used or that there was no irrigation until
5 August of 1976 on 526?

6 A I have no knowledge of irrigation prior to
7 1975.

8 Q Okay, I'm sorry, I have confused that question.
9 I was talking specifically with reference to this season and
10 the patterns of water use in this season on 526. It's your
11 understanding that water was first applied in this season in
12 August of '77, is that correct?

13 A No.

14 MR. VEEDER: No, no, I object to that. He
15 didn't say that.

16 Q (By Ms. Eckert) Well, the witness was just
17 about to correct it, and if you would, please, correct what
18 I have just stated.

19 A The irrigation on Allotment 526 was initiated
20 for the first time in August of 1976. The irrigation in 1977
21 was initiated at the start of the irrigation season.

22 Q Okay, I am sorry if I've confused everyone,
23 and when does the irrigation season start then?

24 A The irrigation season this year began in
25 April.

1 Q Okay. Now, with respect to 526 then you
2 started applying water in April of 1977 to alfalfa crop, is
3 that correct?

4 A That is correct.

5 Q Okay, and how long did the water use extent
6 when you did stop applying water?

7 A The water use extended until September, 1977.

8 Q Now, I take it from that period of time from
9 April of '77 to September of '77 you didn't have water on all
10 the time, is that correct?

11 A That's correct.

12 Q Do you have any breakdown of when water was
13 actually being used for irrigation purposes between April,
14 1977 and September of '77 on 526?

15 A Yes.

16 Q Do you have those records with you?

17 A Yes.

18 Q Could I see them, please?

19 A Yes.

20 (Deposition Exhibit Nos. 3 and
21 4 marked for identification.)

22 Q (By Ms. Eckert) Mr. Watson, I believe that
23 before we went off the record I had asked you about the
24 patterns of water application on Allotment 526 during the
25 irrigation season of 1977. We have had marked now two exhibits,

1 additional exhibits, 3 and 4. Would you please identify for
2 the record what Deposition Exhibit 3 is?

3 A Deposition Exhibit 3 is entitled Accumulation
4 of Water Pumped from Paschal Sherman Irrigation Well for
5 Irrigation of Allotments S-526 and H-892.

6 Q Okay, and by whom was this chart prepared?

7 A I prepared the chart.

8 Q And the underlying data that you used to prepare
9 the chart, where did you obtain that data?

10 A The underlying data was the records collected
11 by the U.S. Geological Survey.

12 Q Okay. Now, drawing your attention to Deposi-
13 tion Exhibit 3, can you explain for us what the chart purports
14 to show?

15 A The chart shows the accumulation of water
16 pumped from the Paschal Sherman irrigation well for irrigation
17 on the two northern allotments, namely, S-526 and H-892.

18 Q When you say the accumulation, you mean in
19 terms of the total what, water amount used?

20 A The total amount of water pumped--

21 Q Is that expressed in acre feet?

22 A It's expressed in acre feet on the scale on
23 the right-hand side of the exhibit.

24 Q Okay, and then--that's the left-hand side?

25 A On the left-hand side.

1 Q And then on the right-hand side of Deposition
2 Exhibit 3 you have a total figure. Could you explain what
3 that is?

4 A The total on the right-hand side of the exhibit
5 is the total amount of water that was actually accumulated
6 during the 1977 irrigation season.

7 MR. VEEDER: How do you word that, Mr. Watson?

8 THE WITNESS: It was actually, it's the total
9 accumulation of the water pumped from the Paschal Sherman well
10 for irrigation on the two northern allotments.

11 Q (By Ms. Eckert) It's the accumulation of, a
12 final tabulation, is that correct?

13 A The tabulation is presented on the right-hand
14 side of the exhibit.

15 Q When you talk about accumulation, you're not
16 talking about the water physically accumulating?

17 A It's a totalizing.

18 Q Okay. Now, on the upper portion of the graph
19 there are two heavy black bars, horizontal, what appears to
20 a caption that says, periods of operation. Can you explain
21 what that purports to show?

22 A In explaining that it should be explained that
23 the scale running from left to right across the bottom of
24 Deposition Exhibit 3 is a calendar day grid beginning in
25 January and ending in October of 1977. The period of operation

1 referred to in the upper left-hand corner of the exhibit and
2 the subsequent black bars depict the period that the Paschal
3 Sherman irrigation well was pumping for the purposes of
4 irrigation on these allotments.

5 Q Now, the black bars that you've just described,
6 where is the data that that was used to draw these black bars?
7 Was that also U.S.G.S. data?

8 A There are several sources of the data used to
9 accumulate that.

10 Q Okay. What are those sources?

11 A One source is the U.S. Geological Survey,
12 records kept by representatives of the Colville's including
13 myself as to dates and observations.

14 Q Okay, and so I take it, looking at it, I'm a
15 little bit nearsighted, apparently there was pumping from the
16 Paschal Sherman irrigation well starting on approximately
17 April 15th until about May 20th, and then there is a cessation
18 starting up again in approximately July what?

19 A First.

20 Q First?

21 A That's correct.

22 Q And running through about the end of August
23 the 28th?

24 A August the 25th.

25 Q Twenty-fifth?

1 A. Uh-huh.

2 Q. Okay. In the period from May 21 to June 30th
3 where there is no pumping shown, does that reflect a lack of
4 data or was there in fact no irrigation pumping during that
5 period?

6 A. There was no irrigation pumping on Allotments
7 S-526 and H-892 from the Paschal Sherman irrigation well.

8 Q. Okay, and do you have any knowledge of why
9 there was no pumping during that period?

10 A. There was no pumping during that period because
11 of the rotation of water for irrigation throughout the No Name
12 Creek Basin.

13 Q. Okay. Now, Deposition Exhibit 3 refers to both
14 Allotments 526 and 892.

15 A. That's correct.

16 Q. I have been asking a series of questions
17 specifically directed toward 526, but is it my understanding
18 from that photograph that in fact the irrigation of 526 and
19 892 is run as an integrated project?

20 A. It is run as an integrated project. The entire
21 irrigation in the No Name Creek Basin by the Colville Confeder-
22 ated Tribes is integrated.

23 Q. Okay. Let me ask you this, between 526 and 892
24 is there a fence or physical barrier between the fields in
25 each allotment?

1 A Yes, there is a fence.

2 Q All right. Are the fields in 526, that was
3 an alfalfa crop in 1977, is that correct?

4 A That's correct.

5 Q And the fields in 892 were what, what crop
6 was grown in 1977?

7 A Exclusively alfalfa.

8 Q Okay, and do you know if the fields in both
9 allotments, that is, 526 and 892, were planted in approxi-
10 mately the same time?

11 A The fields in H-892 and 526 were planted at
12 the same time?

13 Q Yes.

14 A No. As previously testified the fields in the
15 south half of Allotment 892 and in the east half of the north
16 half of 892 were irrigated first in May, 1976.

17 Q Okay. My question was simply with reference
18 to the 1977 irrigation season, and the crop that was grown
19 in 1977. Were the fields in 526 and 892 planted at approxi-
20 mately the same time?

21 A They had been planted the previous year.

22 Q Okay, fine. Now, with respect to the alfalfa
23 crop in the 1977 season in 526, did you have more than one
24 cutting of alfalfa, do you know?

25 A In 1976?

1 Q In '76, that's correct.

2 A I do not have personal knowledge of the number
3 of cuttings.

4 Q In 1977, do you have any knowledge of the
5 number of cuttings of alfalfa on 526?

6 A Yes, I do.

7 Q And how many cuttings were there on 526?

8 A There were three cuttings of alfalfa on 526.

9 Q And then with reference to 892 in the 1976
10 irrigation season, do you have any knowledge of the cuttings,
11 number of cuttings of the crops on 892?

12 A No, I don't, in 1976.

13 Q Do you have any knowledge with reference to
14 1977 for 892?

15 A Yes.

16 Q And how many cuttings were there in 1977?

17 A There were three cuttings in 1977 on Allotment
18 H-892.

19 Q Okay. Now, on the three cuttings that you
20 obtained in 1977 do you have any knowledge of the yields of
21 the first cutting in terms of bales or tons with reference
22 to Allotment 526? Do you know how much they got from the
23 first cutting in 1977?

24 A Yes, I do.

25 Q Okay, and how much did they get from the first

1 cutting in 1977?

2 A I have to refer to an additional exhibit.

3 Q Feel free then. Mr. Watson, to save time
4 perhaps you could review that over the lunch period and I will
5 ask the question again then after lunch.

6 A Fine.

7 Q Because I wanted to follow up then with what
8 we have marked as Deposition Exhibit 4.

9 A Fine.

10 Q Now, with reference to that Exhibit 4, could
11 you briefly explain what that is and who prepared it?

12 A Yes. This is an exhibit similar to Deposition
13 Exhibit 3. I'm referring to Deposition Exhibit 4.

14 Q And that also is prepared by you?

15 A The exhibit was prepared by me. The exhibit
16 is entitled, accumulation of water pumped from Colville No.
17 1 irrigation well for irrigation of Allotments S-526 and H-892.
18 There is an error on that exhibit. The exhibit says, 894.
19 It should be 892, and delivery to No Name Creek.

20 Q Again, on the right-hand side of Exhibit 4
21 there is a number that says, total, and that represents what?

22 A On the right-hand side of that exhibit the
23 total is given as 118.8 acre feet, which is the total amount
24 of water pumped from that well during 1977.

25 Q I see there is a breakdown then, and what does

1 the breakdown of that total acre footage as shown on the right-
2 hand side of Exhibit 4 indicate?

3 A The breakdown separates the total amount of
4 water pumped from the well into that portion that was pumped
5 to No Name Creek and into a second portion that was used for
6 the irrigation of Allotments S-526 and H-892. Again, the
7 exhibit says, 894.

8 Q The heavy black bars on the upper portion of
9 the exhibit indicate those periods in which the well was
10 being used for irrigation pumping?

11 A That's correct.

12 Q Now, you previously testified that there was
13 three wells used in the general area of the Paschal Sherman
14 Well and the Colville No. 1. Do you also have a similar
15 chart for the Colville No. 2 well?

16 A I do.

17 Q And can we have that put up and marked also?

18 A Yes.

19 (Deposition Exhibit No. 5
20 marked for identification.)

21 Q (By Ms. Eckert) You have just put up on the
22 board what's been marked as Deposition Exhibit 5, and could
23 you again briefly explain what that is, and who prepared it?

24 A Deposition Exhibit 5 is similar to Deposition
25 Exhibits 3 and 4. The title of Deposition Exhibit 5 is

1 accumulation of water pumped from Colville No. 2 irrigation
2 well for irrigation of Allotment H-892 and delivery to No
3 Name Creek.

4 Q Okay.

5 A The exhibit was prepared by myself.

6 Q Again, on the right-hand side there is a figure
7 shown which represents what?

8 A On the right-hand side the total amount of
9 water pumped from the Colville No. 2 irrigation well is
10 shown as 104.8 acre feet. It is broken down into an amount
11 of 18.3 acre feet delivered to No Name Creek, and a quantity
12 of 86.5 acre feet delivered for irrigation of Allotment H-892.

13 Q Okay. On the upper portion of Deposition
14 Exhibit 5 there are again, as in the case of 3 and 4, heavy
15 black bars. However, in this case, that is, in the case of
16 Exhibit 5, on one portion there are parallel black bars. Can
17 you please explain for us what is the meaning of the black bars
18 on Exhibit 5?

19 A There are two sets of black bars on Deposition
20 Exhibit 5. The first upper black bar set represents the
21 period of time that water was delivered for irrigation on
22 Allotment H-892. The lower bar represents the period of time
23 during which water was being delivered to No Name Creek
24 between the dates of July 15th, 1977 and August 22, 1977.
25 Water was being delivered both to No Name Creek and for the

1 irrigation of Allotment H-892 from Colville No. 2 irrigation
2 well.

3 Q Okay. Now, in reference to all three exhibits,
4 that is, Deposition Exhibits 3, 4, and 5, on the left-hand
5 side, the scale marked in acre feet, does that bare any
6 relation to the black bars?

7 A No.

8 Q In other words, do the black bars indicate
9 an amount, or is that off the scale at that point?

10 A The black bars are an explanation of the
11 period of operation and have no bearing to the quantity in
12 acre feet listed on the left-hand side.

13 Q Okay. Now, with reference to--let me just
14 pick a specific day that you were pumping out of, say,
15 Colville No. 2. May 5th, apparently, you were pumping out
16 of that. Do you have any knowledge of how much water was
17 being pumped on that particular day for irrigation uses?

18 A I do not.

19 Q Do you know if those records are available?

20 A I do not know if those records are available
21 on that date.

22 Q Okay. Do you know if records were generally
23 kept for amounts of pumpage on each particular day from the
24 Colville No. 2 well?

25 A Yes.

1 Q Were they kept?

2 A No.

3 Q No, they were not kept?

4 A No.

5 Q So the only record that was kept was whether
6 or not the well was pumping, not how much it was pumping, is
7 that correct?

8 A No, that's not correct.

9 Q On a daily basis?

10 A The information that is available is the total
11 amount of flow, the total amount of water that was pumped from
12 the well as of a particular date. The U. S. Geological
13 Survey was collecting the information and they do not collect
14 the information on a day-to-day basis on Colville No. 2
15 irrigation well.

16 Q So there is a total season figure, but there
17 is no day-to-day quantity figures, is that correct?

18 A There is not, that's not correct.

19 Q Okay.

20 A That is not entirely correct. There is not a
21 day to day accumulation, but there is information more
22 frequently than just for the period.

23 Q I see. Was that taken on a regular basis
24 then? If it was not taken on a daily basis was it taken on
25 some regular basis?

1 A It was not taken on an exactly regular basis.

2 Q Okay. Approximately what basis was that
3 information taken?

4 A It varied considerably throughout the year
5 ranging from day to day observations during some of the
6 period to a period perhaps of as long as a week.

7 Q And the observations that you are referring to
8 are the U. S. G. S. observations or your observations?

9 A These are the U. S. G. S. observations.

10 MS. ECKERT: I'll ask them about it then.

11 I've come to a good breaking point, shall we break
12 for a brief lunch period? Okay.

13 (Lunch period was taken from 12:00 to 1:00 p.m.)

14 Q (By Ms. Eckert) We're back on the record and
15 the witness has already been sworn.

16 Following up on some questions before lunch, the
17 three wells, that is, the Paschal Sherman Well, the Colville
18 No. 1 Well, and the Colville No. 2 Well, do those represent
19 the only sources of irrigation water for irrigating 526 and
20 892?

21 A Yes, they do.

22 Q Okay. Are there any other wells that you
23 are aware of located in Allotment 526?

24 A In Allotment 526, yes, there are other
25 wells.

1 Q How many other wells are there besides the
2 Paschal Sherman Well on 526?

3 A Three.

4 Q And what's the nature of those wells, do you
5 know?

6 A They are observation wells.

7 Q Okay, and do you know by whom those wells--or
8 why were those wells drilled, the three observation wells?

9 A For the purpose of observing water levels.

10 Q Was that by observation by the U. S. G. S.
11 or the Tribe or both?

12 A Both.

13 Q Okay. Now, same question with reference to
14 892, are there other wells besides the Colville 1 and Colville
15 2 well in 892?

16 A Yes.

17 Q And how many of those wells are there?

18 A There are three.

19 Q And are those wells in 892 also observation
20 wells?

21 A Those are observation wells at the present
22 time.

23 Q Okay. When you say at the present time, were
24 they something other than observation wells before they were
25 used as observation wells?

1 A Not to my knowledge.

2 Q Okay. Are there any, to your knowledge, any
3 domestic wells located in 526?

4 A No.

5 Q Are there any domestic wells in 892?

6 A There is one well in Allotment 892 that has
7 been used for domestic purposes.

8 Q Is that one of the three observation wells?

9 A Yes.

10 Q Do you know if the well that was used for
11 domestic purposes is now being used for domestic purposes?

12 A No.

13 Q Okay, and the three observation wells in 526
14 and the three in 892, the observations on those were made
15 by whom and when? Was that part of a general program?

16 A They have been made by the U. S. Geological
17 Survey since the initiation of the court order.

18 Q Okay. Now, in 526 are there any diversion of
19 surface waters?

20 A No.

21 Q And also in 892, are there any diversions
22 of surface waters?

23 A No.

24 Q Now, referring to Exhibits 3, 4, and 5 and
25 the total amounts shown on the right-hand side of those three

1 exhibits for the total acre feet for the season, have you
2 made a tabulation of the total water usage out of wells 1
3 and 2 and the Paschal Sherman Well? In other words, do you
4 have any tabulation adding up what is shown on Exhibits 3, 4
5 and 5?

6 A No, I do not.

7 Q Okay. Have you made any determination of the
8 total amount of water that was used in the 1977 irrigation
9 season out of those three wells?

10 A I have made a determination.

11 Q And do you know how much water was used in
12 the 1977 irrigation season out of those three wells?

13 A I'd have to refresh my memory on that.

14 Q Okay, and how could you do that, do you have
15 notes?

16 A I believe I have some notes that I could refer
17 to.

18 Q Okay. Would you do that, please?

19 A Yes.

20 MR. PRICE: Your question is total volume of
21 water taken from the wells?

22 MS. ECKERT: That's right.

23 (Discussion off the record.)

24 THE WITNESS: Would you repeat your question?

25 Q (By Ms. Eckert) Okay. I believe my question

1 was, how much water was used for irrigation purposes, the
2 three wells, that is, the Sherman, Colville No. 1, Colville
3 No. 2, in 1977?

4 A I don't have it broken out specifically that
5 way, the information given on the exhibits.

6 Q If the information given on the exhibits were
7 totaled up, if you had a calculator and you totaled those
8 three pieces of paper, would that be the total amount that
9 was pumped over the season from these three wells?

10 A Yes, it would be.

11 Q Are there any other figures that would increase
12 the total amount? In other words, is there information which
13 is not shown on Exhibits 3, 4 and 5?

14 A There is no information not shown on Exhibits
15 3, 4 and 5.

16 Q That would go to the total acre footage out of
17 those three wells?

18 A That's correct.

19 Q So what we need is somebody just to calculate
20 that, okay.

21 Now, referring you to Exhibit 5, for example, where
22 it says the 18.3 acre feet was diverted over to No Name Creek,
23 did any portion of that 18.3 acre feet get used for irriga-
24 tion of either, what is it, 526 or 892 during 1977?

25 A No.

1 Q Okay, and again that same question with
2 reference to Deposition Exhibit 4 where there is 43.3 acre
3 feet going to No Name Creek, did any portion of that 43.3
4 acre feet, as shown on Exhibit 4, go to the irrigation of
5 lands in 526 or 892?

6 A No.

7 Q Now, the documents that you have up there,
8 4, 5, and 6, all relate to the 1977 irrigation season, and
9 I believe you've testified earlier that at least on a portion
10 of 892 there was some irrigation in 1976, is that correct?

11 A That is correct.

12 Q Do you have any records for times of usage
13 of water during the 1976 irrigation season on 892?

14 A I have information on the period of use.

15 Q Okay, and can you tell us when water was first
16 used for irrigation in 1976 on Allotment 892?

17 A On Allotment 892 water was first used for
18 irrigation in 1976 in May.

19 Q Okay, and do you recall when in 1976 the
20 irrigation season was over?

21 A Where are you referring?

22 Q Allotment 892.

23 A I do not recall.

24 Q Do you recall in 1976 the alfalfa crop, how
25 many cuttings there were in 1976 on 892?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A In 1976--

MR. VEEDER: I think that question was asked, and I think that he didn't answer it.

MS. ECKER: I don't recall his answer.

MR. VEEDER: I know the question was asked before but he said he didn't know. He's already answered the question.

THE WITNESS: The question was asked previously and I indicated I did not know.

Q (By Ms. Eckert) I asked you also before lunch on the yields on the alfalfa crop for 1977, and you indicated to me that you would check on that. My question, I believe, at the time was, how much yield was there either in terms of tons or bales or however you have it broken down, from the first cutting of alfalfa in 1977 from 526?

A From the first cutting of alfalfa in 526 I do not have that information.

Q Okay. Do you know who might have that information?

A No.

Q Do you know if that information exists?

A No, I do not.

Q Okay. For the second cutting of alfalfa, 1977, 526, do you have any yield breakdown?

A No, I do not.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. VEEDER: Are you still speaking about the single allotment now?

MS. ECKERT: I'm talking about Allotment 526, yes, sir.

MR. VEEDER: All right.

Q (By Ms. Eckert) Again, for the Allotment 526 for the third cutting in 1977, do you have any yield figures on that?

A I do not.

Q Okay. Do you know if those figures exist?

A I do not know that they do.

Q And you wouldn't know who had them even if they did exist?

A No.

Q Okay. Now, with reference to the same series of questions to 892 on the first cutting of 1977, do you have any figures showing the yield from the first cutting?

A No, I do not.

Q Okay. Do you have any figures for the second cutting of--

MR. VEEDER: We can save some time here by telling you that the combined alfalfa acreage above the Walton place can be broken down, is that not right?

THE WITNESS: That's correct.

Q (By Ms. Eckert) Okay. Up until now we have

1 been talking about two very specific areas. Let me then ask
2 you about the combined acreage. I would take it then 526 and
3 of 892?

4 MR. VEEDER: Right, right.

5 Q (By Ms. Eckert) Let me ask that series of
6 questions. The first cutting in 1977 for the acreages
7 in 526 and 892, do you have a breakdown of the yields?

8 A The first cutting on Allotments 526 and 892
9 for 1977?

10 Q That's correct.

11 A Produce 5700 bales.

12 Q Okay, and a bale is a standard unit of measure-
13 ment, I take it?

14 A It's a standard unit of measuring hay.

15 Q Okay, and for the second cutting, if you have
16 those figures for 1977?

17 A The second cutting for 1977 on the Allotments
18 526 and 892 was 2162 bales.

19 Q Okay, and then for the third cutting, if you
20 have that figure, please.

21 A The third cutting on those same two allotments,
22 526 and 892, was 1815 bales.

23 Q Do you happen to have the dates of the first
24 cuttings?

25 A No, I do not.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q And the dates of the second cutting?

A No.

Q And the dates of the third cutting?

A No.

Q Okay. Now, in relation to the alfalfa crop and the irrigation systems you have up there, you testified you're using 40-foot lines, and what was it, three-quarter-inch sprinklers? I'm not trying to put words in your mouth, I'm just refreshing myself. How many sprinkler heads were used in 1977 while you were irrigating Allotment 526?

A I don't have any remote idea.

Q Do you know who might know that information?

A No, I don't.

Q Okay. From May of 1977 until September of 1977, how many times were you in the vicinity of Allotments 526 and 892?

A Between May and September?

Q That's correct.

A I have no recollection.

Q Was it one time?

A It was not one time.

Q Was it more than ten, can you estimate?

A I believe it was more than ten.

Q Okay. So you were there at various times throughout the irrigation season?

1 A Yes.

2 Q But do you have any control over the irrigation
3 practices themselves, that is, the decision to turn on a
4 particular set of sprinklers?

5 A I have no control over the--

6 MR. VEEDER: Over what?

7 MS. ECKERT: Over the actual day-to-day
8 management of the sprinkler system. Mr. Watson, I asked,
9 didn't have the authority to say turn on that sprinkler,
10 turn off that sprinkler.

11 MR. VEEDER: That wasn't your question. You
12 didn't ask that question, I'll tell you that.

13 MS. ECKERT: That was the answer, and I'm
14 amplifying on both our question and our answer.

15 MR. VEEDER: If you don't want to ask him--
16 well, it's up to you, I'm objecting to the form of the
17 question. If you're asking him about his authority to do it,
18 that's one thing. Did he do it is something else, I'll tell
19 you.

20 Q (By Ms. Eckert) Let me ask you, did you have
21 the authority to do so, that is, to order to turn on and shut
22 off a particular portion of a particular sprinkler system in
23 either 892 or 526?

24 A I had considerable discussion with Colvilles
25 about the management of the irrigation system, and I was

1 given the authority to make those kinds of decisions.

2 Q But apparently you did not exercise that
3 authority?

4 A I exercised the authority on occasion, but I
5 did not--

6 MR. VEEDER: Did not what?

7 THE WITNESS: Personally operate the system.

8 Q (By Ms. Eckert) Okay. By whom was the system
9 operated or managed then, do you know?

10 A The system was operated by the Colville
11 Confederated Tribes and the Pasco Indian School there.

12 Q Was there a particular person who was doing
13 that for the Indians?

14 A There was not a particular person.

15 Q Do you know the names of the people who were
16 responsible for the management of the sprinkler system?

17 A Were responsible for--would you repeat your
18 question?

19 Q Okay, strike that. On the yield figures that
20 you testified to, would you tell me the source of the data
21 for that information?

22 A The source of the data was the bale counts
23 that I personally made as well as bale counts given to me by
24 the farmers.

25 Q Okay. When you say bale counts, explain that

1 to me. Are you out in the field counting each individual
2 bale before it's piled, or what?

3 A Is that a hypothetical question or--

4 Q Well, tell me, when you say you went out and
5 made a bale count, tell me what you did.

6 A I went to each one of the haystacks where the
7 hay was stacked and performed an independent count of the
8 bales in that stack.

9 Q Okay. I'm sorry, it may sound like an exceedingly
10 dumb question, but how can you count bales if they have already
11 been stacked?

12 A You count the number across on both sides.

13 Q Okay, and that's the procedure you followed
14 then?

15 A I counted--

16 Q You count the number across and then the number
17 up and you figure the volume?

18 A Yes.

19 Q You performed bale counts, and who else, you
20 said that the farmers--

21 A The actual farmers.

22 Q Okay. Were these two separate counts that you
23 were conducting, that is, the farmers counted once and you
24 came out and counted again?

25 A That's correct.

1 Q Okay, and where is the source of the raw data?
2 You have field notes from these bale counts?

3 A I do.

4 Q Okay, and where is that data located?

5 A That data is located in--

6 Q It's not here?

7 A It's not here.

8 Q Okay. Now, are you familiar with the disposi-
9 tion of the various bales of hay that were obtained from
10 the cuttings were? For instance, on the first cutting were
11 the bales of hay sold?

12 A I have no knowledge of that at the present
13 time.

14 Q Okay. Do you know who might know that
15 information?

16 A I do not know at this time who would have
17 that information.

18 Q Okay, fine. Let's see. Now, in the course
19 of your duties for the Colvilles since mid-75 have you had
20 the occasion to perform any pump tests on any of the three
21 wells that you've testified about, that is, Paschal Sherman
22 well, Colville No. 1, and Colville No. 2?

23 A I have not.

24 Q Okay. Have any such tests been performed to
25 your knowledge?

1 A Yes, they have.

2 Q And by whom have those tests been performed?

3 A By Dr. Robinson and Mr. Kaczmarek.

4 Q Were those tests performed in connection with
5 Morrison Maierle's contractual obligations to the Tribe?

6 MR. VEEDER: I object to that, that's a legal
7 question. I think it's irrelevant.

8 Q (By Ms. Eckert) Let me ask, were the pump
9 tests all part of the project that Morrison Maierle had been
10 engaged to do?

11 A They were a part of our work.

12 Q Okay, but you personally did not participate
13 in the tests themselves, is that correct?

14 A I personally did not participate in the tests.

15 Q Have you seen any of the results from those
16 tests?

17 A I have seen the results.

18 Q Now, in determining the watershed boundary for
19 No Name Creek, did you also have the occasion to consider
20 precipitation records for this area of the Colville Indian
21 Reservation, that is, the No Name Creek area?

22 MR. VEEDER: I object to the question. I
23 think it's a non sequitor in regard to the watershed
24 boundaries, the precipitation records--

25 Q (By Ms. Eckert) Well, I'm trying to narrow

1 down the geographic area. I'm saying, for that particular
2 area did Mr. Watson consider any precipitation records.

3 MR. VEEDER: Is that part of the watershed
4 study or is it--

5 MR. MACK: He can certainly answer whether
6 he's ever considered them or not.

7 MR. VEEDER: Go ahead and answer.

8 THE WITNESS: I did not consider precipitation
9 records.

10 Q (By Ms. Eckert) That's very simple.
11 Have you ever made a determination of what the
12 watershed boundary of Omak Lake is?

13 A I have not made a determination of the water-
14 shed boundary of Omak Lake.

15 Q Do you know if anyone connected with Morrison
16 Maierle has made any such determination?

17 A I am certain that someone from Morrison
18 Maierle has made that determination.

19 Q Okay. Do you know who that person would be?

20 A Doug Dusek.

21 Q And he is not present here today, but what
22 can you tell me, who Mr. Dusek is?

23 A He is a former employee of Morrison Maierle
24 assigned to the water resources department.

25 Q For the record, please would you spell Mr. Dusek's

1 name, if you could?

2 A D-u-s-e-k.

3 Q Okay. When you say that you are certain that
4 Mr. Dusek had conducted some sort of study of the watershed
5 boundary of Omak Lake, what makes you certain about that?
6 Did you see his studies or reports?

7 A I was responsible for his work.

8 Q Okay. Deposition Exhibits 1 and 2 show the
9 watershed boundary for No Name Creek. Was there a similar
10 line drawn for Omak Lake? Have you prepared any exhibit
11 which shows the watershed boundary of Omak Lake?

12 A No.

13 MS. ECKERT: I don't think we have anymore
14 questions at this time. I would like to reserve the right
15 to recall Mr. Watson after having Mr. Kaczmarek's testimony.

16 MR. PRICE: I have just a few questions,
17 please.

18

19

EXAMINATION

20 BY MR. PRICE:

21 Q Did you assign any weight value to a bale of
22 hay?

23 A Did I assign a weight value to a bale of hay?

24 Q Yes.

25 A I'm not sure I understand what you mean by

1 assign.

2 Q Well, in terms of calculating how much tonnage
3 you took off did you ever employ a figure for the weight of
4 a bale of hay?

5 A I did.

6 Q And what was that figure?

7 A It varied depending on the cutting and
8 depending on the measurements that I had made in the field
9 at that time.

10 Q Do you have notes of that?

11 A Yes, I do.

12 Q Could you produce those, please?

13 A Yes. Do you have a specific question?

14 Q Do your notes relate to both the years 1976
15 and 1977?

16 A No.

17 Q Which year?

18 A 1977.

19 MR. PRICE: Okay. Could we have that marked
20 as an exhibit, please?

21 MR. VEEDER: Sure.

22 (Deposition Exhibit No. 6
23 marked for identification.)

24 Q (By Mr. Price) Could you read back the
25 exhibit number to me and briefly explain what is exhibited on

1 that document, please.

2 MR. VEEDER: I think the document speaks for
3 itself.

4 MR. PRICE: I would like to be able to under-
5 stand it when I look at it.

6 MR. VEEDER: That's going a long ways, Dick,
7 but go ahead.

8 THE WITNESS: The exhibit is marked, Deposition
9 Exhibit No. 6. It's titled, Colville irrigation project,
10 hay inventory, 1977.

11 Q (By Mr. Price) And there are, I can see from
12 here, figures have been broken down. Can you explain to me
13 how their broken down?

14 A There are a number of figures broken down on
15 the exhibit, and you are interested specifically in--

16 Q What is depicted on Exhibit No. 6, please.

17 A There are various column headings on the
18 exhibit beginning on the left-hand side of the exhibit with
19 cuttings, and the second column is bales, and the third column
20 is pounds per bale, the fourth column is tons, the fifth
21 column is acres, the sixth column is tons per acre, and
22 the seventh column is dollars per ton, and the eighth column
23 is dollar value.

24 Q Good, thank you.

25 In addition to Allotments 892 and 526, this also

1 has records regarding Allotment 901, apparently, is that
2 correct?

3 A That is correct.

4 Q Do you have yield records for 1976?

5 A No, I do not.

6 Q You started to refer to a document in answer
7 to a question about the total volume of water taken from the
8 wells and applied for irrigation purposes in 1977 on Allot-
9 ments 526 and 892. You then put that document down. Can you
10 explain to me what that document refers to? Apparently it
11 wasn't in response to the question that was posed to you,
12 but did relate to volume of water taken from the wells.

13 MR. VEEDER: If you don't understand the
14 question Mr. Price can make it more specific than that.

15 THE WITNESS: Would you do that, please, Mr.
16 Price?

17 Q (By Mr. Price) You had in your hand a few
18 moments ago a one-sheet document which you handed to Mr.
19 Kaczmarek.

20 A Yes.

21 Q And I would like you to produce that and
22 explain to me what those notes relate to.

23 A Okay.

24 MR. VEEDER: Just a moment. I want to see that
25 myself before we go too far on that.

1 MR. PRICE: Mr. Reporter, could you mark that,
2 please.

3 (Deposition Exhibit No. 7
4 marked for identification.)

5 Q (By Mr. Price) Mr. Watson, could you indicate
6 what exhibit number that's been marked as?

7 A This is Deposition Exhibit 7.

8 Q Could you describe to me what it is, please?

9 A The exhibit is a summary of 1977 water use
10 in the No Name Creek Basin.

11 Q I might take a look at that, please.

12 MR. VEEDER: May I have a copy of that, please?

13 THE WITNESS: Yes.

14 MR. SWEENEY: I can make copies for you if
15 you want.

16 MR. VEEDER: Would you do that?

17 MR. SWEENEY: Yeah.

18 Q (By Mr. Price) With respect to Exhibit No. 7,
19 Mr. Watson, you've indicated that document segregates--first
20 of all, it quantifies the amount of water used in the No Name
21 Creek Basin by the Tribe for the year 1977?

22 A That's correct.

23 Q And it also segregates it by particular
24 property upon which the property is applied?

25 A To the extent that that's possible.

1 Q Okay. According to Exhibit No. 6, as I read
2 it--

3 A Seven?

4 Q Seven, pardon me, you applied 1,019 1/2 acre
5 feet upon 208.8 acres.

6 MR. VEEDER: I object to that question. 1,019.5,
7 that's a misstatement, isn't it?

8 MS. ECKERT: I think Mr. Watson can probably
9 testify to that unless you want to be sworn.

10 MR. VEEDER: Go ahead and answer the question.

11 Q (By Mr. Price) Is that a correct statement or
12 not?

13 A That is not a correct statement.

14 Q All right. Would you put it in what you
15 consider to be correct form?

16 A Would you tell me what you're referring to?

17 Q The two total figures of 208.8 and 1019.5,
18 what those two figures relate to?

19 A These figures represent the total irrigated
20 acreage in the No Name Creek Basin. The 208.8 acres is the
21 total irrigated acreage in the No Name Creek Basin in 1977
22 including Colville Allotment 526, 892, Walton Allotment 525,
23 2371, 894, and Colville Allotment 901 and 903, and Lahonton
24 Fishery.

25 Q All right. So it includes, according to your

1 calculations, total water use, not just limited to the Tribe?

2 A. That's correct.

3 Q. And does it or does it not include water use
4 for the Lahonton Fishery?

5 A. It does include water used for the Lahonton
6 Fishery.

7 Q. When did you obtain the figures for acreages
8 and water applied on the acreages denominated as Walton
9 property?

10 A. Where did I obtain the acreages?

11 Q. Right.

12 A. And water use figures?

13 Q. Right.

14 A. I obtained the acreages from the delineation
15 of the Walton acreage that was given to me by you in your
16 office, and by performing my independent measurements on
17 these delineations; and the water use figures come from the
18 records at the U. S. Geological Survey.

19 Q. Okay. The acre foot figure of 1,019.5, does
20 all of that represent water that was taken out above the
21 granite lip or does some of that incorporate water that may
22 have been taken below the granite lip?

23 MR. VEEDER: Don't respond to that. Are you
24 speaking of the Walton diversion too, or not?

25 MR. PRICE: I don't understand your question,

1 Bill.

2 MR. VEEDER: Well, I'm interposing an objec-
3 tion. If you are asking in regard to where they were taken,
4 that's one element. If you're regarding to the combined,
5 then that's another question, and all I'm saying to you is
6 that I don't want the witness to respond until it's very
7 very clear as to the subject matter of your inquiry. What's
8 above and below the granite lip, that's not been identified
9 in this record. There is nothing to indicate a breakdown
10 that you're distinguishing between Walton and the Tribe's
11 property. This is my objection. I think it's too general
12 a question as presented.

13 Q (By Mr. Price) Where did the water come from
14 that's represented by the 1,019.5?

15 A The water came from the No Name Creek Aquifer
16 and the No Name Creek stream.

17 Q And specifically from where in the No Name
18 Creek Aquifer and No Name Creek stream, what wells, what
19 surface water, what what?

20 A The water came from the Paschal Sherman irriga-
21 tion well, the Colville No. 1 well, the Colville No. 2
22 irrigation well, the Walton irrigation well, the Walton
23 surface diversion, and spring discharge of No Name Creek.

24 Q You know what I have reference to when I speak
25 of the granite lip, do you know, of No Name Creek Basin?

1 A I believe I do.

2 MR. VEEDER: If you don't know what the
3 granite lip is, and if he wants to define it, he can go ahead
4 and define it.

5 Q (By Mr. Price) The water sources, as you have
6 described them, that make up the 1,019.5 acre feet were
7 withdrawn or obtained from above the granite lip, is that
8 correct?

9 A I don't understand that question, Mr. Price.

10 Q Okay. So far I think in previous questioning
11 we came up with 254.3 acre feet of water applied on Allot-
12 ments 526 and 892, is that correct, to the best of your
13 knowledge?

14 A I don't recall that summary.

15 Q Is that not a correct summary?

16 A You said 254.3?

17 Q Right.

18 A On Deposition Exhibit 11 I see 254.8.

19 Q All right. Taking for the moment 254.8 plus
20 Walton's water that you assigned for his water usage, would
21 you add those up for me?

22 A Would you describe specifically Walton's
23 water usage, what you mean by that?

24 Q Figures in column two--actually in column
25 three starting from the left-hand side, reading from the

1 left-hand side to the right you have Walton S-525, Walton
2 S-2371, and Walton H-894. I would like you to add the water
3 in column three assigned to those particular properties,
4 add them to the 254.8.

5 A. The number I get is 522.7.

6 Q. Okay. The balance of the water then--that
7 figure was 522.7?

8 A. That's correct.

9 Q. The balance of the water then would be applied
10 either on H-901, S-903, or be used in connection with the
11 fishery?

12 A. That is correct.

13 Q. All right. Do you have a chart here that
14 breaks down or depicts similar to this 3 and 4 and 5, water
15 that may have been pumped from the Paschal Sherman well,
16 Colville No. 1 and Colville No. 2, that relate to water other
17 than for irrigation purposes?

18 A. No, I do not.

19 Q. Exhibits 3, 4 and 5 do not add up to 1,019.5,
20 that is safe to say, isn't it?

21 A. That's correct.

22 Q. And yet you're telling me you pump a lot more
23 water than that from those three wells. Don't you have a
24 chart that shows where that difference is?

25 A. I'm not sure what difference you're talking about,

1 Mr. Price.

2 Q The difference between 254.3--or .8, and
3 1019.5.

4 A The difference between 254.8 and 1019.5 is
5 made by the diversions of Mr. Walton and by the water use on
6 Allotments 901, 903 and for the Lahonton Cutthroat Fishery.

7 Q Okay, but that water you have already indicated
8 came from one of the three wells that we have already
9 described, Paschal Sherman well, Colville No. 1 and Colville
10 No. 2, didn't it?

11 A What water are you talking about when you say
12 that water?

13 Q The water applied on Colville No. 901, 903,
14 and Lahonton Fishery.

15 A And also Walton?

16 Q No, I'm not concerned about Walton at this
17 point.

18 A If you can summarize that question, I can
19 answer it.

20 Q All I'm doing is looking for calculations to
21 where the water that was pumped out of any of those three
22 wells that was pumped into the creek during the season during
23 the year 1977.

24 A You would like to see, if I understand your
25 question, you would like to see the water pumped from specific

1 wells to No Name Creek?

2 Q Correct.

3 A And whether those specific wells--

4 Q Paschal Sherman, Colville No. 1, Colville No.
5 2.

6 A I can provide that information.

7 Q Yes. Would you do so, please.

8 Mr. Watson, with respect to what's been marked as
9 Deposition Exhibit No. 8, could you identify that for us,
10 please?

11 A Deposition Exhibit No. 8 is entitled,
12 accumulation of water pumped to No Name Creek by date and
13 source. The axis on the left-hand side of the exhibit is
14 in acre feet. The scale on the bottom of the exhibit is in
15 calendar days beginning in January and ending in October.
16 The exhibit shows, in graphical form, the accumulation of
17 water pumped from the Paschal Sherman irrigation well, the
18 Colville No. 2 irrigation well, and the Colville No. 1 well.
19 The three wells are located on Allotments 526 and 892. The
20 exhibit also shows the period of operation of the Paschal
21 Sherman irrigation well, the Colville No. 1 irrigation well,
22 and Colville No. 2 irrigation well during those periods that
23 water was being pumped directly to No Name Creek. It does
24 not show the periods that these wells were used for other
25 purposes.

b-1

1 Q Why do we have a different level of black
2 marking at the top of the exhibit then?

3 A The Paschal Sherman irrigation well, for
4 example, pumped to No Name Creek from April 6th, 1977 to
5 October 7, 1977.

6 Q That's this designation then of the separate
7 wells?

8 A Yes.

9 Q The different wells?

10 A That is correct.

11 Q And that does not indicate whether the wells
12 might have been also operating at the same time for irriga-
13 tion purposes?

14 A This does not indicate that.

15 Q Do you have an overlay or do you anticipate
16 an overlay where those two can be compared?

17 A No, I do not.

18 Q Are your other Exhibits 3, 4 and 5 set up such
19 that if they were overlaid with Exhibit No. 8 they would
20 correspond?

21 A They would.

22 Q Okay. In that manner then, assuming that we
23 could see through them, in effect we could have an overlay
24 situation?

25 A That is correct.

1 Q From Exhibit 8 can you tell me how much water
2 was pumped in total from the wells to the creek?

3 A The total amount of water pumped from the
4 Paschal Sherman irrigation well, the Colville No. 1 and the
5 Colville No. 2 irrigation wells to No Name Creek was 589.3
6 acre feet as shown on the extreme right-hand side of the
7 exhibit.

8 Q There is a reference on there of accumulated
9 flow measured at flume above the Walton north boundary.
10 Would that line, diagonal line on the exhibit, indicate to
11 me as of any given day as to how much water actually was
12 being pumped out of any given well to the creek?

13 A No.

14 Q I assume those records, that there had to be
15 such a record in order to reach an accumulation record,
16 achieve an accumulation record?

17 A Such a record--when you refer to such a
18 record, what do you mean?

19 Q Are there daily records?

20 A No.

21 Q Measuring flow being pumped to the creek?

22 A No.

23 Q How did you arrive at the accumulated flow
24 documented on Exhibit 8 then?

25 A Using the records of the U. S. Geological Survey.

1 Q And those records were kept on what kind of
2 basis?

3 A The records of the Geological Survey are kept
4 on a irregular basis.

5 Q We have already heard you discuss that earlier?

6 A Correct.

7 Q Can you tell me why Paschal Sherman irrigation
8 well operated throughout the entire period of April up to
9 October as opposed to Colville No. 1 and No. 2 being on at
10 separate intervals?

11 A The Paschal Sherman irrigation well is the
12 principal source of water supply to No Name Creek. The
13 Colville No. 1 irrigation well and Colville No. 2 irrigation
14 well were used to supplement water from the Paschal Sherman
15 irrigation well.

16 MR. VEEDER: Could I have the answer of that
17 question read back?

18 (The court reporter read back the answer.)

19 MR. VEEDER: Thank you.

20 Q (By Mr. Price) By describing the Paschal
21 Sherman well as a principal source of No Name Creek you don't
22 mean that literally. I take it in terms of the testing
23 program, I assume, that's what you have reference to?

24 A No, not in terms of the testing program, in
25 terms of the delivery of water to No Name Creek by the

1 Colville Confederated Tribes, the Paschal Sherman irrigation
2 well was used as the principal source of water to the creek
3 during 1977.

4 Q All right, and you were trying to achieve a
5 certain flow of water in the creek at a certain point in the
6 No Name Creek Valley, is that not correct?

7 A That is correct.

8 Q What was the amount of water flow you were
9 trying to achieve and at what point was that being measured?

10 A That's an extremely difficult question to
11 answer because it was--it was a variable kind of thing
12 depending on the water requirements at any particular point
13 in time.

14 Q You had a specific goal in mind that you were
15 trying to achieve a certain water flow, whatever it was, I
16 don't even care what it was at that point, I assume you had
17 a certain goal in mind to try to achieve a certain water flow
18 at a certain point in the creek?

19 A That is correct.

20 Q Were you able to achieve that based on the
21 records in Exhibit No. 8?

22 A No.

23 Q When were you not able to achieve that, can
24 you be more specific?

25 A I cannot be specific.

1 Q Do you know when you were able to achieve it?
2 A Not specifically.
3 Q Who was in charge of dictating how much water
4 was to be pumped at any given time into No Name Creek?
5 A I was responsible for the operation of the
6 water and the delivery of water to No Name Creek.
7 Q Would there then not be some record of
8 designation by you as to how much volume of water to be pumped
9 at a given time into No Name Creek?
10 A Would there be a record, is that your question?
11 Q Yes.
12 A No.
13 Q Isn't there a record?
14 A No.
15 Q How did you do that, on a day-to-day basis,
16 on a weekly basis, monthly basis?
17 A On an irregular but frequent basis.
18 MR. PRICE: That's all I have for right now
19 on this chart, you can go ahead and sit down, Mike, if you
20 want to.
21 MR. SWEENEY: I had a question.
22 MR. PRICE: Bob, I'm not done yet. I'm sorry,
23 I didn't mean to indicate I was done.
24 Q (By Mr. Price) Mr. Watson, did you make use
25 of precipitation records in connection with your studies of

1 the No Name Creek?

2 A Yes, I did.

3 Q And do you have those available?

4 A I do have precipitation records available.

5 Q Have you used those records in preparing any
6 kind of exhibit that you intend to use at the trial?

7 A Yes, I do.

8 Q Do you have those exhibits with you?

9 A Yes.

10 Q Would you produce those, please?

11 A Yes.

12 (Discussion off the record.)

13 (Deposition Exhibit No. 9
14 marked for identification.)

15 Q (By Mr. Price) Mr. Watson, with respect to
16 Exhibit No. 9, can you identify that for us, please?

17 A Deposition Exhibit No. 9 is entitled
18 precipitation, Omak 2 Northwest.

19 Q Can you identify the exhibit on there? What
20 is exhibited on there?

21 A The exhibit depicts the inches of rainfall
22 during 1977 recorded at the U. S. Weather Bureau station
23 located two miles northwest of the town of Omak.

24 Q Would that be on what's referred to as Pogue
25 Flat?

1 A. I'm not familiar with that local terminology.

2 Q. Go ahead and continue.

3 A. The scale on the left-hand side of the exhibit,

4 the vertical side is in inches. On the scale on the bottom

5 of the exhibit in days running from January through December,

6 1977. The vertical bars shown on the exhibit represents the

7 amount of precipitation in inches that was recorded on any

8 particular day. Where a bar does not appear there was no

9 precipitation on that day.

10 Q. All right. Do those figures have any relation-

11 ship to assisting you in attempting to quantify the water

12 available in the No Name Creek Aquifer in any manner?

13 A. Yes.

14 Q. Can you explain how they would be so employed?

15 A. They are employed in a qualitative sense. By

16 that I mean that the quantitative information is not of any

17 particular value, but the qualitative information such as

18 knowing that precipitation occurred on a certain day or

19 group of days and the general magnitude of that precipitation

20 is of value.

21 Q. In what respect?

22 A. In determining, for example, the contribution

23 from the watershed portions of No Name Creek between measuring

24 points established by the U. S. Geological Survey.

25 Q. Have you calculated any figures based on these

1 precipitation figures?

2 A No, I have not.

3 Q You used weather records. Did you limit
4 yourself to records for 1977 or did you go back before 1977?

5 A I went back before 1977.

6 Q From your analysis of those precipitation
7 records do you have an opinion as to whether the precipitation
8 in 1977 could be referred to as a normal year precipitation-
9 wise or an abnormal year?

10 A Yes, I have an opinion.

11 Q What is that opinion?

12 A The opinion is that first your terminology
13 is somewhat vague in terms of knowing--

14 MR. VEEDER: Then I object to your answering
15 the question. If it's vague you shouldn't answer the question.

16 Q (By Mr. Price) What do you mean by vague?
17 Would you like to be more precise in framing your answer?

18 A I don't precisely know what a normal or an
19 abnormal precipitation year is.

20 Q Well, let's put it this way, how does 1977
21 compare with your analysis of other records in other--

22 A It compares lower than the average precipita-
23 tion recorded at the Omak 2 Northwest Weather Station.

24 Q Are you familiar with the average precipitation
25 figure recorded at Omak Weather Station?

1 A Yes, I am.

2 Q And what is that figure?

3 A That figure is, from memory, 11.8 inches per
4 year.

5 Q And whether or not Exhibit No. 9 takes in the
6 whole year, what is the precipitation for 1977?

7 A I do not know specifically, Mr. Price.

8 Q All right. Can you tell by looking at
9 Exhibit No. 9?

10 A Not readily.

11 Q Can you give me a ballpark figure with the
12 understanding that you are not being asked for a specific
13 figure?

14 A In the absence of records for October and
15 November and December, a ballpark figure is approximately
16 eight to and eight and a half inches.

17 Q Did you make an analysis with respect to the
18 irrigation periods, let's call that April up to October, in
19 any given year? Did you make a breakdown or comparison of
20 the year 1977 precipitation during that period of time with
21 previous years?

22 A A qualitative comparison.

23 Q And what was the result of that comparison?

24 A I noted that precipitation during some months
25 during the irrigation season was below the average monthly

1 value for other years during the 1948 to 1977 period of
2 records.

3 Q Okay.

4 A I also noted that in some months the precipi-
5 tation was above the average in that same period.

6 Q All right. Is it a fair statement that the
7 average during that period would have--well, was the average
8 during this period of April to October of 1977, the aggregate
9 higher or lower than the precipitation in the '47 to '76
10 period?

11 A I did not make that determination.

12 Q That's all I have with respect to No. 9. I'd
13 like to go back to No. 3 if I could. With respect to Exhibit
14 No. 3, Mr. Watson, this relates to the Paschal Sherman irri-
15 gation well, is that not correct?

16 A Yes, it does.

17 Q And you previously testified that according
18 to this exhibit there were periods of time when the irrigation
19 well was purposely shut down, is that correct?

20 A That is correct.

21 Q And if I understand you correctly it was shut
22 down in connection with the rotation of water, and from that
23 I take that to mean that water wasn't required during these
24 periods of time for irrigation purposes?

25 A Are you asking that as a question?

1 Q Yes.

2 A I don't think it could be taken that water was
3 not required.

4 Q Okay. We have a period of operation at the
5 top of Exhibit 3 which starts on April 15th and runs to May
6 20th. Then I assume it is runoff during the blank period
7 through June 30th?

8 A That is correct.

9 Q Can you tell me the reason for that shutoff,
10 please?

11 A The reason for that shutoff was a discontinuance
12 of delivery of water to Allotment 526 and 892 from the
13 Paschal Sherman irrigation well.

14 Q Those allotments could have been irrigated from
15 Colville No. 1, let's say, during that period of time?

16 A That is correct.

17 Q And do you have any specific explanation as to
18 why the Paschal Sherman was shut down and Colville No. 1 was
19 used if in fact it was used?

20 A I do not know if your assumption is correct.

21 Q No, I wasn't making an assumption. I was
22 asking you a question as to why the Paschal Sherman was shut
23 down and Colville No. 1 was used if in fact it was used?

24 A Because of your last part of your statement I
25 don't understand how to answer that.

1 Q Can you look at another exhibit and see if
2 Colville No. 1 was operating during this period of time?

3 A Yes, I can.

4 Q Okay. Could you do that for me, please?

5 A Referring to Deposition Exhibit No. 4, it is
6 evident from Deposition Exhibit No. 4 that water was not
7 pumped from that well between the period May 20th and between
8 some period in--between August 5th and August--excuse me,
9 August 4th and August 9th, 1977.

10 Q If you recall correctly then it would appear
11 to me that there was a period of time when there was no
12 water being applied for irrigation purposes on either 526 or
13 892 Allotments, is that correct?

14 A That conclusion cannot be drawn from these
15 exhibits.

16 Q Is there some other place water could have
17 been coming from?

18 A Colville No. 2.

19 Q Colville No. 2?

20 A Yes.

21 Q All right. Could you look at Colville No. 2,
22 please, and tell me if water was pumped from there?

23 A Referring to Deposition Exhibit No. 5, water
24 was not pumped from Colville No. 2 irrigation well from May
25 19th, 1977 to June 22nd, 1977.

1 Q Okay. From your being involved in the
2 operations can you tell us why Paschal Sherman and Colville
3 No. 1 were shut down at a period of time when the Colville
4 No. 2 was being used both for purposes of irrigation, both
5 for irrigation and to pump to No Name Creek simultaneously?

6 A Could you read that back, please.

7 (The pending question was read back.)

8 THE WITNESS: Colville No. 2 was being used
9 for purposes of irrigation. It was also being used for the
10 purposes of--it was not being used for the purposes of delivery
11 to No Name Creek during the period that you are asking me
12 about.

13 Q (By Mr. Price) Can you tell me why Colville
14 No. 2 was used in lieu--first of all, is it not correct that
15 Colville No. 2 is situated in close proximity to Walton's
16 northern irrigation well, northernmost irrigation well?

17 A Depending on your definition of close
18 proximity. It is closer than either Paschal Sherman irriga-
19 tion well or Colville No. 1 irrigation well.

20 Q Maybe you can clarify that, but just estimating
21 can you tell us how many feet away it is?

22 A I do not know precisely how many feet it is.

23 Q I would like a guesstimate, please, understand-
24 ing that I am not asking you for a specific figure.

25 A One hundred feet.

1 Q Can you tell us why Colville No. 2 was being
2 used to irrigate at a time when Paschal Sherman and Colville
3 No. 1 were shut down?

4 A Paschal Sherman irrigation well was being used
5 during this period exclusively for delivery of water to No
6 Name Creek. Election was made at that time to deliver water
7 to No Name Creek from Paschal Sherman exclusively.

8 Q During this period of time?

9 A During the period of time that were--what
10 period of time are you talking about?

11 Q The blank period on the one we started with,
12 Exhibit No. 3.

13 A Between May 20th, 1977 and June 30th?

14 Q Correct.

15 A Water was being delivered to No Name Creek
16 from the Paschal Sherman irrigation well. Water was not
17 being delivered to either Allotment 526 or 892 for irrigation
18 purposes during that period. Colville No. 1 was not being
19 operated during that period because the water level in that
20 well had reached a point where the pump could not be operated
21 and it was necessary to remove the pump.

22 Q Okay. Did you testify earlier as to the
23 relative, the respective depth of each of the wells?

24 A No, I did not.

25 Q All right. Can we start with Paschal Sherman

1 No. 1, please?

2 A I can't give you that information off the top
3 of my head.

4 Q Do you have that information here?

5 A I believe I do if I could step aside for a
6 moment.

7 Q Fine.

8 MR. VEEDER: May I inquire, Mr. Price, on some
9 of those questions where the material isn't available, could
10 you ask the question and we'll get the stuff out for you, it
11 might save some time.

12 MR. PRICE: If I could I'd like a general answer
13 and then we could go on.

14 MR. VEEDER: Fine, but give me a check of what
15 you want and I'll get it for you. It may be that it will be
16 available tomorrow morning.

17 Q (By Mr. Price) Mike, for right now could we
18 just go ahead with the understanding that you will look for
19 that information?

20 A Yes.

21 Q And could you for now give me your best
22 estimate, with the understanding that we'll get the actual
23 figures at a later date, as to the depth of the Paschal
24 Sherman well.

25 A The depth of the Paschal Sherman well, to the

1 best of my recollection, is a hundred and five feet.

2 Q Does that indicate how far down the pump
3 actually is placed?

4 A No, it does not.

5 Q All right. How far down is the Paschal Sherman
6 well capable of--how far down are you capable of pumping with
7 the Paschal Sherman well?

8 A I'm a little reluctant to answer that in a
9 general sense because we do have very very specific informa-
10 tion on that, and I don't recall those right now.

11 Q And is that specific information that you
12 think have available that might be provided later?

13 A It can be provided.

14 Q You have it with you here somewhere?

15 A It is here in Spokane.

16 Q All right. How deep is Colville No. 1?

17 A Colville No. 1 is, to the best of my recollec-
18 tion, a hundred and forty to a hundred and sixty feet in
19 depth.

20 Q Colville No. 2?

21 A I don't have a good recollection of that one
22 at all, Mr. Price.

23 Q I'm not trying to put words in your mouth, but
24 is it a fair assumption that Colville No. 2 is not as deep as
25 Colville No. 1?

1 A Yes, that is correct.

2 Q Who made the actual decisions as to when the
3 three respective wells, to which we have been referring,
4 would actually be put into operation at any given time?

5 A You're talking about the initiation of opera-
6 tion or the day-to-day operation?

7 Q The day-to-day operation.

8 A The day-to-day operation of the wells, the
9 decisions in regard to the day-to-day operations were mine
10 in conjunction with the farm laborers and in conjunction
11 with various representatives of the Colville Tribe throughout
12 the year.

13 Q I would like to know if you can give me a
14 specific reason why with these three wells, why some may or
15 may not be running at any given time when others are at any
16 given point in time from April up to October of '77?

17 A Can I give you examples of reasons?

18 Q Yes.

19 A A lot was dependent on the day-to-day opera-
20 tion of the irrigation project and the cropping. Ordinarily
21 the Colville No. 2 irrigation well was discontinued first
22 before an individual cutting of hay, and that field was cut,
23 and then water was discontinued going to the north, and that
24 influenced the time that the wells were turned off. They were
25 turned back on depending on a number of factors also. The

1 time that it took to get the hay done, get it back up, some-
2 times the hay was rained on during the period of the year,
3 and that may have caused a couple days difference in the
4 normal operation that we would have expected. There may have
5 been slight equipment problems not necessarily with the
6 pumps but with some of the farm machinery that may have
7 influenced the time the pumps were turned off or turned on.
8 There were a number of factors. There was no devised plan
9 ahead for the turning on and off of the pumps, and we did
10 operate on a day-to-day basis depending on the factors that
11 were encountered.

12 Q Were you able to apply the water that you
13 wanted to to Allotment 892 and 526 during the year except
14 for these factors that you've been referring to?

15 A No.

16 Q And why not?

17 A There was insufficient water in the Aquifer
18 to apply water to those allotments.

19 Q Would you tell me when that occurred and how
20 it occurred and what well, if it occurred?

21 A I don't know that you could become that
22 precise to identify a well, Mr. Price, that that particularly
23 occurred. I think that it occurred beginning in 1976, and
24 the experience of 1977 when the Aquifer was substantially
25 depleted was a combination of many factors beginning in 1976

1 with expanded development in the basin.

2 Q Okay. Can you give me an example in 1977,
3 for instance, a specific example of a time when there was a
4 field that went without water and a time when you desired
5 that it be irrigated?

6 A Allotments 526 and 892 were desired to be
7 irrigated through August and September, and there were sharp
8 reductions in the amount of water in August that were delivered
9 to those two allotments, and there was a discontinuation of
10 water to both of those allotments in September.

11 Q Exhibit No. 5 indicates you were irrigating
12 out of Colville No. 2 as late as August 25th, 1977?

13 A That is correct.

14 Q And Exhibit No. 3 indicates you were irrigating
15 out of Paschal Sherman as late as August 25th, 1977?

16 A Please note that those bars do not represent
17 the magnitude of delivery.

18 Q Do you have a graph or chart that depicts
19 the magnitude of delivery?

20 A Yes.

21 Q I'm still looking for the others, bear with
22 me for just a moment.

23 Exhibit No. 4 indicates that you were irrigating
24 through August 25th from Colville No. 1 and that you continued
25 to deliver water from Colville No. 1 to No Name Creek

1 throughout the entirety of the month of September, 1977?

2 A That's correct.

3 Q Okay. Going back to my question, do you have
4 a chart that depicts the volume of water that you were pumping
5 from the various wells involved?

6 A Yes, I believe you can get a--yes.

7 Q Okay. That's depicted on the diagonal lines,
8 basically, on the exhibits that have already been marked?

9 A That is correct.

10 Q Whose decision was it to continue pumping
11 water to the creek in lieu of applying it for irrigation on
12 Allotments 892 and 526?

13 A It was a decision of the Colville Confederated
14 Tribes.

15 Q Do you know by whom, what body? Was it a
16 particular committee or was it an individual person?

17 A It came from the Tribal Council of Colville
18 Confederated Tribes.

19 Q Who delivered that decision to you?

20 A I'm sure that the decision to operate in the
21 manner that we did in late 1977 came from the Tribal Chairman.

22 Q Would that have been Mel Tonasket?

23 A Mel Tonasket.

24 Q Did he consult with you before giving you that
25 directive?

1 A We had considerable consultations with the
2 Tribal Council, the Colville Confederated Tribes, and their
3 representatives.

4 Q Did you make a recommendation prior to his
5 reaching that decision or the Colville Tribal Council making
6 that decision? Did you make a recommendation to them on how
7 to allocate the water?

8 A I did not make a recommendation in the sense
9 that you state that. Certainly we were faced with having to
10 make decisions very quickly in a period of extreme water
11 shortage in the No Name Creek Aquifer.

12 Q You say these shortages started appearing in
13 '76 and continued into '77, is that correct?

14 A Certainly.

15 Q And you said this was because of the develop-
16 ment of water uses in the No Name Creek Basin?

17 A That is correct.

18 Q And apparently this development had nothing
19 to do with Mr. Walton, did it?

20 A It certainly did.

21 Q It did?

22 A Are you talking about the development of
23 facilities or the development of water?

24 Q Development of the increased use of water is
25 what I'm talking about.

1 A. The increased use of water may have been
2 attributable to Mr. Walton as well as to the Colvilles.

3 Q. In what respect, please?

4 A. He may have used more water than he had in
5 the past. I have no knowledge of that.

6 Q. You have no documentary information at this
7 point whatsoever that indicates that Walton could have
8 increased his use in 1976 or 1977 over what it was in 1975,
9 do you?

10 A. Over what it was in 1975?

11 Q. Correct.

12 A. Documented evidence?

13 Q. Right.

14 A. I do not have specific documentation to that
15 effect.

16 Q. Are you prepared to testify with any basis
17 on any information of your own personal knowledge that he
18 in fact increased his use of water in the years '76 and '77?

19 A. In '76 and '77?

20 Q. Yes.

21 A. I am prepared to testify that he increased
22 his use in 1977.

23 Q. Okay. In what respect, please?

24 A. He pumped more from the Walton irrigation well
25 and he diverted for a longer period of time at the Walton

1 surface diversion.

2 Q How much more did he pump in '77?

3 A In 1977, I can't tell you specifically how
4 much he did pump.

5 Q Generally?

6 A I would say about 50 percent.

7 Q On what do you base that?

8 A On the records of the U. S. G. S.

9 Q And diversion time, when did he lengthen his
10 diversion time in 1977?

11 A In 1976 Mr. Walton discontinued his surface
12 diversion in late August or early September, I have a
13 recollection of that, and it also appears in the records of
14 U. S. Geological Survey. In 1977, Mr. Walton continued
15 diverting water to his sump into late September.

16 Q Do you know if his diversion times were
17 continuous through the years '76 and '77?

18 A In 1977 I know the period of operation within
19 the limits of the U. S. G. S. data collection. Also, in 1976
20 I have records of observations made of Mr. Walton's diversion
21 as well as the U. S. G. S. observations and records.

22 Q And from that have you made any calculations
23 on whether or not the total diversion time was more or less
24 in '77 than in '76?

25 A Yes, I have.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q And your opinion?

A Is that in 1977 he diverted for a longer time than in 1976.

Q Okay, and if I understand you correctly you're alleging that he pumped 50 percent more water in '77 than he did in '76?

A From the Walton new irrigation well.

Q What I want to know is if he used more water in '77 pumping than he did in '76, not from a particular well but total volume.

A And in my opinion, yes.

Q Can you explain that to me?

A I thought that I answered that question previously in citing the U. S. G. S. records and the personal observations.

Q U. S. G. S. will substantiate that 50 percent increase in total volume pumping by Walton in 1977 versus 1976?

A Their records substantiate that, I believe.

Q Okay, thank you.

Did the Tribes' use of water have any influence on the shortage of water in 1977 and 1976?

A I don't know if you could specifically cite the Tribe.

Q Can you specifically cite Mr. Walton?

1 A. I don't know that you can specifically cite
2 Mr. Walton as a single entity. He certainly did divert more
3 water than he had in previous years.

4 Q. Did not the Tribe in 1976 use more water in
5 No Name Creek Basin than it had ever used before?

6 A. I have no knowledge of that.

7 Q. You don't. You were part of this project in
8 1976, were you not?

9 A. Yes.

10 Q. And you have no knowledge of whether the Tribe
11 increased its use, total volume use of water in 1976 over
12 1975?

13 MR. VEEDER: May I have that again? I think
14 there is confusion there as to year.

15 (The court reporter read back the pending question.)

16 MR. VEEDER: All right.

17 THE WITNESS: The Tribe did increase its
18 water use in 1976 over its water use in 1975.

19 Q. (By Mr. Price) Do you have facts and figures
20 that would quantify that?

21 A. Yes, I do.

22 Q. Okay. Are those available here today?

23 A. No.

24 Q. Where are those figures?

25 A. They are with us in Spokane, but I don't have

1 them here today.

2 Q Okay. I would ask that they be produced and
3 possibly look at them tomorrow.

4 MR. VEEDER: All right.

5 Q (By Mr. Price) You do admit that the Tribe
6 increased its total volume use of water in 1977 over that of
7 1976, is that not correct?

8 A That's correct.

9 Q Do you have those figures?

10 A Yes, I do.

11 Q Again, are those in Spokane but not here?

12 A No, those are the figures that are summarized
13 on Deposition Exhibit No. 7.

14 Q Okay. Those don't tell us what the use was
15 in '76, though, does it?

16 A No.

17 Q Are you familiar with whether or not No Name
18 Creek dried up in its entirety so far as its surface flow
19 goes at the latter part of the irrigation season in 1977?

20 A Whether No Name Creek dried up in its entirety?

21 Q The surface flow.

22 A The surface flow of No Name Creek dried up
23 entirely at what point in time?

24 Q I'll change that, at any point in time during
25 1977?

1 A. It did not dry up entirely at any point in
2 time during 1977, to my knowledge.

3 Q. In terms of there may have been some pools of
4 water standing here or there, or there was actually a stream-
5 flow throughout the entirety of the year?

6 A. There was actually a streamflow during all
7 periods of the year.

8 Q. Okay. From your observations of that creek in
9 previous years was that different in 1976 than 1975?

10 A. In 1976 than in 1975?

11 Q. Right. I guess what I'm asking you is, is it
12 not correct that the creek reached the lowest level you'd
13 ever seen it near the end of the irrigation season of this
14 year?

15 A. No.

16 Q. When have you ever seen it lower?

17 A. I saw it lower in June, 1976.

18 Q. Okay. At what point in the creek?

19 A. No Name Creek at the crossing of the granite
20 lip.

21 Q. Okay. I would like you to now refer to the
22 Walton's northern boundary line with the southern boundary
23 line of Allotment 892. Did you make a relative comparison
24 of the amount of water in this year, the end of the irrigation
25 season, versus '76 and '75?

1 A. In 1975 at the end of the irrigation season
2 you're asking me what the flow of No Name Creek was at
3 Walton's north boundary?

4 Q. I am just saying, was it more or less than
5 this year.

6 A. Was it more or less than this year--I do not
7 recall.

8 Q. '76?

9 A. The same.

10 Q. You don't recall?

11 A. No, the same. In 1976, in 1977, the flow of
12 No Name Creek at Walton north boundary was the same at the
13 end of the irrigation season.

14 Q. And you are saying the flow over the granite
15 lip was actually better or more this year than it was in '76?

16 A. That is correct. At what point in time are
17 you referring, Mr. Price?

18 Q. At the end of the irrigation season.

19 A. No, the previous question that I had responded
20 to was, you had asked me in 1976 if I had seen any periods of
21 no streamflow at the crossing of the granite lip.

22 Q. No, I didn't ask that.

23 A. That's my response to your question.

24 Q. Okay. I think we have got lost enough on that
25 one.

1 MS. ECKERT: Just to make that clear on the
2 record, is the granite lip to which the witness just testified,
3 is that--well, where is that, where are you talking about?

4 Q (By Mr. Price) Mr. Watson, could you describe
5 for us on Exhibit No. 1 the location of the granite lip.

6 MS. ECKERT: It's Exhibit 2, Dick.

7 Q (By Mr. Price) Exhibit 2, pardon me, the
8 large--

9 A Referring to Deposition Exhibit No. 2, the
10 granite lip is an outcropping of rock over which No Name
11 Creek flows in the northern end of Allotment H-901.

12 Q Thank you.

13 Mr. Watson, you testified that you designed the
14 water system that's employed by the Tribe at the present time
15 is that correct?

16 A Part of that system.

17 Q Okay. Did the design include Paschal Sherman
18 well, the Colville No. 1, and Colville No. 2?

19 A No.

20 Q Who was responsible for that portion of the
21 system.

22 A Mr. Corke is the man that had the authority
23 and responsibility for that. He's here today.

24 Q You're not familiar then with how it came about,
25 the design of the location of the wells down the middle of the--

1 A No.

2 Q Are you familiar with whether or not the
3 location of wells in proximity to one another can influence
4 the efficiency of the respective wells in terms of how close
5 they are to one another?

6 A No.

7 Q That's not in your field of expertise?

8 A No.

9 Q That's in Mr. Corke's?

10 A It's not in Mr. Corke's.

11 Q All right. Whose field of expertise does
12 that fall?

13 A That falls in the expertise of Mr. Kaczmarek
14 and Dr. Robinson.

15 Q Have you calculated how much land area is
16 involved in the parameters designated as a boundary of the
17 watershed by acres?

18 A You're asking if I have determined which is--
19 restate the question.

20 Q How much land area is involved within the
21 parameters of the watershed as you have outlined them?

22 A Yes, I have.

23 Q And how many acres are involved or what is
24 the land area?

25 A Approximately 4,990 acres.

1 Q Four thousand nine hundred and ninety?
2 A Correct.
3 Q And referring to Exhibit No. 2 again, I believe
4 at one time you referred to the, well, what I'll call the
5 northern boundary of the watershed line lying in the eastern
6 portion of Section 9, and in my judgment then appears to lie
7 in the western portion of Section 9, is that correct or
8 incorrect?
9 A It's the eastern boundary of the watershed in
10 the western portion of Section 9.
11 Q Okay. You're calling it the eastern boundary?
12 A Distinguishing the boundary on the right as
13 east from the boundary on the left as west.
14 Q All right, but only of this little--
15 A That's correct.
16 Q --end section?
17 A That's correct.
18 Q How did you arrive at the parameters in
19 Section 9? In other words, the high point of the ground,
20 is there a ridge there that you actually took into considera-
21 tion?
22 A There is a ridge on the eastern boundary.
23 Q Is it not true that in terms of sea level the
24 surface, land surface slopes downward away from No Name Creek
25 Valley in the area that is depicted in Section 9?

1 A I can't answer that question.

2 Q Does the watershed take in ground water as
3 opposed to just surface precipitation?

4 A The watershed boundary is the boundary that
5 collects precipitation which has the opportunity to eventually
6 end up in No Name Creek.

7 Q Okay. Omak Creek has a surface flow I guess
8 I should say most times during the year, is that a fair
9 statement?

10 A During portions of the year.

11 Q Have you made any judgment whether there is
12 a subsurface flow that accompanies Omak Creek particularly
13 down through the portion that would intersect within the
14 parameters of your watershed boundaries?

15 A Would you define, subsurface flow?

16 Q I'm not a hydrologist, I don't know how to,
17 Mr. Watson. I'm asking you whether or not there is any. Have
18 you calculated or determined whether there is any water that
19 would come in within the parameters of the watershed boundary
20 other than what you can see on the surface of Omak Creek?

21 A Yes, I believe that--

22 Q And have you calculated how much volume of
23 water that would be?

24 A Transmitted in the subsurface flow--

25 MR. VEEDER: Just let him finish the question.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. PRICE: All done.

MR. VEEDER: What was the question then, please?

(The court reporter read back the question.)

MR. VEEDER: Go back then to the first question that preceded that then, please.

(The court reporter read back the preceding question.)

MR. VEEDER: Did you understand the question?

THE WITNESS: No, I don't. No, I don't.

Q (By Mr. Price) You don't understand the question, Mr. Watson, is that correct?

A No, I don't.

Q Have you calculated, Mr. Watson, the total volume of water--have you made a net flow in connection with the No Name Creek Basin Aquifer?

A I do not know what you mean by net flow.

Q What does net flow mean to you, if anything?

A It doesn't have any significance to me at all.

Q Okay. What is the total water volume capacity of No Name Creek Basin?

MR. VEEDER: I object to that question. I don't believe that there is a--I believe it's unclear, certainly, and I think that it could be made much more clearer by more precise language, and I ask the witness not to respond

1 until it is a clear statement as to what you mean.

2 Q (By Mr. Price) It is a fair statement at this
3 point that you are unclear as to what I mean, Mr. Watson?

4 A Yes, there is.

5 Q Did you try and arrive at a determination of
6 how much water that might be available in No Name Creek
7 Basin Aquifer?

8 A How much water might be available?

9 MR. VEEDER: In the No Name Creek Aquifer.

10 THE WITNESS: That question is not clear
11 either, Mr. Price.

12 Q (By Mr. Price) Have you made any calculations
13 with regard to the coefficient of storage in No Name Creek
14 Aquifer?

15 A I have not.

16 Q And who has done that, if anybody, to your
17 knowledge?

18 A To my knowledge Mr. Kaczmarek has made that
19 computation.

20 Q You or Mr. Kaczmarek would know about the
21 coefficient of transmissivity, allowing for mispronunciation?

22 A I have no knowledge that Mr. Kaczmarek has
23 any knowledge of that.

24 Q You don't have any knowledge?

25 A I do not.

1 Q That goes without saying that I don't.

2 MR. VEEDER: Are you saying transmissivity,
3 Mr. Price? The word is something new to me, and I just
4 wanted to get educated.

5 Q (By Mr. Price) Have you calculated what
6 water--do you have an opinion as to the firm annual water
7 supply in the No Name Creek Basin?

8 A Yes, I do.

9 Q Good, because that's what it says in your
10 answers to interrogatories. Would you give me that figure,
11 please?

12 A Five hundred and fifty acre feet.

13 Q What does that figure signify?

14 A That figure represents the amount of water
15 that can be drawn from the No Name Creek Aquifer without
16 causing continuing shortages during periods of flow, stream-
17 flow or recharged cycles.

18 Q Based on your records of precipitation and
19 whatever other water sources there are for the No Name Creek
20 Aquifer, do you feel it could recharge itself to the extent
21 of 550 acre feet a year? It's capable of recharging itself,
22 is that--

23 A I did not say that.

24 Q Okay. In your opinion is the No Name Creek
25 Aquifer capable of recharging itself to the extent of 550 acre

1 feet a year?

2 A That's a question that can't be answered in a
3 general sense.

4 MR. VEEDER: So don't answer it.

5 Q (By Mr. Price) Answer it in a very specific
6 sense.

7 MR. PRICE: Read it back, please--forget it,
8 strike that.

9 Q (By Mr. Price) What are the sources of water
10 supplies to No Name Creek Aquifer.

11 A The sources of water to No Name Aquifer are
12 precipitation and contributions from Omak Creek.

13 MR. PRICE, Yeah, my goodness, he said it.

14 MR. VEEDER: Is there any doubt about it?

15 Q (By Mr. Price) Tell me about the contributions
16 from Omak Creek if you would, please.

17 A I would require--

18 MR. VEEDER: I object to this. I don't want
19 him to make a narrative. I insist that this be questions
20 and answers. I will not pay him to make a speech.

21 MR. PRICE: His your witness.

22 MR. VEEDER: This is a question and answer,
23 Mr. Price--

24 Q (By Mr. Price) What is the contribution of
25 water to No Name Creek Basin from Omak Creek, Mr. Watson?

1 A. That question cannot be answered in a general
2 sense.

3 Q. It wasn't a general question, Mr. Watson, it
4 was a very specific question. What is the amount of water
5 that is contributed by No Name Creek?

6 A. At what point in time?

7 Q. I don't care what point in time.

8 MR. VEEDER: I'm directing the witness not
9 to answer that because it's a variable.

10 Q. (By Mr. Price) Well, then apparently you have
11 not been able to reach a calculation that you'd be able to
12 testify to at trial, is that correct?

13 A. That's not correct.

14 Q. Well, what calculations have you made then as
15 to the contribution of No Name Creek in a very specific
16 sense?

17 A. I've made computations of the contribution
18 from Omak Creek during certain periods of time.

19 Q. What periods of time?

20 A. I've made calculations of the contribution of
21 No Name Creek during the periods when Omak Creek is dry.

22 Q. Is that the only time?

23 A. No.

24 Q. Okay. Would you tell me the times you made
25 them and the amount of contribution at that specific period

1 of time, please?

2 A I made a computation of the contribution from
3 Omak Creek in March, 1976 and in March of 1977, and for a
4 period between January 31st and the middle of April, 1977.

5 Q And the amount of contribution of those
6 specific periods of time, please, starting with March of 1976?

7 A In March, 1976, contribution from Omak Creek
8 was less than .66 CFS.

9 MR. VEEDER: .66 CFS?

10 THE WITNESS: .66.

11 Q (By Mr. Price) You wish to stand by that
12 answer?

13 A Yes.

14 Q In 1977? Apparently in 1976 that's the only
15 time you made that calculation, such a calculation?

16 A That's correct.

17 Q In 1977, please?

18 A In March, 1977, greater than .50 CFS.

19 Q And from January 31 to the middle of April,
20 1977, please?

21 A .54 CFS.

22 Q Are you familiar with whether or not there is
23 a spring runoff associated with the Omak Creek?

24 A Yes.

25 Q Is it not true that during the spring Omak Creek

1 is supplemented depending on the precipitation during the
2 winter from snow, rain, whatever, that creek is augmented by
3 substantial water supply in the spring of any given year, is
4 that not correct?

5 A I don't understand your question.

6 Q Okay. Any other sources of water supply
7 besides precipitation and Omak Creek?

8 A No.

9 Q Are you familiar with whether there are
10 acceptable ways of augmenting, increasing the source of
11 water supply to a particular basin by sinking dry wells,
12 for instance, and allowing spring runoff, say, from Omak
13 Creek to run into those, would that be a means of augmenting
14 the water supply in No Name Creek?

15 A I have not done any investigations of that
16 type.

17 Q Okay. Have you made any calculations as to
18 the quantity of water--well, strike that. In terms of the
19 contribution of Omak Creek, the calculations that you made
20 on these given dates, are you able to convert that into how
21 many acre feet a year that would add to the Aquifer, or in
22 your language, how do you convert that so that we can calcu-
23 late what contribution it actually makes to the Aquifer?

24 A How did you start that question?

25 Q Well, from the measurements that you made in

1 March of '76 and March of '77, I take it you just don't
2 leave it at .66 CFS, for instance, in March of 1976. I'm
3 asking, is there a method whereby you convert that to determine
4 how many acre feet of water that adds to the system?

5 A. It would be very--there is a method for
6 converting that amount during the period that it was measured.

7 Q. Did you make that conversion?

8 A. No, I didn't.

9 Q. Okay. Have you prepared any charts or graphs
10 or documentation with respect to this contribution of Omak
11 Creek?

12 A. No.

13 Q. How about precipitation? Did you quantify
14 that for any given period of time the contribution precipita-
15 tion makes to No Name Creek Aquifer?

16 A. Yes, I did.

17 Q. And did you put that in chart form or anything
18 of that nature?

19 A. That will be in chart form.

20 Q. Can you give me the figures if you are
21 familiar with them at this point?

22 A. Yes. The contribution from precipitation
23 runoff during the period from January 31, 1977, to April 19th
24 1977, was approximately--and I'm recalling from memory--18
25 acre feet.

1 Q Based on your analysis previously I assume
2 we could expect in an average year that it would be somewhat
3 more than that?

4 A During that period of time?

5 Q Yes.

6 A It's a very complex question that can't be
7 answered that simply.

8 Q Okay. I don't want to ask a complex question.
9 (Discussion off the record.)

10 Q (By Mr. Price) Would it make any difference
11 if I asked you what a flow net system was, flow net analysis
12 as opposed to a net flow analysis?

13 A I've heard of hair nets but flow net, yes, I
14 know what a flow net is.

15 Q What is a flow net?

16 A A flow net is graphical illustration of the
17 lines of equal potential of a subsurface system.

18 Q Okay. Is not that something that could be
19 used to demonstrate the interrelationship of wells such as
20 we have in the No Name Creek Basin?

21 A I don't know how that would apply.

22 Q Okay. Have you done a flow net analysis for
23 the No Name Creek Aquifer or Basin?

24 A No.

25 Q Is that not one of the tools that could be

1 employed to assist in determining the amount of water available
2 from the system?

3 A I don't think it's an appropriate tool.

4 Q Why not?

5 A I don't believe that the physical system that
6 actually exists can be made adaptable to a very theoretical
7 type of approach while predicated on assumption.

8 Q Why not?

9 A You can't measure all the things that are
10 necessary to provide us input into that kind of an analysis.

11 Q What's necessary under a flow net analysis?

12 A There are a number of parameters such as the
13 transmissivity.

14 Q Hold it. What was that?

15 A Transmissivity.

16 Q Would you spell that?

17 A T-r-a-n-s-m-i-s-s-i-v-i-t-y.

18 Q Super, okay. Do you have--that would be one
19 of the factors that might go into it, and what is that
20 transmissivity?

21 A It's capability of material to carry given
22 amounts of water through a unit of wet.

23 Q Okay. That could be calculated for No Name
24 Creek Basin, could it not, that particular element? Without
25 Mr. Kaczmarek commenting by the shaking of his head?

1 A Well, I don't know what--

2 MR. KACZMAREK: I don't know that I know one

3 way or the other.

4 THE WITNESS: Are you asking if it could be

5 calculated or if it could be determined?

6 Q (By Mr. Price) First of all, I guess, can

7 that be calculated in the No Name Creek Basin?

8 A It could be calculated.

9 Q Okay. What other element might go into the

10 flow net analysis?

11 A The dimensions and the boundary conditions that

12 exist in the Aquifer.

13 Q What do you mean, dimensions?

14 A The dimensions of the Aquifer.

15 Q Have you not testified to those here today?

16 A No, I haven't.

17 Q Okay. What goes into the dimensions of an

18 aquifer?

19 A The length, approximate width, the breadth,

20 the depth.

21 Q You're saying you don't have those figures

22 available today?

23 MR. VEEDER: He didn't say that.

24 THE WITNESS: We have those figures available.

25 Q (By Mr. Price) You do?

1 A To the extent that they can be measured.

2 Q You do have them available to the extent they
3 can be measured, okay. What's the next element that might
4 go into this formula?

5 A I think that these are the principal elements
6 in the analysis.

7 Q All right. As I understand you, you say that
8 there are figures available for both of these elements. Now,
9 why can't a flow analysis--flow net analysis be prepared?

10 A I did not say that there are figures that were
11 available. I said that there are calculations that could be
12 made.

13 Q Which calculations could be made that haven't
14 been made, to your knowledge?

15 A Which calculations could be made that have not
16 been made?

17 Q Right. I don't want to play games with you,
18 all I'm saying is, why can't a flow net analysis be made,
19 that is all I'm asking you.

20 A Because I don't think the information that
21 quantifies transmissivity and quantifies dimensions of the
22 aquifer and quantifies the other physical parameters that
23 are involved can be measured and combined in a theoretical
24 approach that can be used for useful purposes.

25 Q We're operating on a lot of assumptions and

1 theories in respect to quantifying water in an aquifer, are
2 we not? You're not suggesting that your figures are exactly
3 precise, are you?

4 A Well, I'm certainly not--not saying that they're
5 not well prepared using the accepted--

6 Q That wasn't my question, Mr. Watson. I'll give
7 you an A for effort. That wasn't my question.

8 With respect to the three wells, Paschal Sherman,
9 Colville No. 1, and Colville No. 2, are you familiar with the
10 efficiency of the wells?

11 A No, I am not.

12 Q Who would have that information?

13 A No one, to my knowledge.

14 Q Would it not be important to know the
15 efficiency of the wells in determining whether or not the
16 most efficient use is being made of the water available?

17 A I don't think the two are related.

18 Q In terms of the water put into the creek from
19 the wells, the three wells north of Walton, what were the
20 requirements or what were the intended uses of that water
21 by the Tribe?

22 A The intended uses were the irrigation of Allot-
23 ments 901 and 903, and the use as in streamflow for the
24 Lahonton Fishery.

25 Q Do you accept the U. S. Geological Survey data

1 that's been supplied to all of the parties in this case as
2 competent figures for making calculations?

3 A. You'd have to be more specific than that, Mr.
4 Price.

5 Q. Are there any areas where you differ or take
6 issue with in connection with the figures obtained by the
7 U. S. Geological Survey?

8 A. Yes, there are.

9 Q. Could you specify those for me, please?

10 A. One measurement point that I recall is a
11 measurement point on No Name Creek below Mr. Walton's surface
12 diversion.

13 Q. And in what respect do you take issue with the
14 figures that have been supplied to you?

15 A. The only disagreement in their figures and the
16 figures that I have developed are with respect to the relation-
17 ship of converting the depth of water in the measurement flume
18 to an amount of discharge, and the difference in our two
19 figures is somewhat small.

20 Q. Not very great?

21 A. That is correct.

22 Q. I take it this business with the flow nets,
23 it's not going to do me any good to ask if you've developed
24 any hydrographs, is that correct, or whether hydrographs are
25 developed in connection with the flow net analysis or not?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. No.

Q. Have you developed any hydrographs?

A. Yes, I have.

Q. Do you have those available?

A. Would you be more specific?

Q. What is a hydrograph?

A. A hydrograph is a trace, a time-related distribution of rate of flow in a stream showing at any instant in time or on a daily basis. For example, a hydrograph could be developed on a daily basis to show the daily streamflows at a particular location.

Q. Would this relate only to a streamflow or to pumping from a well, say, volume of--

A. No.

Q. Just streamflow?

A. It could be defined, a hydrograph could also be defined as a running observation of water levels. That would be another definition of hydrograph.

Q. Have you prepared, in connection with this litigation, hydrographs?

A. We have prepared hydrographs of the streamflows at Walton's north boundary on No Name Creek, on Walton's surface diversion, and on No Name Creek at the crossing of the granite lip. We have also provided hydrographs on each of the wells in the No Name Creek Aquifer and to the north and

1 south of the No Name Creek Aquifer that would be established
2 by the U. S. Geological Survey and for which the U. S.
3 Geological Survey has been collecting and accumulating
4 records.

5 Q Do you have those hydrographs with you?

6 A Yes, I do.

7 Q Here in the room?

8 A Yes.

9 MR. VEEDER: Would you like to see them, Mr.
10 Price?

11 MR. PRICE: I will reach that decision
12 momentarily.

13 Q (By Mr. Price) If I ask you whether or not
14 you determined the decrease in storage volume in the No Name
15 Creek Aquifer in 1977, that is a question you could answer?

16 A Have I determined the decrease in the storage
17 volume--would you repeat the question?

18 Q Have you determined the decrease in storage
19 volume in the No Name Creek Aquifer for the year 1977?

20 A No.

21 Q Could I see the hydrographs, please?

22 A Yes.

23 MR. SWEENEY: Why don't we take a break at this
24 point?

25 (A short recess was taken.)

1 Q (By Mr. Price) I'm going to start, I don't
2 care if Mr. Sweeney is here. He's not too excited about this
3 I think, anyway.

4 Mr. Watson, do you have records that would give us
5 gallons per minute being pumped out of the three respective
6 wells, Paschal Sherman, Colville 1 and Colville 2 at any
7 given time?

8 A Yes.

9 Q Okay. Are those records with you?

10 A No.

11 Q Where are those records?

12 A In Helena.

13 Q In what form do those records appear?

14 A Hand notes.

15 Q Is this on a day-to-day basis?

16 A Not necessarily.

17 Q Would be at sporadic intervals?

18 A Yes.

19 Q Okay. I would request that those notes be
20 made available as part of this deposition.

21 MR. VEEDER: I didn't hear you.

22 MR. PRICE: I would request those notes be
23 made available as part of this deposition.

24 MR. VEEDER: Notes of gallons per minute from
25 each well?

1 MR. PRICE: Correct, for any days that the
2 records exist.

3 That's all I have. Thank you, Mike, for bearing
4 with me.

5 THE WITNESS: You bet.

6 MS. ECKERT: I have a few followup questions,
7 if I might, and I think I'll ask some because I know Bob had
8 one or two.

9

10 EXAMINATION

11 BY MS. ECKERT:

12 Q When you say that you took water from the
13 Paschal Sherman well and pumped it to the creek, how,
14 physically, was that accomplished?

15 A To the creek?

16 Q That's correct.

17 A Referring to Deposition Exhibit No. 2, water
18 is transferred from the Paschal Sherman irrigation well
19 labeled as Well No. 1 in the center of Allotment 526. It is
20 conveyed by means of a steel pipe to--in a generally south-
21 westerly direction. At that point it joins plastic pipe
22 buried, and water is conveyed by the plastic pipe for the
23 remainder of the distance across Allotment 526, across--
24 almost the full extent of Allotment 892, and is discharged to
25 No Name Creek above the measurement site as Mr. Walton's

1 north boundary.

2 Q Okay. Now, through the Walton property then
3 there is no piping or culverting of that water, is that
4 correct?

5 A There are culverts.

6 Q Okay.

7 A But not--

8 Q But they were not installed by you as part of
9 this project?

10 A They were not installed by the Confederated
11 Tribes.

12 Q In reference to your answers on the precipita-
13 tion records you showed in exhibit which I believe was
14 Deposition Exhibit 9, precipitation records for 1977, and
15 you had on that marked precipitation for October or November
16 or December of 1977?

17 A That is correct.

18 Q Do you have the precipitation figures for
19 those months available?

20 A No, I don't.

21 Q Okay. Do you anticipate that by the time of
22 trial Deposition Exhibit 9 will be remodeled to include that
23 information?

24 A I hadn't intended to do that.

25 Q Okay. Now, the precipitation records, as I

1 understand it, that's from a weather service monitoring
2 station that was not established specifically for this
3 project?

4 A That is correct.

5 Q Okay, and do you happen to know far back or
6 do you happen to know when that weather service monitoring
7 station was established at that point?

8 A It was established at that point, to the best
9 of my knowledge, in 1948.

10 Q And in considering the precipitation records
11 in the way as you testified in response to Mr. Price's
12 questions, you then consider back to that first date, to
13 1946?

14 A '48.

15 Q '48, excuse me. So you considered records
16 back to 1948, is that correct?

17 A Yes.

18 Q Okay. Now, Mr. Price asked you a question,
19 could 1977 be considered a "normal" year, and we had some
20 problems with what normal meant and so on. Let me phrase it
21 in a slightly different way. In your studying of the
22 precipitation records from Omak--whatever it is, to, the
23 weather station, weather patterns which became apparent over
24 the years, times at which precipitation was heavier than other
25 times.

1 A Yes.

2 Q And is there some way you can describe when
3 the precipitation generally is heaviest in the No Name Creek
4 area or at that weather station, I should say?

5 A What do you mean by when?

6 Q Well, what months of the year, for example,
7 could one expect to find high precipitation, and what months
8 of the year would one expect to find virtually no rainfall?

9 A The months of high and low rainfall or
10 precipitation in the No Name Creek Basin vary depending on
11 the year, and in looking at a long period of years and the
12 average monthly values for a long period of years there is
13 no pattern demonstrated in the records that was useful in the
14 work that I performed.

15 Q Okay. Now, those 1977 precipitation records,
16 you stated that one of the sources of water for the No Name
17 Creek system is precipitation, water that falls as rainfall,
18 let's say, in the fall of 1976. Is that immediately available
19 for pumping from the Paschal Sherman well?

20 A I made no determination of that.

21 Q Well, let me ask it this way, is there a time
22 lag?

23 A There is if rainfall falls in one year.

24 Q Do you have any opinion as to whether it
25 becomes available in the system for appropriation later that

1 year?

2 A Yes.

3 Q And what's that opinion then?

4 A The opinion is that rainfall falling on No
5 Name Creek Watershed generally is available in the No Name
6 Creek Aquifer or in the No Name Creek stream shortly after.

7 Q What do you mean by shortly after?

8 A Within a month.

9 Q And what do you base that opinion on?

10 A The size of the watershed and the estimated
11 travel time from points in the watershed to the aquifer and
12 stream.

13 Q Just to make sure that I'm absolutely clear
14 on this point, Deposition Exhibits 3, 4 and 5, I believe, yes,
15 it shows up on all three of them, but I think for purposes
16 of illustration we can do it on 5, referring to Deposition
17 Exhibit 5, the heavy line on the lower portion of the exhibit
18 which then results in a total, is the line an accumulated
19 total?

20 A Yes, it is.

21 Q Okay, fine. So it accumulates as it goes
22 along?

23 A That's correct.

24 Q Okay, but the line does not represent a
25 magnitude of pumping at any given time?

1 A No, it does not.

2 Q Okay. With respect to the subsurface contribu-
3 tion from Omak Creek to No Name Creek that you and Mr. Price
4 were discussing, you came up with some figures of .66 CFS and
5 so on. Can you tell us--you apparently made a determination
6 in March of '76 and March of '77 and from the period of
7 January 31 to mid-April of '77. Can you tell us why those
8 periods of times were chosen to make that calculation?

9 A Yes.

10 Q And why?

11 A The periods that were chosen were times in
12 the watershed when the least problem existed in trying to
13 identify water that might be contributed.

14 Q Well, you're going to have to explain that a
15 little bit more. What do you mean, the least problems existed
16 in terms of identifying--

17 A For example, during the period in March, 1976,
18 this was approximately six months after the close of the 1975
19 irrigation season. All effects of the 1975 irrigation season
20 were no longer apparent, and there were water levels in the
21 No Name Creek Aquifer available in March, 1976, and the rate
22 of increase and decrease in those water levels was available.
23 There was also measurements made by the U. S. Geological
24 Survey in 1976 on No Name Creek below the spring zone, and
25 that measurement, coupled with the observations of the water

1 levels in the aquifer, allowed for a determination of the
2 contribution of inflow at that point in time. A similar
3 situation existed in March, 1977, precisely the same conditions
4 existed in 1977.

5 Q Okay. Now, in making those calculations in
6 March of '76 and March of '77, please tell me how you arrived
7 at that figure? Did you have observation wells, did you use
8 observation wells to arrive at that figure?

9 A Yes.

10 Q Which observation wells did you use to arrive
11 at that figure?

12 A The specific observation well that I used in
13 both cases was the Peter's Observation Well located on Allot-
14 ment 892.

15 Q And when you say you used the Peter's Observa-
16 tion Well, I'm a layperson, tell me, how did you use the
17 observation well to determine in March of '76 what you come
18 out with, less than .66 CFS?

19 A The observation well in 1976 showed a declining
20 water level from August, 1975 through--at least a part of
21 April, 1976, and the declining water level in the aquifer as
22 monitored by the water level at Peter's Observation Well
23 indicated that more water was coming out of the aquifer than
24 was going in. If the reverse had been true the water levels
25 would have been rising. The U. S. G. S. made a measurement of

1 the streamflow of No Name Creek, which is a measurement of
2 discharge from the aquifer, in March, 1976, and therefore
3 that measurement becomes the estimate of the quantitative
4 water being contributed to the No Name Creek Aquifer at that
5 time.

6 Q Okay.

7 A And it should be pointed out that I did not
8 use specific numbers in those cases, but in March, 1976, I
9 stated that it was an amount of .66 CFS or less, and in
10 March, 1977, .50 CFS or more.

11 Q I'm sorry, I was trying to use sort of a short-
12 hand, I didn't mean to suggest that you had stated those
13 precise figures.

14 Now, you made a comment to Mr. Price which I want
15 to follow up on. We have been talking a lot about the water-
16 shed boundary. Is there also such a thing an aquifer boundary
17 for the No Name Creek area?

18 A Yes.

19 Q Okay, and do you have any maps or charts--let
20 me ask you this, have you determined what that aquifer
21 boundary is?

22 A I have participated--

23 Q Okay. Is that Mr. Kaczmarek's area?

24 A Mr. Kaczmarek and Dr. Robinson.

25 Q Okay. Assuming that the Paschal Sherman well

1 and Colville No. 1 and Colville No. 2 wells were not operating
2 this summer, could you have irrigated in Sections 901 and 903?

3 A. No.

4 Q. Okay, and why not?

5 A. Because the entire flow of No Name Creek would
6 have been diverted in its entirety by Mr. Walton.

7 Q. And in what sense would it have been entirely
8 diverted by Mr. Walton?

9 A. If Mr. Walton operated in 1977 as he had in
10 1976 the natural flow of No Name Creek would have been
11 sufficient to supply his demands only.

12 Q. I see, okay. When you say his demands, are
13 you referring to his demands, his total demands, or his demands
14 only for surface water diversion?

15 A. His surface water diversion demands for the
16 lands that were irrigated by Mr. Walton in 1977.

17 Q. Okay. Now, Mr. Price asked you about whether
18 you generally used the U. S. G. S. figures, and you indicated
19 there were some areas of disagreement, and you gave an
20 example that there was a measurement point below the Walton
21 place. Is that a fair statement of what your testimony was?

22 A. With minor corrections.

23 Q. Okay, well, make the minor corrections, I'm not
24 trying to put words in your mouth.

25 A. The location is No Name Creek below Walton's

1 surface diversion, and the measurement device is a flume.

2 Q Okay, and you stated that the difference between
3 your figures and the U. S. G. S. figures was "a small difference"?

4 A That is correct.

5 Q Can you tell us what's that small difference?

6 A I can't tell you percentagewise.

7 Q Is it within the magnitude of error of any such
8 calculation?

9 A It's within the magnitude of the error of the
10 U. S. Geological Survey current meter measurements.

11 MS. ECKERT: Okay. That's all the questions
12 I have. Mr. Sweeney may have one.

13 MR. SWEENEY: No, I don't have any questions.

14 MR. VEEDER: I have about two or three very
15 short questions.

16

17

EXAMINATION

18 BY MR. VEEDER:

19 Q Mr. Watson, you indicated that in regard to
20 the operations of the Colville Irrigation Project you made
21 the determinations in regard to the location of the systems
22 you installed. During that period did you consult or confer
23 with anybody in the Department of Interior?

24 A Yes.

25 Q And who was that?

1 A Mr. Corke.

2 Q And what was Mr. Corke's function in regard to
3 the construction of the irrigation system, the operation of
4 the irrigation system, the financing of the irrigation system?
5 Did you get involved with that with Mr. Corke?

6 A Yes. Mr. Corke was principally responsible
7 for all of these functions on behalf of the Bureau of Indian
8 Affairs working with Colville Confederated Tribes.

9 Q Would you state in the record the extent of
10 your consultation in regards to each one of these phases
11 including the operation of the Colville irrigation system
12 pursuant to the order of July 14th, 1976?

13 A I've had extensive consultation with Mr. Corke
14 throughout the entire project in every aspect and phase of the
15 project.

16 Q Would you state whether that was a day-to-day
17 operation or how was that?

18 A We had very frequent telephone conversations
19 when we were apart. When we were together, which was
20 frequently, we had extended conversations.

21 Q And you accepted his direction, is that
22 correct?

23 A I most certainly did.

24 Q Now, in regard to the planimentering of the
25 acreages as appears on what we call Deposition Exhibit No. 2,

1 did you use the standard method in the determination of
2 acreages?

3 A Yes, I did.

4 Q Now, may I ask one last question, did you or
5 did you not distribute to all parties the map showing the
6 Colville irrigation project some weeks back?

7 A Yes, I did.

8 Q And would you provide for the record tomorrow
9 morning when you made that distribution?

10 A Yes, I will.

11 MR. VEEDER: I have no further questions.

12 (At this time the deposition was
13 adjourned and reconvened on January
14 6, 1978, at 9:45 a.m.)

15

16 EXAMINATION

17 BY MS. ECKERT:

18 Q I believe you've already been sworn?

19 A Yes.

20 Q Mr. Watson, yesterday you testified about the
21 firm annual water supply, and just to start us off, would
22 you repeat again what your opinion was as to the firm annual
23 water supply available in the No Name Creek Basin?

24 A The figure that I gave yesterday was 550 acre
25 feet.

1 Q Okay. Now, let's start from the beginning on
2 this. How did you determine this figure?

3 A I determined this figure based on intensive
4 investigations in the No Name Creek Basin.

5 Q Which investigations are you referring to,
6 what kinds of investigations?

7 A I performed investigations of the records of
8 the U. S. Geological Survey.

9 Q Okay. Now, which U. S. G. S. records are you
10 using?

11 A I'm using the records of the U. S. Geological
12 Survey collected prior to the court order of July 14th, 1976,
13 and subsequent to the court order of July 14th, 1976.

14 Q And then you have the records, and the records,
15 as I understand it, are simply measurements of various water
16 levels and various wells, is that correct?

17 A That is partially correct.

18 Q In what respect is that statement not totally
19 correct?

20 A The U. S. G. S. has collected additional
21 records other than water levels.

22 Q Did you use those records in addition to the
23 water level records?

24 A Yes, I did.

25 Q Okay. Now, you're still baffling me how you

1 records of water levels and other U. S. G. S. records, how
2 you come to a figure of 550. How did you calculate that
3 figure?

4 A The figure was calculated by the techniques
5 that I outlined to you yesterday, and for the periods that
6 I'd outlined yesterday.

7 Q Okay. Well, would you run through that again
8 because I still have some questions on it.

9 A Yes. I took water level observations in March,
10 1976. I also took U. S. G. S. water level measurements. I
11 also took a current meter measurement by the U. S. G. S. in
12 March, 1976, and I testified yesterday that the current meter
13 measurement in March, 1976 was taken by the Geological Survey
14 at Walton's driveway, at the crossing of Walton's driveway
15 on No Name Creek, and that the current meter measurement was
16 .66 CFS.

17 I also testified yesterday that the water level in
18 the No Name Creek Aquifer at that period of time was declining.
19 I also testified that in March, 1977 I used similar observa-
20 tions of the Geological Survey, namely, the water level in
21 the Peter's Observation Well, which was increasing, and the
22 U. S. G. S. measurements of discharge at the flume that had
23 been placed by the Geological Survey on No Name Creek below
24 Walton's surface diversion, and that the discharge on that
25 date was .54 CFS.

1 Q I believe, as I recall, looking through my
2 notes, that--

3 A Excuse me, was .50.

4 Q That's correct, yeah, I was going to correct
5 you on that just to see if you wanted to stand by that.

6 Now, you got those numbers then. How do we get
7 from those numbers to 550 acre feet?

8 A I also testified yesterday about the period
9 between January 31, 1977 and the middle of April, 1977, during
10 which time it was determined that Omak Creek was producing
11 .54 CFS of recharge to the No Name Creek Aquifer.

12 Q Well, then what, in fact, does the 550 acre
13 feet represent? Is that the addition during the year of 1977
14 to the--

15 A Those are points of observation during 1976
16 and 1977 at which time the amount of water discharging from
17 the No Name Creek Aquifer could be determined.

18 Q So basically you knew the inflow and the outflow,
19 is that what you're telling me?

20 A That's correct.

21 Q Okay. Then, how do you use that information to
22 come up--you're still about three steps, I think, from the
23 550.

24 A Okay. I don't have a calculator with me to
25 go through the details of the computations. However, if you

1 were to take, for example, .5 CFS and extend that through
2 the year that would result in a discharge of approximately
3 375 acre feet.

4 Q So basically what you did is you performed
5 a conversion from the CFS to the acre foot number, is that
6 correct?

7 A That's accepted practice, yes.

8 Q I didn't question whether it was accepted
9 practice, I just said, did you perform that conversion?

10 A Yes.

11 Q Okay. Now, when you say that it was a firm
12 annual water supply, I take it your testimony is based on
13 these calculations from March of '76, March of '77, and then
14 the period from January until mid-April of '77?

15 A Yes.

16 Q Would you anticipate that your figure, the
17 firm annual water supply, would be greater if precipitation
18 this year had been greater than what we actually received?

19 A Absolutely not.

20 Q Why not?

21 A The computation of discharge, as I have just
22 testified, during this year was--which was a dry year,
23 resulted in a computation of 375 acre feet as given in the
24 example previously. Therefore, in a year of more precipita-
25 tion there would be a larger amount of water in terms of

1 firm annual water supply, and I believe that I testified
2 earlier that the firm annual water supply computation is
3 550 acre feet, which is larger than 375.

4 Q Well, are you telling me then that there are
5 some seasons or periods when rainfall is high that No Name
6 Creek is--the system is gaining more water than it's losing,
7 in your opinion? Has that ever happened?

8 MR. VEEDER: I'm going to suggest you don't
9 answer that because I don't believe that it's properly put.
10 I think that the question cannot be answered other than along
11 the lines he's saying. He's saying you figure out a firm
12 annual average water supply of 550 acre feet. To get that
13 average he necessarily has some highs and some lows.

14 Q (By Ms. Eckert) Well, let me ask you this,
15 when you're talking about a firm annual supply, are you talking
16 about a firm annual average water supply?

17 A I'm talking about firm annual water supply.

18 Q Would you define what you mean by that term
19 then?

20 A A firm annual water supply is the amount of
21 water that can be withdrawn for beneficial purposes during
22 consecutive years without concern with regard to shortages.

23 Q Okay, one other question. Did you determine
24 water requirements which might be anticipated for growing
25 crops in the No Name Creek Basin?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Yes, I did.

Q Okay, and were you determining water requirements for any specific crop in No Name Creek Basin?

A Yes, I was.

Q Which crop were you determining those water requirements?

A I determined water requirements for alfalfa.

Q Okay, and how did you go about determining water requirements for alfalfa growing in the No Name Creek Basin?

A I determined water requirements in the No Name Creek Basin first by considering the soil properties of the lands in the No Name Creek Basin, and that was based on considerably consultation with Mr. Kaczmarek with regard to the soil property. I also considered the kinds and types of crops that could be grown in this climatic region. I also considered the temperature and the precipitation characteristics of this particular region that are specifically related to the determination of water requirements. I considered the latitude at which the No Name Creek Basin is located, which is a factor affecting the water requirements. I considered the percentage of daylight hours at this latitude, which is a parameter in determining water requirements.

I determined the kinds and types of conveyance systems and delivery systems and application systems that

1 could be used in this particular area for applying water
2 for beneficial purposes of irrigation. I also considered
3 the unique characteristics of this particular basin with
4 regard to conveyance losses and efficiencies of irrigation
5 in this particular area.

6 (Discussion off the record.)

7 Q (By Ms. Eckert) Mr. Watson, in considering
8 conveyance systems and, as you put, unique characteristics
9 and so on the No Name Creek area, did you assign a particular
10 efficiency value to the conveyance systems involved?

11 A I did not assign a specific efficiency.

12 Q Okay. Well, did you consider the efficiencies
13 of conveyance systems in the irrigation system?

14 A Yes, I did.

15 MS. ECKERT: Okay. I don't have any further
16 questions at this point. Do you have any?

17 MR. SWEENEY: No.

18 MS. ECKERT: Okay, you may step down.

19

20 (Deposition concluded.)

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF WASHINGTON)
) SS: REPORTER'S CERTIFICATE
COUNTY OF SPOKANE)

I, David Caviezel, a notary public in and for
the State of Washington;


DO HEREBY CERTIFY:

That the foregoing is a true and correct
transcription of my shorthand notes as taken upon the
deposition of Mike Watson on the date and at the time and
place as shown on page one hereto;

That the witness was sworn upon his oath to
tell the truth, the whole truth and nothing but the truth,
and did thereafter make answers as appear herein;

That I am not related to any of the parties to
this litigation and have no interest in the outcome of
said litigation;

WITNESS my hand and seal this 23rd day of February,
1978.


NOTARY PUBLIC in and for the
State of Washington, residing
at Spokane



SCALE: 2 INCHES = 1 MILE

EXPLANATION


IRRIGATION WATER DEVELOPMENT, DELIVERY, AND APPLICATION SYSTEM

- WELLS**
- ① PASCHAL SHERMAN IRRIGATION WELL
 - ② COLVILLE NUMBER 1 IRRIGATION WELL
 - ③ COLVILLE NUMBER 2 IRRIGATION WELL
- SURFACE DIVERSION**
- ④ DIVERSION POINT FOR "SOUTH UNIT" COLVILLE IRRIGATION PROJECT
- DELIVERY SYSTEM**
- PORTABLE ALUMINUM SPRINKLER PIPE
 - BURIED STEEL PIPE
 - BURIED PLASTIC PIPE
 - ~ NATURAL CHANNEL FOR CONVEYANCE

APPLICATION SYSTEM

- PORTABLE ALUMINUM HAND-MOVE SPRINKLER LINE
- AUTOMATED CENTER PIVOT SPRINKLER LINE

LAHONTON CUTTHROAT FISHERY



TYPICAL SECTION

IRRIGATION LAND DEVELOPMENT

- IRRIGATED ACRES (1977)
- UNDEVELOPED IRRIGABLE ACRES

ALLOTMENT	IRRIGATED ACRES (1977)	UNDEVELOPED IRRIGABLE ACRES	TOTALS
S-526	50.7	11.1	61.8
H-892	43.6	14.3	57.9
WEST OF H-892	.8	.7	1.5
S-901	30.4	10.7	41.1
WEST OF S-901	---	8.8	8.8
S-903	32.4	24.0	57.3
TOTALS	157.8	70.5	228.4

Dep. EXHIBIT 1
for identification
DAVID CAVIEZEL, N.P.
Jan 5, 1978

S-525 - sq. delivery well located on sq. base well lands called - ?

COLVILLE IRRIGATION PROJECT

OCTOBER, 1977

PRELIMINARY

Dep EXHIBIT 6
 for identification
 DAVID CAVIEZEL, N.P.
 Jan 5, 1978

COLVILLE IRRIGATION PROJECT
 HAY INVENTORY, 1977

CUTTING	BALES	#/BALE	TONS	ACRES	TONS PER ACRE	\$ PER TON	\$ VALUE
ALLOTMENT S-901							
1	2400(1)	40.0	48.0	24.0	2.00	60	\$ 2,880
2	808(1)	60.0	24.2	24.0	1.01	60	1,452
3	937	55.5	26.0	24.0	1.08	60	1,560
TOTAL	4145	47.4	98.2	24.0	4.09	60	\$ 5,892
ALLOTMENTS 526 & 892 & TRIBAL TRUST WEST OF H-892							
1	5700(1)	55	156.7	94.3	1.66	60	\$ 9,402
2	2162(1)	55	59.5	94.3	.63	60	3,570
3	1815	55	49.9	94.3(a)	.53	60	2,994
TOTAL	9677	55	266.1	94.3	2.82	60	\$15,966
TOTALS	13822	52.7	364.3	118.3	3.08	60	\$21,858
(a) Approximately 30 acres of Walking 40 not cut							

(1)

BALE COUNT COMPARISON

<u>Allotment</u>	<u>Cuttings</u>	<u>M-M*</u>	<u>Farmer</u>	<u>Difference</u>
901	1 & 2	3481	3208	+ 273
526 & 892	1 & 2	7741	7862	- 121
TOTAL	1 & 2	11222	11070	+ 152

* Counted by Mike Watson

Dep EXHIBIT 7
 for identification
 DAVID CAVIEZEL, N.P.
 Jan 5, 1978

SUMMARY 1977 WATER USE
NO NAME CREEK BASIN

ALLOTMENT	1977 ACRES	WATER USE (ACRE-FEET)	WATER USE (ACRE-FEET PER ACRE)	AVERAGE ANNUAL SPRINKLER WATER REQUIREMENT (ACRE-FEET PER ACRE)
Colville S-526	50.7	254.8	2.68	4.24
Colville H-892	44.4			4.44
Walton S-525	29.0	152.5	5.26	4.44
Walton S-2371	9.9			3.66*
Walton H-894	12.0	115.4	5.27	3.66
Colville H-901	30.4	161.6	5.32	4.90
Colville S-903	32.4	12.5	.39	5.71
Lahonton Fishery	-	322.7	-	-
TOTAL	208.8	1019.5	-	-
Total Walton	50.9	267.9	5.26	4.10
Total Colville				
Irrigation	157.9	388.4	2.46	4.72
Fishery	-	363.2	-	-
	208.8	1019.5	-	-

Information Provided to Court During
 December 20-21, 1976 Hearing

Total Walton	105	300.0	2.86
Total Colville			
Irrigation	120	400.0	3.33
Fishery	-	360.0	-
Total	225	1060.0	-

* Lower water requirement based on grass as crop (28 inches per year consumptive use) rather than alfalfa as crop (34 inches per year consumptive use).