UIdaho Law Digital Commons @ UIdaho Law

Confederate Colville Tribes v. Walton (Colville Tribes)

Hedden-Nicely

4-25-1978

Transcript of proceedings Volume XII, Pages 2538-2595

Wayne C. Lenhart *Court Reporter*

Follow this and additional works at: https://digitalcommons.law.uidaho.edu/walton

Recommended Citation

Lenhart, Wayne C., "Transcript of proceedings Volume XII, Pages 2538-2595" (1978). Confederate Colville Tribes v. Walton (Colville Tribes). 34. https://digitalcommons.law.uidaho.edu/walton/34

This Transcript is brought to you for free and open access by the Hedden-Nicely at Digital Commons @ UIdaho Law. It has been accepted for inclusion in Confederate Colville Tribes v. Walton (Colville Tribes) by an authorized administrator of Digital Commons @ UIdaho Law. For more information, please contact annablaine@uidaho.edu.

1	IN THE DISTRICT COURT OF TH	E UNITED STATES
2	FOR THE EASTERN DISTRICT (OF WASHINGTON
3		
4		
5	COLVILLE CONFEDERATED TRIBES,	
6	Plaintiff,	
7	v.	No. 3421
8	BOYD WALTON, JR., et ux., et al.,	FILED IN THE
9	STATE OF WASHINGTON, Interv. Deft.,	U. S. DISTRICT COURT
10	Defendants,	Esserie - Anny 8 1978
11	Consolidated with) I R FALLCCIST, Clerk
12	UNITED STATES OF AMERICA,	Beputy
13	Plaintiff,	
14	V.) No. 3831
15	WILLIAM BOYD WALTON, et al.,	
16	Defendants.	
17		
10		
20	TRANSCRIPT OF PROCE	EDINGS
21		
22	Volume XII	
23	Pages 2358 to 25	95
24		
25	Spokane Calendar Tues., April 25, 1	1978 Neill, J.

234

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON PAGE 2358

÷

IN THE DISTRICT COURT OF THE UNITED STATES 1 FOR THE EASTERN DISTRICT OF WASHINGTON 2 3 5 COLVILLE CONFEDERATED TRIBES, Plaintiff, 6 No. 3421 7 v. BOYD WALTON, JR., et ux., et al., 8 9 STATE OF WASHINGTON, Interv. Deft.,) 10 Defendants,) 11 Consolidated with 12 UNITED STATES OF AMERICA, 13 Plaintiff, 14 No. 3831 v. 15 WILLIAM BOYD WALTON, et al., 16 Defendants. 17 18 **BEFORE**: 19 The Honorable Marshall A. Neill, Judge 20 DATE: 21 April 25, 1978 22 APPEARANCES: 23 MR. WILLIAM H. VEEDER For the Plaintiff Colville Confederated Attorney at Law 24 818 - 18th Street, N. W. Tribes: Washington, D.C., 20006 25

1 MR. STEPHEN L. PALMBERG Attorney at Law Legal Office 2 Colville Confederated Tribes 3 P. O. Box 150 Nespelem, Washington, 99155 4 5 For the Defendants MR. RICHARD B. PRICE Walton: Attorney at Law 6 Box O Omak, Washington, 98841 7 For the Defendant MR. CHARLES B. ROE, JR. 8 State of Washington: Senior Assistant Attorney Gen. Temple of Justice 9 Olympia, Washington, 98504 10 MISS LAURA ECKERT Assistant Attorney General 11 Temple of Justice Olympia, Washington, 98504 12 MR. ROBERT E. MACK 13 Assistant Attorney General Temple of Justice 14 Olympia, Washington, 98504 15 For the Plaintiff MR. ROBERT M. SWEENEY United States of Assistant U. S. Attorney 16 Box 1494 America: Spokane, Washington, 99210 17 MR. BILL BURCHETTE 18 Trial Attorney Land & Natural Resources Div. 19 Department of Justice Washington, D. C., 20530 20 21 22 23 24 25

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1 IN THE DISTRICT COURT OF THE UNITED STATES 2 FOR THE EASTERN DISTRICT OF WASHINGTON 3 COLVILLE CONFEDERATED TRIBES, Plaintiff, No. 3421 v. 5 BOYD WALTON, JR., et ux., et al., STATE OF WASHINGTON, Interv. Deft.,) 6 Defendants, 7 Consolidated with UNITED STATES OF AMERICA, Plaintiff,) 0 No. 3831) v. 10 WILLIAM BOYD WALTON, et al., Defendants. 11 12 BE IT REMEMBERED: 13 That the above-entitled action came regularly 14 on for hearing on April 25, 1978, having been recessed from 15 April 14, 1978, before the Honorable Marshall A. Neill, 16 Judge, in the District Court of the United States, for the 17 Eastern District of Washington, Spokane, Washington, the 18 Plaintiff Colville Confederated Tribes appearing by Mr. 19 William H. Veeder and Mr. Stephen L. Palmberg; the Defendant 20 Waltons by Mr. Richard B. Price; the Defendant State of Washington by Mr. Charles B. Roe, Jr., Miss Laura Eckert and 21 22 Mr. Robert E. Mack; and the Plaintiff United States of America by Mr. Robert M. Sweeney and Mr. Bill Burchette; 23 24 WHEREUPON, the following proceedings were had 25 and testimony taken, to wit:

INDEX 1 2 Page 3 Defendant Walton's Offer of Proof 2366 2374 Denied 4 Redir. 5 WITNESSES Dir. Cr. Recr. 6 For Defendant Walton: 7 FRED O. JONES 8 2365 Price 9 GEORGE EDWARD MADDOX 10 2482 2377 Price (Reopen) Mack (Continued) 2385 11 2389 Sweeney Veeder 2407 12 JAMES F. THORP 13 Price 2494 14 2498 Burchette 15 WILSON W. WALTON 16 2502 Price 2513 Veeder 17 For Defendant State: 18 PEDER GRIMSTAD 19 2539 Eckert 20 Burchette 2552 21 PHILIP J. CARPENTER 22 Mack 2553 23 DENZEL L. CLINE 24 Mack 2575 25

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON PAGE i

÷,

1	E	хнівітя			
2			ID	AD	DEN
3	Defendants Exhibits:				
4		B-W C-W	2378		. A.
5		G-W	2379		
6		H-W	2379	0004	
7		HHHW III-W		2384	
8		JJJ-W KKK-W		2384 2384	
9		LLL-W MMM-W		2384 2384	
10		NNN-W PPP-W		2384 2384	
11		TTT-W	2549	2553	
12		EEEE-W	2504	2523	
13	Colville Exhivit	39	2411	2448	
14		40	2517	2526	
15					
16				·	
17			·		
18					
19		ere al anti-	، بورگ مرکز میں در ایک	*	
20					
21					
22					
23					
24				- 1 - 3 - 3,3, 	₹2014 A
25			4		
		• • • • •		•	

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1 Morning Session 2 April 25, 1978 8:30 A.M. 3 THE COURT: Good morning. COUNSEL IN UNISON: Good morning, Your 5 Honor. 6 THE COURT: Clerk, call the case. 7 THE CLERK OF THE COURT: 3421, Colville Confederated Tribes v. Boyd Walton, Jr. consolidated 8 0 with 3831, The United States of America v. William 10 Boyd Walton. 11 THE COURT: Are all parties ready to 12 proceed? 13 MR. PRICE: Yes, Your Honor. 14 MR. VEEDER: Yes, Your Honor. 15 MR. SWEENEY: Yes, Your Honor. 16 THE COURT: Well, I see there have been 17 a number of motions filed since we last convened. 18 I suppose we better take a look at where we are on 19 those. 20 MR. VEEDER: Your Honor, yesterday the 21 Colville Confederated Tribes filed a petition for 22 I did not notice it a preliminary injunction. 23 thinking that I would be much better if we could 24 proceed and get the case in chief in, but we are 25 convinced that before the month of June is entirely

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1 gone the shortage of water is going to become 2 apparent and I thought it would be well to get it 3 into the record before Your Honor. I ask leave to file a brief in support of 5 that petition. I did not have a complete transcript, Your Honor, and I know what the rules say, but I 7 ask leave to file the memorandum in support of 8 this petition when I do have the full transcript, Q if I may, Your Honor. 10 THE COURT: That will be satisfactory, 11 but other counsel should have a reasonable time in 12 which to respond to that, of course. 13 MR. VEEDER: Oh, yes, Your Honor, and let 14 the record show that counsel for each of the parties, 15 the State, and Mr. Walton, Mr. Price, and the United 16 States, have been served. 17 Now, I also have -- I don't know what Your 18 Honor desired us to do about this, but you requested 19 at the last hearing that I make reference to the 20 citations as to what we were relying upon about the 21 fact that the rights to the use of water in Omak 22 Creek were not part of this litigation. 23 THE COURT: Well, that goes to one of the 24 motions that Mr. Price has filed in the interim 25 period.

MR. VEEDER: That is correct, Your Honor, and I'm assuming you don't care to hear from me on the subject now in light of that motion. THE COURT: That is correct. That is Mr. Price's motion.

Mr. Price, the thing that concerns the Court on that motion is not the basic idea as to water of Omak Creek perhaps having something to do, in fact, I think from the record there is something to do with it already, with the problem we are facing in No Name Creek.

I have to be concerned about the extent to which you would like to expand the record.

MR. PRICE: The extent, Your Honor, would go, I believe, to the waters not being demanded during the regular irrigation season and beyond that I don't know how to define it, I guess is my problem, other than to rely upon the study and work that Mr. Jones has performed in that regard.

It is not my intent to try and seek an adjudication, although I think that would be appropriate, of Omak Creek but to have testimony relating to waters that would otherwise not be in demand during the off-irrigation season.

THE COURT: When this matter first came up

1

2

3

5

6

7

8

Q

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

-- well, it has come up more than once, and each 1 time, as you know, the Court has sustained objections 2 to expanding this and it has been for the reason that 3 if we got into the question of the burden of the use 4 of the waters of Omak Creek, we don't have all the 5 parties before us that are involved in the water of 6 that particular creek. On the other hand, there is 7 evidence in the record that at some time some of the 8 waters of that creek have been diverted and used in 9 what I guess we all talk about now, the No Name 10 aquifer uses. 11 Perhaps the best way to approach this is if you 12 would like to make a relatively brief offer of proof 13 so I can get some feel for how far you would like to 14 15 go if I grant your motion. MR. PRICE: All right. I would be willing 16 to do that if we could call Mr. Jones to the stand. 17 18 THE COURT: All right. 19 called as a witness herein, 20 FRED O. JONES, having been previously sworn 21 on oath, testified as follows: 22 23 THE COURT: Mr. Jones has been previously 24 25 You are still under oath. sworn.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1	THE	WITNESS: All right.
2	MR.	PRICE: Good morning, Mr. Jones.
3	THE	WITNESS: Good morning.
4		
5		DIRECT EXAMINATION
6	BY MR. PRICE:	
7	Q Mr. Jones, thi	s will cover some of the questions that
8	I posed to you	previously, probably, in connection
9	with your stud	y of the No Name Creek basin, and in
10	that regard, d	id the study of the No Name Creek basin
11	involve stream	flow measurements, among other things,
12	of the surface	flow of Omak Creek?
13	A Yes, they did.	
14	MR.	VEEDER: I object, Your Honor, and I
15	want the recor	d to show that we have objected in
16	the past on an	y effort to bring Omak Creek in.
17	THE	COURT: Counsel, this is only on an
18	offer of proof	. I am trying to find out what he
19	is trying to g	get into the record.
20	MR.	VEEDER: But he's going to make an
21	offer of proof	through Mr. Jones?
22	THE	COURT: Through Mr. Jones which may be
23	the quickest w	way to do it, I hope.
24	Q (By Mr. Price)	Mr. Jones, in your study of the No
25	Name Creek bas	sin, did that study involve the source
	1	

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

the use of water from Omak Creek? 1 I object to this, Your Honor, MR. VEEDER: 2 on the grounds that this witness is not qualified. 3 He is not a surface water hydrologist and I don't think he is qualified to go out and measure the 5 water. I don't think he has any right to be testifying in this and he doesn't purport to be a 7 surface water hydrologist. 2 THE COURT: Objection overruled. THE WITNESS: May I hear the question 10 11 again. MR. PRICE: Would you read the question 12 13 back, please. (Reporter read back question 14 line 24, page 2366 to line 15 16 1, page 2367.) 17 Yes, it did. Α And I believe your testimony, if allowed, is to the 18 0 effect that there are sufficient waters to meet the 19 demands that you have indicated in your testimony, 20 21 in your direct testimony. MR. SWEENEY: Your Honor, I know this is 22 an offer of proof, but I still think it should be 23 limited to direct questions rather than leading 24 25 questions which I think this is.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1		THE COURT: All right. Rephrase the
2		question.
3	Q	(By Mr. Price) In your opinion, are there sufficient
4		waters to meet the needs in the No Name Creek basin?
5	A	There are.
6	Q	And what sources and how do you determine that there
7		are sufficient waters to meet those needs?
8	A	The U.S. Geological Survey has taken measurements
9		of Omak Creek in connection with the present
10		hydrologic investigation and with one that was
11		conducted in cooperation with the Tribe back in
12		1972 and '73.
	Q	And from those studies, what have you determined?
14	А	We know that Omak Creek in a period of normal
15		rainfall or maybe perhaps a little higher than
16		normal will flow as much as 13,900 acre-feet of
17		water per year and it has a peaking about 78 percent
18		of the waters comes down from February to July so
19		it has a large peaking ability and it's just
20		I'm just thinking of the peaking water, that there
21		can be no preplanned use for. There is none that I
22		know of.
23	Q	And these peaking waters would be in addition to
24		what the normal flow of the Omak Creek is?
25	A	Yes.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1	Q	And how would these waters be beneficially applied
2		in the No Name Creek basin?
3	A	They could be applied to No Name Creek basin in two
4		ways. The first would be by diversion of the No
5		Name Creek directly into No Name Creek and they
6		could also be used to recharge the aquifer in wells.
7	Q	How would that be done? How do you recharge an
8		aquifer from wells?
9	A	Well, I believe that the materials in the aquifer
10		alongside No Name Creek are quite permeable and
11		the installation of some dry wells 20 to 30 feet
12		deep would probably be very effective in recharging
13		the aquifer.
14	Q	Is it your opinion that these dry wells could be
15		controlled so as to utilize the water during which
16		you describe as the peak period?
17	A	That is correct.
18	Q	And not utilized during other times of the year?
19	A	That is right.
20		MR. PRICE: I believe that is the extent
21		of our offer of proof, Your Honor.
22		THE COURT: You may step down, Mr. Jones.
23		(Witness is excused.)
24		THE COURT: Does any counsel wish to
25		object to the offer of proof?

1 MR. SWEENEY: The Government would object 2 to the rendition of the testimony as part of Mr. 3 Price's case in chief. Mr. Jones is describing a theoretical plan for 5 the use of waters outside what we believe is the aquifer. It is speculative and conjectural. It 7 does not take into account possible uses down below 2 for Omak Creek waters and in that sense it auto-9 matically brings into question all of the other uses 10 on the Omak Creek stream. 11 He has merely described a physical solution to 12 what he feels could be done, but he hasn't testified 13 to whether that is legally possible. 14 He has also previously testified -- he didn't 15 at this time -- but he testified there was no direct 16 hydrologic connection between No Name Creek aquifer 17 and Omak Creek, so even under Mr. Jones' testimony, 18 we are getting into another watershed, and that is 19 what we object to in this case. 20 MR. VEEDER: Your Honor, may I interpose 21 an objection on behalf of Colville Confederated 22 Tribes. 23 I respectfully submit, Your Honor, that the 24 only appropriate way that I know how to bring in 25 a totally foreign issue at this point in the trial

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON would be perhaps to have a motion to amend the pretrial order rather than by a motion of this character. I think the rules are very clear that the only possibility of inducing or injecting into a record a totally foreign issue such as here would be to have put the Tribes on notice well in advance. Now, if memory serves me, this pretrial order was entered, Your Honor, on June, I think it was June 12, 1976. Now --

THE COURT: Counsel, Mr. Price has pointed out that by the terms of that pretrial order, the reference is to the No Name Valley rather than to the aquifer, and the evidence is clear that within that valley Omak Creek flows across it.

MR. VEEDER: But, Your Honor, the right to the use of water are totally independent of the rights to the use of waters of Omak Creek, and that we have proved that conclusively, and I think there is total agreement that the natural affluent, the natural hydrologic system of No Name Creek is independent of Omak Creek, that we are at this point being required to have injected an entirely new question.

We do know though, as long as everyone is going into this matter, that the "surplus waters"

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1

2

3

5

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

flowing into or flowing by, could very well be stored and would be stored if the Colvilles prepare their water use as they plan. There could be storage of water in the upper areas of Omak Creek to irrigate literally thousands of acres of land that are short of water in the No Name Creek area during the month of July, August, and September.

Now, if Mr. Jones is going to go into a flat of ideas about some kind of a physical solution, I respectfully submit that we should be, the Colville Confederated Tribes should put into evidence proof that by storing that surplus water, the numerous Colville members with allotments in Omak Creek would greatly benefit by the storage of that water for late use.

Now, we see what a Pandora's box is being opened. We see what is occurring. While we know -we have done it. I have undertaken and I know personally, just like everybody knows personally here -- that there are those dry acreages upstream in Omak Valley. We know that surplus water can be impounded. I'm not buying what Mr. Jones says that these waters are not used.

I'm going to offer into the record the applications made with the State of Washington by

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Mr. Walton and others and those applications to appropriate Omak Creek waters for use in No Name Creek were denied. I'm going to offer those in evidence.

But, secondly, if this is going to be opened up, I propose to call additional witnesses to put in evidence to prove conclusively the amount of acreage requiring late summer water in the Omak Creek Valley. I will also prove, if we are having offers of proof, that we can store those waters in the upper reaches and they can best be used within the Omak Valley for the purpose of late irrigation and I respectfully submit that the process is now being undertaken here to blur the record in regard to independent and separate rights to the use of water is a manifest injustice to the Colville Confederated Tribes at this point.

I certainly object to the offer of proof. I respectfully submit this Court does not have jurisdiction to order the water being diverted out of Omak Creek. I think it is an entirely separate and distinct suit and I think it is an effective way to deny the Colvilles their day in court, they having filed this case in September of 1970.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1

2

3

5

6

7

8

0

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THE COURT: Does the State desire to 2 make any comments regarding this motion? Mr. Mack? 3 MR. MACK: Not at any length, Your Honor. We support Mr. Price's motion and disagree --5 as expressed earlier and I won't repeat here -with much, if not all, of what Mr. Veeder just said. 6 7 That would be 'the State's comment. THE COURT: Well, gentlemen, I am satisfied 2 that to open up the matter of the extent and use of 9 waters of Omak Creek would go beyond the original 10 scope of this litigation, although I must agree 11 with Mr. Price that the actual language of the 12 pretrial order is not so limited, but we don't have 13 all of the parties before us who would be affected 14 by evidence relating to the quantity and the burdens, 15 16 the existing burdens of the waters of Omak Creek. So, I am going to deny the Walton's motion in 17 18 this regard. 19 Now, I think, Mr. Sweeney, you filed, since 20 we last met, there is a new motion the Government 21 has filed. 22 MR. SWEENEY: I don't think so, Your 23 Honor. 24 It escapes me if I filed one. 25 THE COURT: Well, let me look here. There

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1	is something that came in from you.
2	Oh, I think it was notice of additional witnesses
3	and additional exhibits, perhaps.
4	MR. SWEENEY: No, I think the State filed
5	a letter noting that certain additional exhibits that
6	they were going to present from the archives and
7	listing three or four additional witnesses.
8	MR. VEEDER: Maybe I can refresh your
9	memory, Mr. Sweeney. Didn't you file a statement
10	signed by Secretary Andrus to the effect there were
11	going to be rules and regulations published?
12	MR. SWEENEY: That was some time ago.
13	That was before we ended the trial last week.
14	MR. VEEDER: Well, I know, but I just
15	wanted the record to show that particularly.
16	THE COURT: Mr. Sweeney, since our last
17	session of court in this case, I find on April 12
18	you filed a supplemental exhibit list, an affidavit
19	of Mr. Andrus.
20	MR. SWEENEY: I'm sorry, Your Honor, I
21	misunderstood. That is correct. I did file a
22	supplemental exhibit list to cover some maps and
23	graphs prepared by Mr. Jones which were admitted
24	during his testimony and also the affidavit of Mr.
25	Andrus Secretary Andrus as to the promulgation

of rules and regulations. 1 I thought the Court was referring to something 2 that may have been filed since we last met a week 3 ago. 5 THE COURT: All right. MR. SWEENEY: But we haven't anything. We didn't file anything in the interim. 7 THE COURT: I just wanted to be sure if 8 there were any pending matters before we get back 9 into the evidence --10 MR. SWEENEY: Not from the United States. 11 THE COURT: -- that have been filed since 12 13 the last session of Court. THE COURT: Well, I guess, then, when we 14 left off the last session Mr. Mack was in the midst 15 of cross-examination of Mr. Maddox. 16 17 MR. MACK: Yes. THE COURT: Would Mr. Maddox resume the 18 19 stand, please. 20 MR. PRICE: Your Honor, if I might make a There was an area of direct examination 21 comment. 22 that I overlooked with respect to Mr. Maddox and would ask that before we get further into the 23 24 cross-examination that I make a statement that I 25 would like to put on that direct testimony and if

it would be more convenient to put it on now before 1 2 all of the parties start cross-examination, I would ask leave of the Court to do that. I believe it 3 would be fairly brief. It would relate to Mr. 5 Maddox's belief or opinion as to availability of 6 water resources in 901 and 903 for beneficial use. 7 THE COURT: Do counsel object to Walton's reopening direct before cross-examination continues? 8 9 MR. MACK: No. 10 I have no objection. MR. VEEDER: 11 MR. SWEENEY: I have no objection. 12 THE COURT: Motion is granted. You may 13 examine on direct. 14 MR. PRICE: Counsel, and thank you, Your 15 Honor. 16 17 called as a witness herein, GEORGE EDWARD MADDOX, 18 having been previously sworn 19 on oath, testified as follows: 20 21 DIRECT EXAMINATION REOPENED 22 BY MR. PRICE: 23 Mr. Maddox, in connection with your study, you are 0 24 familiar with Allotments 901 and 903; is that 25 correct?

Not by that terminology. Could you give me the 1 Α 2 general physical location within the No Name Creek 3 Valley? Calling your attention to Colville 0 All right. 5 Plaintiff's Exhibit, I believe No. 7. These are the allotments that generally lie 6 А Yes. 7 to the south of Mr. Walton's property and north of Omak Lake, reading the map. I have crossed parts of those. All right. Have you physically been on those 10 Q 11 properties, portions of those properties? 12 That is right. Α 13 MR. VEEDER: May I ask a question on 14 voir dire, Your Honor. 15 Was this investigation undertaken subsequent 16 to the last hearing, Mr. Maddox? 17 THE WITNESS: No, Mr. Veeder, it wasn't. 18 THE COURT: Proceed. 19 If I may approach the witness, MR. PRICE: 20 Your Honor. 21 THE COURT: You may. 22 (By Mr. Price) Mr. Maddox, showing you what has 0 23 been marked Defendant's Exhibit B-W, can you 24 identify that, please. 25 Yes, that is a stream that we saw during our traverse A

Maddox - Direct

	1	
1		of the allotments. I couldn't tell you which
2		allotment it is, but it is slightly to the north
3		and east of Omak Lake. The stream is flowing
4	· · ·	toward the lower reaches of No Name Creek as it
5		heads toward Omak Lake and the view is generally
6		to the west.
7	Q	Can you identify Defendant's Exhibit H-W?
8	A	That is an additional view. Closer up view of the
9		previous stream and it's taken farther upstream.
10	Q	And Defendant's Exhibit G-W?
11	A	Again, the same stream and it is taken in the
12		general vicinity as Defendant's Exhibit H-W.
13	Q	And Defendant's Exhibit C-W, is that an additional
14		water source in the areas of 901 and 903?
15	A	Yes, it is. This is a separate water source than
16		shown on the first three photographs and lies to
17		the generally northerly of the first water source
18		and again flows toward No Name Creek and it is a
19		general area of intersection with No Name Creek.
20		It is to the north of where the first, the stream
21		shown on the first three photographs, generally.
22	Q	Mr. Maddox, calling your attention to Defendant's
23		Exhibit T-W, could you identify for us on that
24		exhibit the location of the water sources that you
25		have described in the pictures, defendant's exhibits.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

PAGE 2379

Maddox - Direct

1 Α To the best of my ability, realizing that I didn't 2 have this map with me in the field, it is my opinion 3 that the water course shown flowing southwesterly across 903 and into 901 is the stream shown in the 5 first three photographs that you have given me. 6 The second water course, I do not believe appears 7 on this exhibit. There is an identification on that exhibit of a Q stream flow; is that correct, and is that --10 MR. VEEDER: Object. This is leading, 11 Your Honor. 12 THE COURT: Rephrase it. 13 Is that what you are referring to? (By Mr. Price) Q 14 There is on the exhibit a line that I would interpret А 15 as generally indicating a stream shown on the legend 16 as being a perennial stream. 17 Mr. Maddox, can you describe what you observed in Q 18 connection with these water flows on the day you 19 observed them and approximately when you observed 20 them. 21 Generally, beginning with the small stream flow that Α 22 was in the last photograph you showed me, it appeared 23 to head in the grove of trees that lie to the 24 northeast of a road that traversed the two allotments 25 and at the road it formed a pond due to the road

1		being a low place and then flowed southwesterly
2		toward No Name Creek. Now, I didn't follow it up
3		to its junction with No Name Creek. The flow was
4		very small. It was difficult to estimate the flow
5		in a flat, shallow stream. I would say that it was
6		somewhere in the neighborhood of .5 cfs, in that
к. Т .,		general vicinity, which means the accuracy would
8		be between .1 cfs and about .7 cfs, probably around
9		.5 cfs as of the day I saw it.
10	Q	All right. Would you describe what you viewed in
11		terms of the larger water, stream flow. The larger
12		stream flow which lay to the southeast from the
13		first stream I described was flowing from the
14		northwest and northeast let me correct that
15		in some highlands. In part, the stream could be
16		seen cascading down over some rocks though I didn't
17		go to the point at which the stream came over the
18		rocks. The source of the stream was higher up on
19		the hill. When I first saw the stream it was
20		flowing a substantial amount of water. Again, I
21		didn't have any stream gauging equipment with me
22		and I would have to estimate the flow as being
23		about .5 of a cfs with the same accuracy I described
24		before.
25	1	

Farther down the stream as it flowed southwesterly

1		toward No Name Creek, the stream flow decreased to
2		a low point which was near some plowed land that
3	4. -	lay adjacent to the road that I have described that
4		traverses the two Indian allotments, and again, I
5		would estimate the stream flow to be somewhere
6		around a tenth of a cfs, possibly slightly more.
7		Again, these are estimates.
8	Q	What did your observations about the flow of this
9		water tell you about the flow of the land?
10	A	It was my opinion, looking at the diminution in the
11		stream flow, that there was a great deal of
12		percolation into the sub-surface and looking at
13		the soils that crop out onto the surface, it was
14		and is my opinion that it is approximately the same
15		type of soil material that is found farther north
16		in the No Name Creek basin and north of Mr. Walton's
17		land, generally speaking, and on one of the Tribe's
18		exhibits that has been colored green. I don't
19		recall the number of the exhibit.
20	Q	Do you have an opinion as to the availability or
21		source of water in this area for beneficial
22		application?
23		MR. VEEDER: I object to the question.
24		It is far too vague. Do you have an opinion as
25		to availability for what, where and by whom.
	-	

Maddox - Direct

1	THE COURT: He may answer the question.
2	You may explore that.
3	A By this area, I assume you mean the two allotments
4	that we have been referring to.
. 5	Q Well, the area where you observed these streams.
6	A Yes. It is my opinion that both direct use of this
7	water either for irrigation of small amounts of land
8	is possible in the early year. I have no direct
9	knowledge that these streams flow throughout the
10	year. Again, these streams could be used by
11	channelization and guiding the water to No Name
12	Creek for the purpose of fish propagation. Again,
13	later in the year it is my opinion, looking at the
14	soil materials, that shallow wells could probably
15	be developed to draw on the recharge of water into
16	the subsurface that I saw as a consequence of the
17	diminution of the stream flow.
18	MR. PRICE: I have no further questions
19	at this time.
20	Your Honor, again I would move for admissions
21	of the exhibits in connection with Mr. Maddox's
22	testimony in our previous session.
23	MR. VEEDER: Could we see those, Your
24	Honor. I haven't had a chance to look at them.
25	THE COURT: We have to identify them,

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON Maddox - Direct

1		Counsel. I don't know which ones you are talking
2		about.
3		MR. PRICE: We move again, Your Honor, for
4		admission of Defendant's Exhibits HHH-W, III-W,
5		JJJ-W. KKK-W. LLL-W. MMM as in mother, -W, NNN as
6		in Nansen, -W. PPP-W.
7		THE COURT. Has counsel examined those?
2		MD CHURINGY, Yes, the Conorrmont has
0		MR. SWEENEY: Yes, the Government has
		examined those and as I understand them, they are
10		illustrative of Dr. Maddox's earlier testimony last
11		week, his isopach maps and the elevations on March
12		20, May 13 and August 20 and as to a part of Dr.
13		Maddox's testimony, we have no objection.
14		THE COURT: State?
15		MR. MACK: No objection, Your Honor.
16		THE COURT: Mr. Veeder?
17		MR. VEEDER: I have no objection.
18		THE COURT: Exhibits HHH-W through
19		PPP-W, inclusive, are each admitted.
20		(Defendant, Walton's, Exhibits
21		HHH-W through PPP-W, inclusive,
22		are admitted.)
23	·	MR. PRICE: Thank you, Your Honor.
24		THE COURT: Mr. Mack, you may continue
25		your cross-examination.

1		MR. MACK: Thank you.
2		
3		CROSS-EXAMINATION CONTINUED
4	BY M	R. MACK:
5	Q	Dr. Maddox, you testified two weeks ago about your
6		opinion as to the consumption of water by
7	5 - 1 20	phreatophytes in the area of the Walton property;
8		do you recall that?
9	A	That is correct.
10	Q	Am I correct in understanding that what you did was
11		assume that if there were no irrigation development
12		on the Walton property that there would be water
13		consumed nonetheless by natural growth which would
14		be phreatophyte plants.
15	A	That is correct.
16	Q	And am I also correct in assuming that to determine
17		what the consumptive use would be, not water duty,
18		but consumptive use of such plants, you went then
19		to the work done by the Washington State University.
20	A	For irrigation requirements, that is correct.
21	Q	And you took a figure for orchard cover; is that
22		correct?
23	A	That is correct.
24	Q	And is it true that you made a 65 percent
25		calculation, explained that you assumed that the

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

phreatophytes would occupy only for your calculation purposes 65 percent of the land presently irrigated; is that correct? That is correct.

Would your figure then be conservative for what phreatophytes in natural condition might actually consume in the area of the Walton property?

MR. VEEDER: I object to this, Your Honor. There is no foundation whatever for it. Phreatophytes are a vast variety of plants. He made no identification as to what kind of phreatophytes he's talking about. Cottonwood trees are phreatophytes, uses water entirely differently from tules. I think we have to be specific on this. I think we should hear what kind of phreatophytes he is talking about on the bench line. I would be extremely interested to hear.

MR. MACK: Your Honor, I think Counsel could probably ask that one.

THE COURT: Mr. Sweeney.

MR. SWEENEY: Well, I have one objection.

I think this was a leading question.

THE COURT: Well, he is on cross. MR. SWEENEY: I would like to point out on this particular situation, Your Honor, that

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1

2

3

5

6

7

8

Q

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Ά

0

1		Dr. Maddox is only by happenstance on cross-
2	· · ·	examination by the State. Dr. Maddox is being
3		called as a witness for the State in its case in
4	÷	chief and this procedure ends up giving the State
5		the opportunity to really cross-examine their own
6		witness and I think in the position we are in that
7		Dr Mr. Mack should be directing questions in a
8		direct manner to Dr. Maddox, because he is actually
9		both a witness for the State and for Mr. Walton.
10		MR. MACK: I can rephrase
11		THE COURT: Mr. Mack, the point is well
12		taken. It seems throughout the trial that the State
13		and Mr. Walton seem to be going down the same path
14		as opposed to the United States and the Tribe. I
15		think I should take that view, so use direct
16		questions.
17		MR. MACK: Thank you, Your Honor. There
18		does seem to be more friction at that table than
19		ours.
20	-	THE COURT: Mr. Price.
21		MR. PRICE: Your Honor, for the record,
22		I would like to state that I think I raised this
23	2	issue back in year one, it feels like now, that
24		possibly even in a written motion that the Tribe
25		but I think in my oral argument that the Tribe

and the Government should be represented by one or 1 the other but not both because in essence they are 2 getting two cases in one. That may sound like a 3 Madison Avenue ad campaign, but it is true, and they, throughout this case, have had the opportunity 5 to, in effect, cross-examine their own witnesses, and as Your Honor points out, they are basically 7 in the same camp, although sometimes they don't see 8 it that way, and I don't feel that it is fair to 0 allow them to put on their entire cases in which 10 they have had the opportunity to cross-examine when 11 they are not adversaries in this proceeding at all. 12 They are both plaintiffs in a consolidated action 13 and so I find it a little bit inconsistent to 14 limit what genuinely would be considered cross-15 examination by an adverse party in this reference 16 17 when, in fact, the Tribe and Government have been able to do that throughout this entire proceeding. 18 MR. MACK: Your Honor, I'm listening to 19 all this. I really only had one more question, and 20 your point, I understood it, and I could rephrase 21 22 it. THE COURT: Rephrase the question. 23 24 Thank you. MR. MACK: Dr. Maddox, the 65 percent calculation which entered 25 0

1 into your computations, was that a limiting factor 2 or not in deriving your figure for the amount of 3 water that would be naturally consumed by phreato-4 phytes in this area? 5 It would be a limiting factor. Α 6 0 Thank you. That is all. 7 THE COURT: Cross-examination by the 8 United States? 9 MR. SWEENEY: Yes, Your Honor. 10 11 CROSS-EXAMINATION 12 BY MR. SWEENEY: 13 Dr. Maddox, the stream you testified, or the two 0 14 streams I guess, that you testified in this direct 15 examination by Mr. Price --16 Α Yes. 17 When did you see that stream or those streams? Q 18 It was -- I couldn't give you the exact date because Α 19 I don't have my calendar with me but it was on 20 about Wednesday or Thursday of the week preceeding 21 the last week of trial. 22 So, it was the spring? Q 23 Α Oh, yes. 24 And you don't know whether those streams dry up 0 25 or not?

2

1 Not personally, no. Α 2 0 Do you know that there were test wells drilled on 3 the Allotments 901 or 903? I am aware of that from the logs by the U.S. Geologi-Α 5 cal Survey, yes. 6 And they went down to bedrock; did they not? Q 7 As I recall, they went to bedrock or near the Α 8 bedrock. Q Q And they found no water on those allotments? 10 That is correct. Α 11 And there were seismic tests made on 901 and 903? 0 12 They were made This I couldn't -- I don't recall. Α 13 up on Mr. Walton's land, but I don't recall if they 14 were made down below or not. 15 MR. SWEENEY: Let's see. Could I have 16 Exhibit NNN, which is Nan, Nan, Nan, W, isopach, 17 I don't know. May I approach this, Your Honor? 18 THE COURT: You may. 19 (By Mr. Sweeney) Can you see that? 0 20 Α Yes. 21 I put up on the easel Mr. Walton's exhibit NNN-W 0 22 which is your isopach map; is that correct? 23 That is correct. Α 24 Now, as I understand it from your testimony last --0 25 a week ago, that this shows the difference in water
levels that occurred between March 20 of 1977 and 1 2 August 20 of 1977 at various spots within the No 3 Name Creek Valley. 4 Α May I check the legend on the map? 5 0 Yes. Yes, that is isopach of water level decline between А 7 March 20 and August 20, 1977. 8 Perhaps I should ask, what is an isopach? I am 0 not familiar with that term. An isopach is a line joining points of equal change, 10 Α 11 either up or down. Equal thickness, it could be. 12 And you calculated that based on U.S.G.S. logs of Q 13 the various wells within No Name Creek Valley? 14 U.S.G.S. measurements of water levels of various Α 15 wells within the No Name Creek Valley. 16 I see. That is what I meant. 0 17 And you then drew lines around certain areas 18 that you felt were reflective of changes in water 19 level as depicted on the map within No Name Creek 20 Valley? 21 That is correct. I constructed the isopach lines. Α 22 And to do that you had to make a certain number of 0 23 assumptions; did you not? 24 That is correct. Α 25 And then you planimetered the areas within these 0

1		different lines; is that correct?
2	A	That is correct.
3	Q	And that gave you what?
4	A	A volume. Well, within the lines it would give you
5		an area, and the distance between the lines,
6		multiplying the area times the distance gives you
7		the volume.
8	Q	Now, I believe you testified that in utilization
9		of the method that you used in examining the water
10		availability or analyzing the No Name Creek Basin,
11		the boundaries were important.
12	A	That is correct.
13	Q	Now, on this exhibit NN-W, as a matter of fact you
14	• •	drew these isopach lines to the lines of the granite
15		bedrock; did you not?
16	A	That is correct.
17	Q	Where it slopes into the surficial deposits above
18		the valley.
19	A	That is correct.
20	Q	And then you planimetered around those lines to
21		arrive at your volumes?
22	А	That is correct, to the limit of the bedrock.
23	Q	Well, the bedrock slopes underneath the surficial
24		deposits; does it not?
25	А	That is correct.

÷

÷.

	н 1 1 1 1 1 1 1 1 1 1 1 1 1 1 1 1 1 1 1	
1	Q	So at the outer edges of where the bedrock meets the
2		surficial deposits, you may have only a few feet of
3		surficial deposit?
4	A	That could be possible. I don't really know what
5		the thickness of the surficial deposits is there.
6	Q	But it would cut down the volume of water available,
7		based on your planimetering of those areas, would
8		it not?
9	A	That is correct. With the slope to the sides, there
10	-	would be some change in the volume of rock and
11		water.
12	Q	And you didn't make any adjustment for that; did you?
13	А	Since I didn't know what the slope of the sides were,
14		I assumed they were vertical for the distance that
15		I contoured which I forgot what that is now, 35
16	• • •	feet, the greatest distance.
17	Q	Have you examined the seismic profile?
18	А	Yes, I have.
19	Q	Do they show a vertical?
20	А	No, they do not.
21	Q	Now, I guess the largest change in water level
22		reflected on Exhibit NNN-W is at the middle
23		irrigation well of the Colville Tribe.
24	А	As I recall, that would be about right. That
25		can I look at the map?

Yes, and I think, well, yes. 1 Q It would be a well that is approximately in the 2 Α northeast quarter of the southwest quarter of 3 Section 16 and I would imagine that it would be about the middle well and that shows a total decline 5 of 44 feet for the period depicted on the map. 6 Now, that is taken from the U.S.G.S. records of 7 0 the change in water level in that well. R 9 А That is correct. And I guess, I believe that is called the middle 10 Q Colville irrigation well or Colville No. 1 well. 11 I generally refer to it as the middle irrigation 12 Α well, but it has been referred to in the trial as 13 14 the Colville No. 1. 15 Now, the water level depicted in that well is 0 16 deeper than the water level depicted in the wells 17 both to the north and to the south of the middle 18 irrigation well; isn't that correct? As shown by the exhibit and as represented by the 19 Α 20 data, that is correct, deeper below land surface. Do you feel that the water level as reflected on 21 0 22 the middle irrigation well is an accurate reflection 23 of the change in water level of the aquifer? 24 If I could rephrase that question before answering Α 25 it, I feel that the change in water level elevations

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

reflects the change in water level elevation through-1 2 out the aquifer. 3 Well, if there was a situation where you were having 0 4 well loss, for instance in the middle irrigation 5 well -- . I don't understand what you mean by "well log." А 7 Well, let me rephrase the question then. Well, let 0 2 me go on this tack. Have you examined the U.S.G.S. report? 10 Yes, I have. Α 11 And the U.S.G.S. report doesn't show a decline in Q the aquifer at the middle irrigation well of 44 12 feet; does it? 13 14 I would say that the data reflects that decline for Α the period shown here due to the data coming from 15 the U.S.G.S. To the best of my knowledge, U.S.G.S. 16 does not use their data in the manner which I have 17 18 to make this map. In other words, they haven't 19 used these time periods. 20 Well, they have hydrographs, however? Q 21 Α Yes. 22 And as a matter of fact, Mr. Cline, in his 0 hydrograph draws a line between the well line to the 23 24 north of the middle irrigation well and to the 25 level of the well line to the south of the middle

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1		irrigation well.
2	А	Could well be.
3	Q	And it is several feet higher than the 44 acre-feet
4		I mean the 44 foot change in the water level.
5	A	The 44 foot change in the water level are from data
6		collected by the geological survey rather than inter-
7		preted that with the changes they made during the
8		time period at that particular well.
9	Q	You don't know whether or not the U.S.G.S. people
10		regard the 44 foot change in elevation as reflective
11		of a change in the aquifer itself?
12	А	No, I do not.
13	Q	As a matter of fact well, you don't know that?
14	А	No, I don't.
15	Q	If the change in water level of the aquifer was not
16		44 feet but was, say, 38 feet, as reflected by the
17		wells to the north and the south of the middle
18		irrigation well, that would change your calculations
19		as to the amount of water available; would it not?
20	A	Yes, it would.
21	Q	Now, you testified last week to a specific yield,
22		I believe, of 10.6?
23	A	That is correct.
24	Q	And you used that figure for the entire No Name
25		Creek Valley aquifer.
	ł	

PAGE 2396

A That is correct.

2	Q	From the north all the way to the granite lip at
3		the south of Mr. Walton's property.
4	A	I would have to put a limit that the 10.6 percent
5		would not go all the way to Mr the granite lip
6		at the south end of Mr. Walton's property, but would
7		be reflected on the Exhibit NNN-W by the zero decline
8		line that is shown in the southwest part of Section
9		21 which is north of the granite lip.
10	Q	How far down did you go on that?
11	А	I have a point of zero decline. There is an
12		observation well that has zero decline for the
13		period I have. Now, I don't have that observation
14		well number right at my fingertips. I would describe
15		the well as generally lying within the southeast
16		quarter of the southwest quarter of Section 21 and
17		on the Exhibit it is shown with a zero and I don't
18		know how else to describe it. I could mark it with
19		a pencil or something.
20	Q	Well, for my purposes, I see it on the copy of
21		the exhibit Mr. Price gave me.
22		So, that is the limit of the 10.6 specific
23		yield figure that you testified to?
24	A	That is correct.
25	Q	Now, in arriving at specific yield, did you
	1	

PAGE 2397

۲ بر ب		
1		calculate the amount of water loss from the aquifer
2		during that period?
3	A	Loss in what manner?
4	Q	Well, in any manner.
5	А	I calculated the volume of groundwater pumped from
6		the aquifer by Mr. Walton and the three Indian
7		irrigation wells. There is some other minor pumping
8		for domestic purposes but I consider that to be
9		infinitesimally small compared to the pumping for
10		irrigation.
11	Q	How much was that pumpage?
12	А	Could I refer to my notes?
13	Q	Sure.
14	А	I have it in cubic feet which would be 31,000
15		31,071,023 cubic feet, and my tabulations are not
16	-	totaled by well, and I also have the Walton
17		irrigation pond which I did not include in my
18		calculations. That was a diversion from within
19		the system itself.
20	Q	You have thrown me off. I have been dealing with
21		acre-feet. Is that readily calculated?
22	А	If I can use my calculator, I will try to convert
23		it.
24		I have entered that number and I will divide it
25		by 43,560, which is the number of square feet in an

It comes out 713.29, essentially, acre-feet. acre. 1 Of pumpage? 2 0 That is correct, for that period. 3 Α Now, that is part of the water that leaves the Q aquifer which you have to know that to get to a 5 6 specific yield; don't you? 7 That is correct. А There are also other methods at which water left 2 Q that aquifer; are there not? Yes, there are. 10 Α There is evapotranspiration. 11 Q That is correct. 12 Α 13 Did you calculate that? Q 14 No, I did not. Α How about the spring flow. That is another area 15 0 where the water leaves the aquifer; is it not? 16 I considered the spring flow to be an in and out 17 А 18 In other words, the spring flow was situation. discharged from the groundwater and part of that 19 spring flow would return to the groundwater and 20 that water that did not return would be lost to 21 22 evapotranspiration and would be a constant factor as would other evapotranspiration, so I eliminated 23 24 those two facets. So, essentially, you relied on the pumpage figure 25 0

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

PAGE 2399

1 which you gave us, then, as far as the outflow from 2 the aquifer is concerned. 3 That, in my opinion, is the principal stress on the Α aquifer. And, now, in arriving at a determination of specific 5 Q 6 yield, you also have to determine what the inflow 7 is because you are trying to arrive at a net amount of water; isn't that correct? 9 No, that is not correct in the case of No Name Α 10 Creek aquifer. 11 Why not? Q There has been other testimony by the Tribe and by 12 Α 13 the United States that the inflow to the aquifer comes from precipitation, irrigation return flow, 14 and percolation of water from Omak Creek, and none 15 16 of this testimony, nor is there any evidence that 17 I'm aware of, nor in my opinion from my various 18 field trips, is there any direct hydraulic connection 19 from any recharge source to the aquifer of No Name 20 Creek, consequently the recharge could be viewed 21 as a constant throughout the year and so therefore, 22 you can drop that from your calculations. 23 So, viewing it in that way as apparently you did, 0 24 then you made no calculations as to the amount of 25 infiltration from Omak Creek.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

PAGE 2400

Α That is correct. 1 You made no calculations as to the amount of recharge 0 2 from precipitation? 3 Not separate from the inflow of Omak Creek, no. A And you made no calculation as far as the recharge 5 0 from return flow from irrigation water. 6 Not as separate from the other two, no, I have not. 7 Α But, nevertheless, you arrived at a determination Q of 10.6 specific yield throughout the aquifer. 9 That is correct. Α 10 Now, in using that, you arrived at the 440 acre-feet 11 Q recharge. 12 13 That is correct. Α And that was from August 20 of 1977 to January 5, 14 Q 15 1978. As I recall the dates, that is correct. 16 Α And that was about four and a half months. 17 Q 18 Α Approximately, yes. And then to arrive at an annual recharge, you 19 0 20 multiplied by three. 21 That is correct. А 22 And came up with the twelve to thirteen hundred? Q 23 That is correct. Α Now, as a matter of fact, you take four and a half 24 0 25 months, -- this is a minor point -- but you take

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1 four and a half months, multiply it by -- over a 2 year's time you come up with about 1150 acre-feet; 3 do you not? That may be more precise than counting on days, Α 5 but the accuracy of the numbers, I would rather 6 look at it in terms of four months and multiply 7 There is a lot of -- the numbers aren't by three. 8 that accurate. 9 So, but in your estimate, isn't it true, then, 0 10 you went a little bit beyond what mathematically 11 would be calculated on the basis that you use? 12 Treating each number as a finite entity, that is Α 13 correct, that is a true statement. 14 Now, what if you had used a specific yield of 9.6? Q 15 Then the volume of inflow would have been lower. Α 16 As a matter of fact, that would be reflected, 0 17 based on your method of calculations, at about 18 398 acre-feet during that four and a half month 19 period. 20 I haven't calculated it out, but it should decrease Α **21** to something like that. 22 And if you go to 8.6 specific yield, it would be Q 23 down to about 356 acre-feet. 24 I haven't made the calculation, but it is going Α 25 in the right direction.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

PAGE 2402

1	Q	So, it is significant, then, the figure that you
2		pick for specific yield.
3	A	Very significant.
4	Q	Because it has a very fundamental effect on the
5		amount of water you are going to arrive at as
6		being available.
7	А	That is true.
8	Q	Now, did you calculate the water let's see.
9		Strike that.
10		You calculated the recharge from August 20
11		to January 5, August 20, 1977 to January 5,
12		1978, and that was the 440 acre-feet.
13	A	That is correct.
14	Q	Did you calculate the amount of water from the
15	- - -	start of pumping in the spring or the amount of
16		water that came in during the spring up to August
17		20?
18	A	I don't understand your question.
19	Q	Did you make any calculation of the amount of
20		waters that were recharging that aquifer from,
21		say, March 20 of 1977 until August 20, 1977?
22	A	No. Again, as I testified earlier, I assume that
23		water that came in in that period of time was a
24		constant as compared with what came in during the
25	• • • •	period of time August 20 through January 5 of '78.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON ź

1 0 So, you just, well, -- okay. 2 Now, on your water duty, you testified, I 3 believe, to a water duty of approximately how many acre-feet? 5 I don't recall specifically my testimony, but the А 6 water duty I used was two-thirds of the volume of 7 water duty shown on Table 2 which is a five year 8 frequency table of the publication by Washington 9 State University. How much does that come out in acre-feet per year? 10 Q 11 Without looking at the table, if we were -- I'm Ά 12 going by memory -- 39 inches, if I recall, is the 13 water duty for alfalfa -- and it would be two-thirds 14 times 39 inches, if I can calculate this --15 Sure. 0 16 I can give you a number, remembering that my 39 Ά 17 inches is from my memory and this is alfalfa water 18 duty. 19 It would be 5.97, we will say 26 inches of 20 water duty, of water. We will divide that by 12. 21 That would be 2.16 acre-feet and that is slightly 22 low, so take 2.16 acre-feet divide that by a 23 delivery efficiency at 70 percent and that comes 24 out at 3.085 would be the water duty accounting 25 for a delivery efficiency to the system.

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

Of 70 percent? ł 0 2 Α Of 70 percent. Now, Dr. Maddox, you were a referee in a water 3 0 adjudication involving the water of Bonaparte Lake 5 and Bonaparte Creek. 6 That is correct. Α 7 And that was an action brought by the State of Q 8 Washington? 9 Α That is correct. 10 To adjudicate the water use? 0 11 That is right. A And you filed a report to the Superior Court of 12 0 13 Okanogan County? 14 That is correct. Α I would like to read to you something. 15 Q MR. PRICE: Your Honor, excuse me. 16 17 Mr. Sweeney, I'm going to object to interjection 18 of a previous proceeding in this proceeding. THE COURT: Well, I assume this is --19 MR. SWEENEY: This is sort of an impeachment 20 21 situation. 22 THE COURT: Inconsistent prior statement, 23 I'm assuming is what we are about to hear. 24 You may continue. 25 (By Mr. Sweeney) Well, Dr. Maddox, you did file a 0

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1		report with the Okanogan Court on that proceeding?
2	А	That is correct.
3	Q	After taking testimony.
4	A	That is correct.
5	Q	For several weeks, as I recall.
6	A	That is correct.
7	Q	Now, I'm going to read something and I will show it
8		to you after I read it, from the report, page 9.
9		"Expert testimony established
10		that these crops, alfalfa, pasture
11		and orchard, required 35 inches,
12		33 inches, and 28 inches of water
13		respectively. The referee assumes
14		an irrigation efficiency of 70
15		percent and calculates the volume
16		of water required for these crops
17		to be 4.04 acre-feet per acre per
18		year for alfalfa, 3.81 acre-feet
19		per acre per year for pasture,
20		and 3.23 acre-feet per acre per
21		year for orchard.
22		"Experience in past surface
23		water adjudications indicates that
24		the application of an irrigation
25		efficiency factor such as this to

.

the water duty allocates more water 1 than the typical irrigator needs for 2 3 the particular crop. Consequently, the referee will use a water duty of 4.0 acre-feet per acre per year for 5 all irrigation confirmation which duty is the approximate average for irriga-7 ting alfalfa and meadow grass, the predominating crops in this area." 9 10 Do you recall that language? Yes, I do. 11 Α Now, Bonaparte Creek is on what is sometimes called 12 Q the north half of the Colville Reservation; is it 13 14 not? 15 That is correct. А And it's the part that was returned to the public 16 Q domain early in this century? 17 18 That is correct. Α MR. SWEENEY: I have no further questions. 19 THE COURT: Mr. Veeder? 20 21 22 CROSS-EXAMINATION 23 BY MR. VEEDER; Now, Dr. Maddox, I observe that you have relied 24 0 25 heavily upon what we call Colville Well No. 1 and

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1		I think you allude to it as the center well; isn't
2		that correct?
3	A.	The middle Indian well, yes.
4	Q .	The middle?
5	A	Yes.
6	Q	And are you familiar with the depth of that well?
7	A	Not right now. I could look up the log which I
8		think I have with the U.S.G.S. reports, but not
9		recall type of familiarity.
10	Q	And it appears that on what we allude to as NN-W,
11		the Walton exhibit, that well is in the center of
12		one of your circles there; isn't that right, on
13		Section 16?
14	A	That is correct.
15	Q	Now, did you know that there was a serious error
16		in the depth of the well as reflected by the U.S.G.S.
17		reports?
18	А	I was not aware of such.
19	Q	And would it make any difference to you if the
20	:	measurements from that well as shown on Colville's
21		Exhibit No. 33-10 disclose that the measurements
22		taken on August 20, the date that you relied upon,
23		in 1976, were seven feet below the depth of that
24		well? Were you aware of that?
25	. A	I was aware that there was difficulty about the
		· · · · · · · · · · · · · · · · · · ·

.

1 setting of the pump bowls. 2 Would you answer the question. Were you aware that 0 3 there was an error of that magnitude? A No, I was not aware of that. 5 And would that make any difference in the form of 0 the exhibit that you had, NN-W, if you were aware 7 of that? No, it would not. А It wouldn't make any difference? 0 10 Α No. 11 And the contours would be materially different if 0 12 you had information that was correct; would it not? 13 Α Yes. 14 In other words, the exhibit would look differently; 0 15 would it not? 16 Α If I had correct information, yes. 17 MR. PRICE: Your Honor. Excuse me Mr. 18 Veeder. 19 Mr. Veeder has raised an issue about the level 20 of the well. I don't believe there is any testimony 21 as to who is correct or who is in error in terms 22 of where the well was set or the depth of the well 23 and I think he is misstating -- stating the situation 24 as fact when that position is in issue, Your Honor. 25 THE COURT: Well, I think he is asking,

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

1		assuming that this is the situation.
2		MR. VEEDER: That is how I prefaced it,
3		Your Honor.
4		THE COURT: You may continue.
5	Q	(By Mr. Veeder) Would it not make a difference in
6		the contours or whatever these are that you have
7		depicted on NN-W? That would make a difference;
8		would it not?
9	A	Yes.
10	Q	Assuming that is correct.
11		If the survey data were incorrect and other data
12		were correct, it could make a difference, yes.
13	Q	And during the recess would it be possible for you
14		to dig out 33-10 and also compare the data from
15		the U.S.G.S. report which if memory well, I want
16		you to look at that, would you, during the recess?
17	A	Yes.
18	Q	And so there would be a difference in the format
19		of NN-W if that is shown, you said that; isn't
20		correct?
21	A	That is correct.
22		What is 33-10.
23	Q	33-10 is a hydrograph.
24	A,	Oh, yes.
25	Q	And we will get it for you and we will also get for

1	you the U.S.G.S. report which does indicate the
2	error.
3	MR. VEEDER: Now, I would like to have
4	marked as Colville's Exhibit I believe it would
5	be 39, the next exhibit, and it would be on the
6	base of KKK-W.
7	THE COURT: What is the proposed relation-
8	ship between KKK-W and 39?
9	MR. VEEDER: I was going to offer, we have
10	a copy of Dr. Maddox's KKK up there and I just
11	thought we would offer it as an exhibit. I believe
12	that is the next one; is it not, Mrs. Davis?
13	THE CLERK OF THE COURT: Yes, it is.
14	THE COURT: The reason for my question,
15	Counsel, is let me check KKK here. All right.
16	That has not been yet admitted.
17	MR. VEEDER: Oh, I thought it had.
18	THE COURT: Wait a minute. I'm on KK.
19	I better get down to it. That has been admitted.
20	My question is, if it's the same exhibit, why admit
21	it again or is there some difference?
22	MR. VEEDER: Well, I think there are a
23	great many errors on it, and I didn't think Mr.
24	Price would want me to mark up his precious KKK.
25	THE COURT: All right, proceed.

PAGE 2411

÷

1		MR. VEEDER: Okay.
2	Q	Now, you have stated into the record at page 40
3		of the transcript that in your opinion the Peters
4		observation well was plugged; isn't that what you
5		said?
6	A	That is correct.
7	Q	Did you investigate whether it was plugged or not?
8	A	No, I did not.
9	Q	And you just surmised it, then, is that correct?
10	A	Based on the data available.
11	Q	You just surmised it.
12	А	Yes.
13	Q	Now, I ask you to step to what we have identified
14		as Colville's Exhibit No. 39 which is your KKK and
15		ask you to check the observation well, and you will
16		observe that it is marked with a mark 1152. Now,
17		did you check that with the United States Geological
18		Survey or not?
19	А	I did not check that specific number. It was a
20		calculation from their data.
21	Q	A calculation?
22	A	Yes.
23	Q	Well, how did you calculate that?
24	А	You substract their depth of groundwater from the
25		elevation of land surface.

1	Q ·	So, you did not rely on that, then.
2	А	Yes, I did.
3	Q	You did or did not rely upon U.S.G.S. for that?
4	А	I relied upon U.S.G.S. data for depth of groundwater
5		and elevation of land surface and I made a
6		calculation to obtain that number, using their
7		data.
8	Q	Well, how did you calculate it, then. What was
9		the modus operendi?
10	А	I subtracted the depth of groundwater from the
11		elevation of land surface.
12	Q	And didn't you find that the depth was ll was
13		not 1152?
14	А	1152 appears on my map. That is the number I
15		calculated.
16	Q	Well, what did you use to make the calculation?
17		I didn't hear quite.
18	A	Elevation of land surface and the depth of the
19		groundwater.
20	Q	And did you measure that yourself?
21	A	No, from U.S.G.S.
22	Q	And are you saying then that there was not a
23		different figure in U.S.G.S.?
24	А	To my knowledge, U.S.G.S. has not calculated that
25		figure.

"

And it doesn't show in the report at page 72, the 1 Q depth? 2 It shows the groundwater. 3 А Would you look at that. Do you have it? 么 0 5 Ά Yes. THE COURT: Counsel, while he is looking 6 at that, I'm not sure which well he's identified 7 by the number 1152 on his --8 MR. VEEDER: That is the Peters observation 9 10 well. 11 THE COURT: Thank you. The data is March 20, 1977. Ι 12 I have page 72. Α have a value for March 22 -- that is '76, pardon 13 14 me. 15 For what? 0 16 I looked in 1976, I'm looking for 1977. А We have a value for March 29, 1977 and they 17 18 show on this page 72 of the U.S.G.S. report a 19 depth of the water from land surface of 20.40, 20 and they have calculated a water level elevation 21 of 1145.88, approximately 1146. 22 So, there is a difference. Q 23 There is a difference from their report and from Ά 24 the data that I was supplied to make this 25 computation.

Q And what was that data?
A It was the field notes of the geological survey
that was supplied to all parties to the litigation.

Q Do you have those with you?

A Not with me, no.

4

5

б

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

Q Well, could you get those during recess?A I would have to go back to my office and it would

take longer than 15 minutes.

MR. VEEDER: Well, this becomes important, Your Honor. He has made extremely important calculations as to the quantities of water, specific yield, and throughout, and I think that this is sufficiently important to see if he could get it at noon, and I would be glad to wait and proceed on this particular point.

THE COURT: Mr. Maddox, I don't know where your office is. How long would it take you to get this information?

THE WITNESS: I may have the information with me, Your Honor. Let me check and see.

This is the Peters domestic or the Peters observation?

23 MR. VEEDER: Peters observation well.
 24 THE WITNESS: I have the values with me.
 25 Q (By Mr. Veeder) And what do you mean by values, Dr.

Maddox?

1

2	А	They are the data sheets supplied to all parties by
3		the Geological Survey I will remove this from
4		my book that show the date of the measurement,
5		the hole cut, the depth below MP, the time, and
6		that was all that was supplied. From that we have
7		a water level elevation elevation of land surface
8		that was also supplied by the Geological Survey,
9		and for the Peters observation well, they indicate
10		the elevation, the measurement point is 1167.876,
11		and height of the MP above land surface is 1.60 and
12		the elevation of land surface is 1166.28.
13		So, consequently, all of the distances below
14		MP should be corrected to elevations, from the
15		elevation of the MP. With this correction, and
16		there is no discreet measurement on March 20, the
17		closest is March 29, 1977. It shows an elevation
18		of 1145.88.
19	Q	So there is a disparity between your map and those
20		figures; is there not?
21	A	That is, well, again, the map shows the Peters
22		observation well and the Peters domestic well,
23		and I might have to refer to another tabulation
24		of data that was put together for the construction
25		of the map, and these are working data.

"olayou"

The data show, and these are indicated, I have 1 to go to another map to find these, which are based 2 3 on U.S.G.S. locations. 4 THE COURT: Counsel, maybe if we took the morning recess at this time, he could assemble 5 6 some material. MR. VEEDER: It would probably save some 7 8 time. THE COURT: Court will be in recess for 9 10 15 minutes. 11 THE BAILIFF: All rise. This Court stands at recess for 15 minutes. 12 (Morning recess is taken.) 13 14 15 16 17 18 19 20 21 22 23 24 25

1		THE COURT: You may continue.
2		
3		CROSS-EXAMINATION CONTINUED
4	BY M	R. VEEDER:
5	Q	Did you have an opportunity to examine Colville
6		Exhibit 33-11?
7		MR. VEEDER: I observed that I have been
8		using 33-10, Your Honor. That is 33-11.
9		THE COURT: Thank you.
10	A	Yes, I examined that exhibit.
11	Q	And did you have an opportunity to look at Figure
12		18 of the U.S.G.S. report?
13	A	Yes, I did.
14	Q	And did you observe that Figure 18 of U.S. Exhibit
15		No. 1, that on the date of August 16, 1977, there
16		is shown a question mark?
17	A	That is correct.
18	Q	And did you check out the depth to water and at the
19		same time check out the depth to where the well was
20		situated the pump was situated?
21	A	As shown on Tribes' Exhibit 33-11, yes.
22	Q	Did you check that out in regard also to page 70
23		of the U.S.G.S. reports?
24	A	Yes, I did.
25	Q	And it does appear that it was pumping, the well

Manne

was pumping on the dates to which you have referred. W. 2 That is a correct statement. А So, as a matter of fact, the U.S.G.S. report was in 3 0 4 error by showing, was it not, that the bottom of 5 the pump was as shown in the U.S.G.S. report. 6 Object to the form of the MR. PRICE: 7 question, Your Honor. 8 MR. VEEDER: I will revise it. 9 THE COURT: Very good. 10 (By Mr. Veeder) Is it not true, based upon your 0 11 checking on these matters, that in actuality the 12 measurement of the U.S.G.S. was six feet below 13 where the pump could have been drawing water? 14 MR. PRICE: Your Honor, I have the same 15 objection, that he can answer as to whether or 16 not there appears to be a disparity between --17 MR. VEEDER: Well, let him answer if there 18 was a disparity, it suits me, Your Honor. 19 THE COURT: Just a moment. Let him finish 20 his objection. 21 MR. PRICE: He can answer as to whether 22 or not he notes a disparity between Colville Exhibit 23 and U.S.G.S., what the records reflect, Your Honor, 24 and beyond that as to which one is accurate, I don't 25 believe he has knowledge to testify to that, Your

Honor.

1

MR. VEEDER: I'm going to revise the whole 2 question then because I want counsel to be correct 3 in this matter too. 4 I'm alluding to the U.S.G.S. figure 18, and you looked 5 0 б at that; did you? 7 Yes. Α And that has marked on there for August 6, 1977, 8 Ο 9 a question mark; does it not? 10 That is correct. Α 11 And what does that question mark reflect to you, Mr. Ο 12 Maddox? That there was some question over the accuracy of 13 Α 14 the reading. Yes, and now we refer to the levels of the water as 15 0 16 disclosed by the U.S.G.S. report on page 70; isn't 17 that correct? 18 I would have to check my copy in the book. Α 19 Well, would you do that. 0 20 That is correct, page 70. Α So, as a matter of fact, assuming that you are 21 Ο 22 looking at the correct page, there appears to have 23 been an error in the U.S.G.S. measurement on that 24 date. 25 MR. PRICE: Object to the form of the

question, Your Honor. He can testify as to whether 1 or not he notices a disparity. As to who is in 2 error, the Tribe or the U.S. Government --3 4 MR. VEEDER: I repeat, Your Honor, I am 5 not mentioning the Tribe. THE COURT: Counsel, he didn't ask it in 6 He asked if it wasn't apparent that there 7 that form. 8 was a discrepancy. 9 The last wording of the question, MR. PRICE: as I understood it, was, wasn't the U.S.G.S. figure 10 11 in error. No, I don't think that's his 12 THE COURT: 13 question. MR. PRICE: If that is not his question, 14 15 then I will not object. THE WITNESS: Would you repeat the question. 16 17 I have forgotten what it was. (By Mr. Veeder) I will start over because I do not 18 0 want any confusion as to what exhibit we are looking 19 20 We are looking at the U.S.G.S. exhibit now. at. We are not looking at the Tribes' exhibit. We are 21 22 looking at the measurement of August 16, 1977, as 23 it appears on Figure 18. 24 А Correct. 25 And that shows a question mark. We review this Q

1		whole thing again.
2	A	That is correct. It shows such a question mark.
3	Q	And what does the question mark mean to you, then,
4		Mr. Maddox?
5	A	There is some uncertainty as to the accuracy of
6		the reading.
7	Q	Yes.
8		Now, in regard to your calculations as to
9		specific yield and as to your 440 acre feet, the
10		whole bit of your testimony, would that not have
11		an effect, if there was a difference demonstrated
12		in regard to the depth of water and to the well
13		and the reliability of the data upon which you
14		predicated your opinion?
15	A	That is correct.
16	Q	So there could be quite a variance there.
17	A	That is correct.
18	Q	Now, will you state, then, into the record whether,
19		based upon your examination of this data, there is
20		a disparity between the actual depth of water upon
21		which you relied and the figures as set forth in
22		the U.S.G.S. report. Is there not a disparity?
23	А	I relied upon data set forth in the U.S.G.S. report
24		for the well in question at the end of August that
25		we are referring to.

AURONAL .

- Conception

ĺ.

Well, answer the question. Isn't there a disparity? 1 0 2 MR. PRICE: He just did answer the question. 3 No, he didn't. He didn't THE COURT: 4 answer the question. 5 MR. PRICE: Your Honor, the question was, 6 would there be a disparity if he relied on the U.S.G.S. 7 figure. He relied on it --8 THE COURT: He can answer yes or no. 9 MR. PRICE: He relied on the U.S.G.S. 10 figure. 11 MR. VEEDER: Your Honor, I think this 12 continuous interference --13 THE COURT: He may answer the question 14 yes or no. 15 THE WITNESS: Your Honor, I would have to 16 qualify my answer. 17 THE COURT: You may qualify it if you feel 18 it is necessary. 19 I relied upon the U.S.G.S. data as it appears in the Α 20 figure shown there and in their report. 21 And what figure was that now? 18? Ο 22 Α Yes, 18. 23 And if these data are in disparity with the true 24 measurements as allegated by the Tribe and shown 25 on Tribal Exhibit 33-11 --

1	Q	We are not using we are talking strictly about
2		the U.S.G.S. report now, Mr. Maddox. I have not
3		brought in this latter in this series of questions
4		I am asking you now.
5	А	Well, there is no disparity between my data and the
б		U.S.G.S. data for that date. I relied upon their
7		data. If their data are wrong, my data are wrong.
8	Q	In other words, if they are wrong, you are wrong;
9		right?
10	А	That is correct.
11	Q	Thank you.
12		Now, let us go back to your figure in regard
13		to the Peters well that you have set forth on your
14		KKK which is the Colville Exhibit No. 39, and
15		let's again take a look at that 1152 and check back
16		on page 72 of the U.S.G.S. report and see whether
17		on page 72 if you find the elevation 1152 anyplace
18		on that page.
19	A	It does not appear on that page.
20	Q	It does not appear anyplace?
21	A	On that page.
22	Q	Now, is there and you said you relied upon the
23		U.S.G.S. report for this data; did you not?
24	А	That is correct.
25	Q	So, as a matter of fact, there is a sharp variance
	1	

		between page 72 upon which you relied and upon your
2		KKK; isn't that right?
3	А	That is correct.
4	Q	Now
5	А	With regard to Peters observation well.
6	. Q	Well, that is what we're talking about. So in
7		regard to Peters observation well, you have relied
8		upon the U.S.G.S. report, Mr. Maddox, there is an
9		error on your map KKK-W; is that not right?
10	А	In regard to the Peters observation well, that is
11		correct.
12	Q	Now, would that error not have a significant
13		difference in your calculated specific yield of
14		10.6?
15	А	No.
16	Q	Why not?
17	A	I didn't use that value in calculating.
18	Q	You didn't even take that into consideration?
19	А	I did not.
20	Q	Now, in regard to your statement, though, that
21		the Peters observation well was plugged, that
22		would have a difference, wouldn't it?
23	А	For that particular time and day, yes, it would.
24	Q	In other words, where you made that statement in
25		the record that I read to you from page 40 of the
	1	

"Hallow

1		transcript, it would not be a plugged well, un-
2		quote, as of the period to which we referred; is
3		that right?
4	A	No. The well is plugged. It's always plugged.
5	Q	How do you know that is plugged?
6	А	You look at all of the data and it doesn't reflect
7		the changes in the aquifer. That one day is just
8		one point in the data.
9	Q	Now, having admitted that there was an error on
10		ll of ll or seven feet on KK-W, how many
11		observations did you personally make in regard to
12		the Peters observation well? How many times did
13		you drop the plumb line down there and measure it
14		yourself?
15	A	None.
16	Q	How many times did you investigate that well
17		yourself?
18	A	None.
19	Q	Now, is it not true that basically and fundamentally
20		you are taking data and arriving at what could best
21		be described as, well, on the basis of your background
22		and all, an educated guess as to whether that is a
23		plugged well.
24	А	In regard to the Peters observation well, that is
25		correct.
	1	

......
It is an educated guess. 1 0 That is correct. 2 А MR. VEEDER: I move to strike his testimony, 3 Your Honor. We cannot possibly rely upon educated 5 quesses here. THE COURT: Motion will be denied. He is б 7 testifying as an expert. (By Mr. Veeder) Now, you have stated, Mr. Maddox, 8 0 that you have observed Colville's Exhibit No. 7 9 10 which is --THE COURT: Counsel, let's do one thing 11 What have you got this man over here to 12 at a time. 13 do? I asked him to lift this thing 14 MR. VEEDER: 15 up so we can look at Exhibit No. 7. -- and you stated that you agree with the general 16 0 outline there of the northern extremities of the 17 18 aguifer; is that not right? 19 That is correct. А And you also stated, at least in your exhibit, KKK 20 Ο and what we have marked as Exhibit 39, that you 21 have calculated the entire area of both the aquiclude 22 and -- both the aquifer and the aquiclude in making 23 your calculations; is that not right, as to specific 24 25 yield?

1 Within those areas that are included as shown on А 2 the various exhibits which numbers I have forgotten 3 which show the isopach maps which did not include 4 all of the red area that you refer to as the 5 aquiclude. In other words, there is a zero line 6 at the south end. 7 Where is that zero line, please. 0 8 Could I refer to one of the other exhibits? А 9 Q You certainly may. 10 The zero line I'm referring to on the isopach of А 11 water level decline March 20 through August 20, 12 1977 lies approximately in the southeast quarter 13 of southwest quarter of Section 21, Township and 14 Range I don't recall right now, but it's in the 15 center of Mr. Walton's property. The granite lip 16 or the end of the red area is further south, 17 approximately in the southeast quarter of Section 18 28, so my zero, the area that I would have to 19 refer to would be bounded by my data which is 20 marked by the zero line on the south that I have 21 just described. On the north it would be marked 22 by a minus one contour which indicates one foot 23 of decline for the purpose of the map. I used that 24 as a zero decline and lies to the north of the 25 marking for gravel pit and is generally within

1 the northeast guarter, northeast guarter, Section 8. 2 Now, is it not true, alluding again to --Q 3 MR. VEEDER: May I approach the exhibit 4 here, Your Honor. 5 THE COURT: You may. 6 (By Mr. Veeder) Is it not true that utilizing the 0 7 Tribes' Exhibit No. 7 and locating the areas upon 8 which you relied and the cross-section to which you 9 ran apparently from granite to granite, you relied 10 upon a well outside of the aquifer; did you not? 11 Yes, to the extent that it lies beyond what I Α consider to be a groundwater divide. 12 13 Would you say that again. 0 14 I relied upon a well which you describe as Α Yes. 15 being outside the aquifer and I agreed with that 16 statement in that the well lies northwesterly of 17 what I consider to be a groundwater divide, so 18 upon that basis it would lie outside the principal 19 aquifer of the No Name Creek drainage basin. 20 And would it not also be a well that is in very Q 21 "tight" material, a well that -- it would not be 22 in water producing area; isn't that right? 23 I agree that it would be in tight material relative Α 24 to the No Name Creek aquifer, but water producing 25 is too broad a term. I could neither agree nor

disagree with that. 1 You don't know whether if you drill a well there 2 0 that it would be productive or not; do you? 3 4 That is correct. А 5 You don't know. Q б I do not know. А So, as a matter of fact, when you were coming up 7 Ο with your 10.6, you were using water -- you were 8 9 using a well outside of the No Name Creek aquifer. 10 How --11 I was --Α 12 You didn't? 0 I was using a well on the northside of what is 13 А 14 normally the groundwater divide --Now, as a matter of fact, though, the specific yield 15 0 of 10.6, in your opinion, certainly would not be 16 applicable to that well; would it? The one that 17 18 I'm alluding --It would be right at the boundary 19 Generally not. Α that I have drawn and it would be a question, if it 20 is applicable, it would be marginally so. 21 22 It would be marginally so. 0 23 It would be right at the boundary. Α And it might make a difference actually as to the 24 0 25 10.6; is that right?

1	A	That is correct, if it were outside.
2	Q	But you do not know, yourself?
3	A	I I know that it is at the boundary I considered
4		for the area of decline.
5	Q	Now, as we move on down a little further, we also
6		find, using your lines on Colville's Exhibit 36 [sic]
7		or KKK, that you relied upon another well that I
8		have had designated for you as outside the groundwater
9		aquifer; isn't that correct?
10	А	That is not correct.
11	Q	Would you step up there and take a look, please, and
12		state where you observe this location.
13	A	The location would be generally on the southwest
14		quarter of the northwest quarter of the southwest
15		quarter of Section 9. The well in question, as I
16		understand it, would be the old mission well.
17	Q	And are you saying that that is inside of the aquifer
18		or outside?
19	А	I say it is within the boundaries of the aquifer.
20	Q	And is it inside the boundaries of the aquifer as
21		shown on 7 here?
22	A	Yes, it would be at the boundary but inside, in my
23		opinion.
24	Q	You say it would be inside?
25	А	Yes.

Submer .

1	Q	Now, as a matter of fact, if we were to take into
2		consideration the 10.6 that you have relied upon
3		there, would it not be important to consider actually
4		the inflow of water into the groundwater aquifer
5		while you are calculating the 10.6; wouldn't that
6		be important?
7	Ą	If it were other than a constant, it would be
8		important, yes.
9	Q	Now, when you say a constant, Mr. Maddox, are you
10		stating to this Court and into the record that the
11		flow of I mean the precipitation month-in and
12		month-out is a constant?
13	A	No.
14	Q	Then how do you get a constant then from precipitation?
15	А	We are speaking of precipitation as the groundwater
16		aquifer would receive precipitation.
17	Q	Just a moment. What do you mean by that?
18	A	That the groundwater aquifer recognizes precipitation
19		in the form of recharge to the aquifer, replenishment
20		of the aquifer. So, looking at precipitation on
21		those terms, precipitation falls on land surface,
22		part is lost by direct evaporation, part by
23		evapotranspiration from soil moisture, part runs
24		off and part goes down into deep percolation. The
25		aquifer cares about nothing of the other parts

"Linnese

Sec.

1		other than that that goes into the deep percolation.
2		Now, the deep percolation is guided not by the rules
3		of hydraulics, but rather by non-saturated flow
4		which is a phenomenon of moisture moving as a
5		vapor front.
6	Q	As a what?
7	А	Vapor front.
8	Q	A vapor front?
9	A	A drop of water does not migrate through non-saturated
10		soil, but through moisture vapor and then behind
11		it follow droplets of water. The groundwater aquifer
12		sees this vapor front as it reaches the zone of
13		saturation where again we can apply the rules of
14		hydraulics.
15		My reasoning is to say that despite daily,
16		weekly, monthly and annual fluctuations in
17		precipitation, as long as there is the basic
18		precipitation for the watershed which is a long
19		term mean, the amount of recharge to the aquifer
20		would be constant or near constant.
21	Q	Now, are you saying that during the month of July
22		or the month of August, that if it didn't rain at
23		all and fall on the surface of this area, that you
24		would have a constant recharge during the month of
25		August?

If the month before and the month before that rain 1 Α had been at some normal. In other words, taking the 2 month of August, it would have no influence one 3 way or the other, but if you take a month for about a three or four year period of time, the entire time 5 span and average the precipitation, that controls 6 the recharge to the aquifer, not one month's 7 precipitation. 8 Suppose you had a period such as we had during the 9 Q '30's and early '40's? 10 Then, the amount of recharge to the aquifer would 11 А decrease as a result of these long term periods 12 13 of low precipitation. So, then it wouldn't be a constant; would it? 14 0 Not in terms of the span of years that would include 15 А these years that were of low precipitation. 16 But doesn't sense and sensibility when we are talking 17 0 about a Colville irrigation project, require that 18 you take into consideration the "non-constant" 19 20 periods that you just alluded to? 21 Very definitely. Α So, as a matter of fact, when you say that there is 22 Q constant, you do not really mean a constant for a 23 24 long time period; do you? 25 It would be limited by the field of data you Α No.

have to work with.

1

2	Q	So, as a matter of fact, you would like to shift
3		your position just a little bit about this constant
4		contribution from precipitation; wouldn't you?
5	А	No, not at all.
6	Q	Well, how, then, can you reconcile what you said
7		when we say, well, there was a period of you
8		are too young to remember, perhaps, but I remember
9		it very well that there was about ten years of
10		very bad, very short precipitation in this area
11		and throughout the rest of the western United
12		States; isn't that right?
13		THE COURT: Just a moment.
14		MR. PRICE: I don't believe that was a
15		question. It started
16		MR. VEEDER: I will start again.
17		THE COURT: Objection sustained.
18	Q	(By Mr. Veeder) Isn't it true that based upon the
19		records
20	A	That is correct.
21	Q	that are in this evidence
22	A	That is correct.
23	Q	there were periods of very short supply.
24	A	Of precipitation; that is correct.
25	Q	And that would change the constant concept that

1 you alluded to? On the long-term basis, it would. 2 А Now, isn't it also true that from the 3 0 Yes. ⊿ standpoint of precipitation, year-in and year-out, and month-in and month-out, that these are sharply 5 variable, the precipitation is sharply fluctuating 6 and changing; isn't that true? 7 Not as the groundwater aquifer sees it, no. 8 А As the groundwater aquifer does what? 9 Q Sees the precipitation. 10 Α As it sees the precipitation. 11 Q 12 That is correct. Α Now, I will go back once more. You have a short 13 0 period in June. You have a short period in July. 14 You have no rain whatever in August. You have a 15 short period in September, of precipitation. 16 Are you saying during those four months there would be 17 a constant deposition of water into the aquifer? 18 19 That is correct. Ά 20 MR. PRICE: Excuse me, Mr. Veeder. As to the last question, Your Honor. I'm 21 assuming that was in the form of a hypothetical. 22 23 THE COURT: I assume so. 24 I want this MR. VEEDER: By all means. 25 all hypothetical because this is where we are, Mr. Price.

1

2	Q	Now, are you saying that there was no there was
3		constant in the period of 1976 during a very short
4		period of precipitation?
5	A	There was constant recharge to the aquifer, yes,
6		my assumption is that there was.
7	Q	And it didn't make any difference that there was
8		no rainfall at all for a long period of time?
9	А	In terms of 1976, no, it did not.
10	Q	And how could that be?
11	A	Again, as I explained earlier, from the precipitation,
12		the aquifer doesn't care about anything except that
13		precipitation that is percolating down through
14		non-saturated media to the aquifer and sometimes,
15		and there are no data available for the No Name
16		Creek aquifer, but there have been extensive studies
17		carried out on this. Sometimes it may require
18		years for any particular drop of precipitation to
19		move as both a droplet and as a vapor front to the
20		point where it joins the saturated media that forms
21		the aquifer. The period of time, of course, varies.
22		There are no data to indicate what that period of
23		time is for the No Name Creek aquifer.
24	Q	So, as a matter of fact, you don't know how long it
25		takes water to get into the aquifer after precipitation;

1 do you? 2 That is correct. Α 3 So, as a matter of fact, you don't know whether it 0 A is a constant or not, if it rains today, how long 5 it is going to take for that water to get in there; б do you? 7 Yes, I know it's a constant. А 8 How long will it take? 0 9 I would say it would take a minimum of five years. Α 10 And how do you figure that? 0 11 Just by the thickness of non-saturated medium and Α the type of soil that were penetrated by drills in 12 13 the general area and going to general tables that 14 are available. 15 And what is the depth of that; do you know? 0 16 It varies throughout the aquifer everywhere from Α 17 10 feet, we will say, to 35 or 40 feet. And you are saying that at 10 foot depth, it would 18 Q 19 take five years for it to enter the aquifer? 20 No, I'm talking of an average number. The average Α would be five years. It would be more in a 10 foot 21 22 depth and less in the thicker. 23 And what is the average depth of the aquifer? Q 24 Depth of the aquifer --А From south -- from fluctuating groundwater table to 25 0

1		the land surface?
2	А	I would have to give a general number and say
3		somewhere about 30 to 35 feet.
4	Q	But you don't know; do you?
5	А	I have never calculated it, no.
6	Q.	That's right. So this is, once more, an educated
7		guess; right?
8	A	That is opinion, that is correct.
9		MR. VEEDER: Then I move to strike it,
10		again, Your Honor.
11		THE COURT: Denied.
12		MR. VEEDER: Because I don't think we
13		should have educated guesses.
14	Q	Now, Mr. Maddox, on page 46 of your testimony, I
15	-	observe that you have stated into the record that
16		you have calculated from August 20 through that
17		is 1977 through January 5, 1978, that you estimated
18		a 440.98 acre-feet would be the quantity of water,
19		if I perceive what you are saying, that would be
20		the volume, that would be the quantity that would
21		enter the aquifer; is that right?
22	А	As I recall my testimony, that is what I said.
23	Q	Now, in the light of the variations that you had,
24		Mr. Maddox, and the fact that there were errors on
25		some of these locations as to depth of wells and

- Summer

1		so forth, do you still adhere to the concept that
2		there was 440.98 acre-feet?
3	A	I would have to qualify my answer.
4	Q	And to the extent of the qualification, would you
5		state that into the record.
6		THE COURT: Just a moment.
7		MR. PRICE: Your Honor, I ask that the
8		question be more specific. Counsel has alluded to
9		errors in general and I do not know
10		MR. VEEDER: I will be specific.
11		THE COURT: I don't think he needs to
12		repeat all of the testimony. I think we all
13		understand. He can answer the question.
14	Q	(By Mr. Veeder) Would you proceed.
15	A	The conditions of my answer would be that the Peters
16		observation well was not included in my computations
17		of the amount of recharge, therefore, that would
18		have no bearing either plus or minus. There is a
19		discrepancy between the Geological Survey data which
20		I used and the Tribes' data as to the depth to
21		groundwater on the middle Indian well and that would
22		affect my computations and, consequently, the number
23		440 acre-feet or actually it would come from the
24		10.6 percent value for specific yield. That would
25		increase and consequently that would increase the

		amount of recharge from 440 acre-feet to something
2		larger.
3	Q	But you don't know what it would be.
4	A	I would have to recomputate it, using the Tribes'
5		data for the middle Indian well.
6	Q	And now, in regard to the 440 acre-feet, would you
7		state into the record why you do not take into
8		consideration an actual drainage from the aquifer
9		during that period.
10	А	I don't understand what you mean by natural drainage.
11	Q	Well, do you know that water runs out of the
12		aquifer and runs downhill?
13	А	Yes.
14	Q	And the natural flow out of there is a continuous
15		thing; is it not, a natural flow out of the aquifer
16		is, under normal circumstances, anything we have in
17		the record would show that the water runs out of
18		that aquifer.
19	А	I agree.
20	Q	During the month of January.
21	A	I agree that the water runs out of the aquifer during
22		the month of January and the data so reflects, but it
23		is not a constant.
24	Q	Are you saying it's an intermittent stream running
25		out of there?

1 The flow fluctuates day by day and hour by hour Α 2 and the measurements so indicate. 3 But it is a drainage out of the aquifer; isn't it? Q 4 It is a discharge from the aquifer. Α 5 And it is not a constant. 0 6 Α It is not a constant; that is correct. 7 So, but it is reducing the quantity of water in the Q 8 aquifer; is that not right? 9 Α It reduces in one area and adds to another. 10 Well, how does it add to another, where? 0 11 As it discharges from the spring zone on Mr. Walton's А 12 property, we have a reduction in the water or a 13 control to the water level to the north of that. 14 To the south it is recharging. 15 Recharging what, Mr. -- ? 0 16 The aquifer that lies on Mr. Walton's land. А There is 17 a stream flow loss as the stream discharge crosses 18 Mr. Walton's land and the survey data so indicated 19 as does the Tribes' data. 20 That there is a loss into the aquifer? 0 21 That there is a loss of stream flow and it is my Α 22 presumption that the loss is into the aquifer. 23 But you don't know that; do you? 0 24 I have -- it's my opinion that it is. Α 25 And what is the basis of your opinion. It's flowing 0

1		over an aquiclude; is it not?
2	A	It is not an aquiclude. That is part of my opinion.
3		The second part is that the loss occurs without
4		phreatophyte losses, that is, during cooler weather
5		when the trees and grasses are not in bloom.
6	Q	During that period there would be no certainly
7		during the months after the frost comes there would
8		be no evapotranspiration losses; would there?
9	A	They would be de minimus. They would be there, but
10		very small.
11	Q	That's right, so what you are saying there would be
12		losses into the aquifer, then?
13	A	That is correct.
14	Q	And how have you calculated the extent of those
15		losses?
16	A	It is it can be calculated but I have not made
17		such a calculation.
18	Q	But you didn't do that; did you?
19	А	Not for this trial, no.
20	Q	And was there any place where you could recover that
21		water from the aquiclude if there are losses into
22		it?
23	A	Yes, it is recoverable.
24	Q	And how would that be done?
25	А	Part of it recovers through Mr. Walton's pond. There
	1	

Succession of

1 is a groundwater discharge to the pond. Part of it 2 is recovered by -- if you can term it recovered --3 reappears at land's surface down on the north side Δ of the granite lip on Mr. Walton's property. But, as a matter of fact, that is down below any 5 0 6 place where he can use it; is that not right? 7 MR. PRICE: That is calling for a conclusion, 8 I think, that this witness doesn't know about. 9 THE COURT: Well, he can so testify, if 10 he can. 11 It could be pumped back up and put on Mr. Walton's Α 12 land. 13 But there is no facility to do that now; is there? 0 14 Α None that I know of. 15 So, as a matter of fact, when we are looking at the 0 16 flow running out of this aquifer, you have not 17 basically taken that into consideration in regard 18 to your 440; isn't that right? 19 Α Yes, I have. 20 Then how much did you attribute to that? 0 21 Nothing. I said it was a constant. Α 22 Ο It was what? 23 А A constant. 24 It was a constant loss from the 440? Q 25 It was either a constant loss or a constant gain. Ι Α

just negated it from the calculations. 1 I hope somebody else is following you; I'm not. 2 0 3 THE COURT: Counsel, just ask the question. Excuse me. I shouldn't have MR. VEEDER: said that, Your Honor. 5 Now, in regard to the 440 acre-feet that you are 6 Ο 7 alluding to, you didn't take into consideration 8 any inflow; is that right? 9 I considered inflow to be a constant. А 10 Therefore, you didn't consider it? Ο 11 That is correct. А 12 And we have been through this precipitation bit; 0 13 haven't we, so we don't have to go into that again. 14 А That is correct. Now, I'm going to ask you to step to what I call 15 Q your KKK here and request that you state into the 16 17 record the contours upon which you relied in making 18 your determinations. I see you have got 1075, 19 1080, 1085 and 1090; correct? 20 Α Correct. 21 And where did you get those? Q 22 Those are data calculated from the U.S.G.S. water Α 23 level measurements made available to me. 24 And aren't those contours also -- aren't there Q 25 contours shown on here that are 40 foot contours

taken, I presume, from the U.S.G.S. quad; is that 1 right? 2 That is correct, those are land surface contours. 3 Α And they are extremely important; are they not, Δ Q from the standpoint of making the calculations. 5 6 No, they are not. Α 7 Well, we will see. Ο Now, we will start here and we find that the 8 land surface contour is 1080 at the point where the 9 red arrow indicates a bright red mark that we have 10 marked on there; isn't that right? 11 MR. MACK: Your Honor, if I might 12 interrupt. As long as we weren't referring to 13 any new marks, I didn't have any objection to 14 referring to that as KKK, but I think what Counsel 15 is really referring to is Colville's Exhibit 39. 16 MR. VEEDER: I will be delighted to refer 17 18 to it as 39. THE COURT: The exception is well taken. 19 In fact, 39 hasn't been offered. 20 MR. VEEDER: Well, I will ask a question, 21 22 then. MR. PRICE: Your Honor, I would object 23 to further questioning regarding the exhibit. I 24 assume Counsel is going to try and pose many questions 25

2446

1	about it and then offer it as being related to the
2	testimony. I think it should be offered.
3	MR. VEEDER: Well, I will make the offer
4	now, then, Your Honor.
5	MR. PRICE: And I would object, Your Honor,
6	in that it has writings inserted there by somebody
7	which merely point out the contour levels that are
8	already on there and in addition to the north in-
9	accurately state this witness's testimony as to
10	inside or outside the aquifer.
11	MR. VEEDER: Well, I made the offer, Your
12	Honor.
13	THE COURT: The difficulty is that we
14	may be better off to mark up this rather than
15	mark up the KKK or N. I have forgotten which it
16	is.
17	MR. VEEDER: KKK, Your Honor, and I was
18	just being courteous to the witness here.
19	MR. PRICE: I appreciate Mr. Veeder's
20	courteousness. I have no objection to the exhibit
21	as originally depicted and marking on it. I have
22	to object to the writings that appear on there
23	that have been inserted by somebody else. If these
24	were deleted, I would have no objection to marking
25	it.

THE COURT: Any other objections to the 1 exhibit? 2 MR. MACK: The State agrees with Mr. Price. 3 4 THE COURT: Well, I think his point is well taken that the writing appearing on there hasn't 5 been supported by any testimony. Of course, it may 6 be marked later. I'm going to admit Exhibit 39. 7 However, before it finally goes into the record, we R 9 may have to delete some of the markings on there unless they are substantiated by testimony, but 10 11 you may proceed. (Colville Exhibit 39 is admitted) Fine, Your Honor. Thank MR. VEEDER: 12 13 you. Now, would you give us, on the basis of your own 14 0 testimony, Mr. Maddox, the ground level elevation 15 and the contour by looking at what we will refer to 16 17 as Colville Exhibit No. 39 as it pertains to what we have marked on here, sort of a red area, and 18 19 you can see the exterior lines of the contour 20 running up there. 21 Would you state into the record what that 22 contour surface level is, that contour of land 23 elevation. 24 According to the exhibit, the land surface would А 25 be less than 1080.

	1	
	Q	And how would you calculate that? Would you start
2		then and work up, find your contour on there from
3		the U.S.G.S. map? This is your map, Mr. Maddox.
4		Would you find contour number elevation 1000,
5		state into the record the distance between each
6		contour and then proceed to tell us what contour
7		line embraces and encompasses what we have marked
8		on there as a red area. Would you do that for us.
9	A	Within the accuracy of the map, I could.
10	Q	The accuracy of the map?
11	A	Yes.
12	Q	Aren't those contours right?
13	A	All U.S.G.S. maps are drawn with a certain field
14		accuracy which is plus or minus one contour interval,
15		and as you stated earlier, and I was trying to
16		calculate if this was 20 foot contour interval or
17		40 foot. Assuming your statement is correct, it
18		is a 40 foot contour interval, that means that
19		the accuracy of the map is plus or minus 20 feet
20		at each contour shown.
21	Q	Just a moment. By what authority are you stating
22		that there is an inaccuracy of 20 feet for each
23		one of the contours? Is that what you are saying?
24	A	That is correct. The maps are constructed with an
25		accuracy of plus or minus one-half contour interval.

......

1	Q	Is that your personal knowledge?
2	А	Yes, it is.
3	Q	And you have checked it out on the ground?
4	А	Yes.
5	Q	And you checked it out on the ground on this one?
6	А	No, I have not.
7	Q	In other words, you don't know whether that is
8		correct or not here; isn't that right?
9	A	I have not measured it to determine that.
10	Q	So, you don't know?
11	A	I know that that is the accuracy sought for in the
12		maps.
13	Q	Now, didn't you offer this map yourself as an
14	<i>.</i>	exhibit to begin with?
15	A	Yes, I did.
16	Q	And did you qualify the groundwater the surface
17	2	level contours on this when you offered the map?
18	A	No, I did not.
19	Q	Now, would you proceed to state into the record
20		on the basis of what appears on this map, on the
21		map that is here that we are looking at, at 39,
22		would you state into the record what that contour
23		is?
24	А	The contour shown on the map, as I testified earlier,
25		is 1080 which marks the northern, western and eastern

(interest

terminus of the red area you have depicted on the 1 2 map. Now, isn't it also true when we look at the 3 0 Right. elevations that you have on here of 1080 and 1085 and to 1095, that basically using the data that you have 5 offered you have groundwater stored above land 6 7 surface; isn't that correct? That is correct. 8 Α So, as a matter of fact, you have a physical 9 Q phenomenon that is impossible; isn't that correct? 10 No, that is not correct. 11 Α Is there a lake down there now? 12 Q There is a swampy area and a spring area. 13 А 14 But it is not part of the groundwater; is it? Q 15 Yes, it is. Α You mean the water on top of the surface is part 16 0 17 of the groundwater? The spring is an outcropping of the water table. 18 А 19 No, but I'm talking about the area within that Q 20 red area. Now, that is not all spring; is it? 21 It's a swamp area, yes. А So, the groundwater that you are calculating there 22 Q in regard to your 440 is part above the ground; 23 24 isn't that right? 25 That is not included in the 440. А

	Q	Well, how can it be otherwise? You have got your
2		groundwater contours there; don't you?
3	A	As I testified earlier, the southern terminus that
4		I use in computating my 440 is the zero line shown
5		on Exhibit NNN-W which lies approximately in the
6		southeast quarter, southwest quarter, Section 21.
7		The red area depicted on Exhibit 39 lies within the
8		northwest quarter, northeast quarter of Section 28,
9		some distance to the south.
10	Q	Now, is the entire area outside of which you cal-
11		culated your 10.6?
12	А	The area line was in the northwest quarter, northeast
13		quarter, Section 28, the red colored area, yes.
14	Q	The entire red area is out of your calculation?
15	A	Within the northwest quarter, northeast quarter,
16		Section 28, it is outside, yes.
17	Q	The entire red area is out?
18	А	Within the northwest quarter, northeast quarter,
19		Section 28.
20	Q	But part of it is within; is it not?
21	A	No, it is not.
22	Q	None of it?
23	А	Not the one that is in the northwest quarter,
24		northeast quarter, Section 28, it is not within
25		the area.

"Number

MR. VEEDER: May I have just a moment to 1 look at this, Your Honor. 2 Now, where is the boundary line between Section 21 3 Q A and 28? The dashed line shown on the exhibit. 5 Α So, as a matter of fact, part of that red area is 6 0 7 north of that line; is it not? 8 Α That is correct. 9 So part of your calculation you have groundwater 0 stored above the surface area; isn't that true? 10 11 That is not correct. А 12 In your opinion? Q 13 No, factually. Α Then what is this water here that is standing 14 Ο above the ground in the base of your contours? 15 16 That is a swampy area and spring area. А And is not part of your calculation of 440? 17 Q 18 That is correct. А Now, we move up a little further and we have 19 Q 20 another area, red area, depicted here, and I 21 will ask you to state into the record, using 22 your exhibit and the contours as shown on there 23 for the surface area, what is the level, what 24 is the groundwater contour shown there? 25 There are three groundwater contours generally Α

involved, the 1115, the 1120 and the 1125, and it ١ would go over to about the 1127, 1128 contour if 2 such were drawn. 3 You are talking about the land surface contours? Q No, that is water level. 5 А I asked you for land surface contours. 6 Q Land surface contours, the one shown on the boundary 7 Α in the red is the 1140, approximately. 8 And you show your groundwater contours as 1120 to 9 0 1125, do you not, in that area? 10 11 That is correct. А So, once more, you have groundwater stored above 12 0 the land surface; isn't that right? 13 The water level contours go above land surface; 14 А 15 that is correct. And as a matter of fact, isn't that a physical 16 Q impossibility there were you have got a groundwater 17 18 table? As I testified earlier, that is an outcropping of 19 Α spring area and it is what I would anticipate would 20 21 occur in an outcropping of spring area. So your contours are really not as to groundwater 22 0 but as to surface water in that area; isn't that 23 24 right? No, they are as to groundwater. Groundwater crops 25 А

1		out in spring areas and becomes surface water.
2	Q	Well, that is what has transpired here; isn't that
3		correct?
4	A	That is correct.
5	Q	So, when you are calculating your 10.6, you are
6		using groundwater contours; are you not, that are
7		relating to surface water; isn't that right, at
8		least in those areas?
9	A	At least in those areas; that is correct.
10	Q	So, there would be a further disparity, then, as
11		to the reliability of your calculations on the
12		10.6; isn't that true?
13	А	That is correct.
14	Q	And have you any idea to the extent of that
15		variance?
16	. A	That would cause the number to be lower than the
17		440, whatever the number was that I testified to
18		earlier.
19	Q	And isn't it also true that when we are observing
20		this, Mr. Maddox, that if you have that situation
21		in we have got a very limited red area there
22		that you must have saturation right at the surface
23		in the rest of the areas around there, to some
24		degree; isn't that right?
25	. A	I would anticipate this to be so, yes.

So, once more, we have a situation where your 440 1 0 may be somewhat suspect as to the exactitude of it; 2 3 isn't that correct? Oh, very definitely. Α 5 Very definitely. Ο 6 Now, we proceed, then, to the next element. 7 As I perceive it, you really have three steps here; isn't that correct? You had your 10.6; you had 8 your 440, and then you came down to an estimate or 9 10 I guess we have been calling it educated guesses, 11 as to the twelve to thirteen hundred acre-feet, and you arrived at that, did you not, by simply taking 12 the 440 and multiplying it by 3; isn't that correct? 13 14 No, that is not correct. Α 15 Well, how did you get your -- I have before me on 0 16 page 46 a statement that, in your opinion, the 17 volume will recharge in the basin the entire year 18 is approximately three times the volume one, computed 19 for the period of August 20 -- I'm reading. Do you 20 want to look at this yourself? 21 Ά No. 22 I will read it again then. Q 23 "It is my opinion the volume of 24 recharge in the basin to the entire 25 year is approximately three times the

1		volume (1) computed for the period
2		of August 20 through January 5. In
3		other words it would be about twelve
4		to thirteen hundred acre-feet."
5	A	I so testified.
6	Q	And in light of the fact that there are quite
7		obviously variances in your 440, depending upon the
8		accuracy of the numbers you used, that 1230 to
9		1300 acre-feet might also be well, it is not
10		precise in any sense; isn't that right?
11	A	That is correct. It is bound by the accuracy of
12		the numbers you use to derive it.
13	Q	Yes, to the extent there are variations, we will
14		have to look at a different number for exactitude;
15		isn't that right?
16	A	That is correct.
17	Q	So, we are really in this situation if we find
18		inexactitudes in the U.S.G.S. report, there are
19		inexactitudes in your conclusions.
20	A	That is correct.
21	Q	Now, you have stated that you have calculated
22		quantities of water that would be consumed in the
23		state of nature.
24	A	By natural vegetation, yes.
25	Q	By natural vegetation, thank you.

PAGE 2457

۱		And would you state into the record the kind
2		and type of natural vegetation, phreatophytes, if
3		you will, that you had in mind when you were talking
4		about the water requirement or the consumptive use
5		of these phreatophytes. What kind of phreatophytes
б		are they?
7	A	Alder.
8	Q	Would you say that?
9	A	Alder trees.
10	Q	Alder trees, yes.
11	A	Low bushes, I don't know what the name of them are.
12		They grow quite densely down below the granite
13		lip on the lower allotments and they stand eight
14		or ten feet high and then the grass and, of course,
15		cattails, tules.
16	Q	Would you say the meadow grass would be a phreato-
17		phyte? That is where you walk in and we have all
18		been in mountain areas where you see grass growing
19		in a meadow. Is that a phreatophyte?
20	A	For the terms of my computation, yes, it would be
21		in that it would have a natural evapotranspiration
22		demand on the system.
23	Q	Have you any idea how much well, is it correct
24		to use them as phreatophytes? Aren't they water
25		loving plants?

Remov

A That is correct.

2	Q	And meadow grass is not a water loving plant; is it?
3		I'm just inquiring.
4	А	Yes, to the extent that it has to have water to
5		survive, it is a water loving plant.
6	Q	And would you state into the record whether meadow
7		grass, as we all know it, utilizes water throughout
8		the entire irrigation season, in a state of nature,
9		for example, on the Walton lands, all of the Walton
10		lands.
11	А	Yes, meadow grass uses water throughout the year on
12		all of Mr. Walton's land.
13	Q	In the state of nature?
14	A	Yes.
15	Q	And was all of Mr. Walton's land covered by meadow
16		in the state of nature, or don't you know?
17	А	I don't know.
18	Q	So, as a matter of fact, it would be very speculative
19		on your part, then, to calculate the water require-
20		ments of whatever vegetative cover was on that land
21		during, in the state of nature, antecedent to any
22		plowing by Mr. Walton?
23	А	That is not correct.
24	Q	Wouldn't it be speculative to calculate the
25		quantities of water utilized, for example, by just

(abagur

"magni

١		the normal grass that grows in the spring and dries
2		up during the hot summer months?
3	A	No, it wouldn't.
4	Q	And have you been in that country during the period
5		prior to the plowing up of and seeding into alfalfa
б		of 892?
7	A	No.
8	Q	You didn't see that?
9	A	No.
10	Q	So, you don't know what kind of vegetative cover
11		was there, for example, antecedent to 1975?
12	A	No.
13	Q	So, it would be purely speculative to guess at
14		what kind of coverage was on there; isn't that right?
15	A	Prior to 1975, that is correct.
16	Q	It would be speculative on your part?
17	А	That is correct.
18	Q	Because you don't know.
19	А	That is correct.
20	Q	Now, do you find any great difference between
21		Allotment 892 which is the piece of land immediately
22		above Mr. Walton's property and Mr. Walton's upper
23		alfalfa field? Do you know where that is?
24	А	Yes.
25	Q	Have you had an opportunity to find that there was

- New York

1		any difference in the quantity of water that would
2		be used in the state of nature on those pieces of
3		property?
4	А	I would have to state an opinion.
5	Q	Well, if you don't know, how can you state an opinion
6		on a purely speculative matter?
7	А	Based upon the soil data available.
8	Q	And did you make a soil survey up there yourself?
9	A	No, but the Tribe did and so did the the soil
10		data are available from the drilling by the Geological
11		Survey.
12	Q	But you don't know what kind of vegetative cover was
13		there; do you?
14	A	Not prior to 1945.
15	Q	' 45?
16	Ą	'75.
17	Q.	Now, assuming that the grass started growing in the
18		springtime and it dried up totally, it just dried
19		up, turned brown, didn't grow at all, in the month
20		of July, August and September, wouldn't that make
21		a difference in the quantity and the water
22		requirements that was actually being taken out of
23		the area by the vegetative growth on those allotments?
24	· A	During the time
25	Q	Wouldn't that make a difference?
	1	

- Carlos

-

1	A	During the time the grass was dry, yes, it would.
2	Q	So, assuming if the grass were dry from roughly
3		the middle of early July for the rest of the period,
4		the quantity of water, the water requirements would
5		be greatly reduced by the fact that the grass was
6		dry.
7	А	During those months.
8	Q	Yes. Now, have you seen alfalfa grow on Mr. Walton's
9		land?
10	A	Yes.
11	Q	And it grows there during the latter part of the
12		summer by reason of irrigation applied to it; isn't
13		that right?
14	A	In my opinion, that is correct.
15	Q	So, as a matter of fact, during the dry period when
16		the grass would normally be dried up and the period
17		now that is being irrigated in alfalfa grown, there
18		would be a sharp difference in the water requirements;
19		would there not be?
20	A	For those months; that is correct.
21	Q	So, there would be a very drastic difference, then,
22		between the lands in the state of nature and the
23		water use during this period when it is being
24		intensely cultivated and irrigated far more, being
25		intensely irrigated, period.

(journe,
1	А	I would have to qualify my answer.
2	Q	Well, go ahead and qualify it.
3	А	During the period when, under natural growth, the
4		growth would dry, and we are speaking solely of
5		grasses now, as compared with irrigated alfalfa
6		as practiced by Mr. Walton, during those months,
7		the irrigated alfalfa would have a higher demand
8		for water than would the natural grass, natural
9		vegetation, for those months.
10	Q	Yes, and how many days would you you are familiar
11		with the general area; aren't you?
12	A	I am.
13	Q	And have you ever seen areas that are in eastern
14		Washington where the grass on the surface was just
15		plain dry?
16	. A	Yes, I have.
17	Q	And isn't that the general situation in this area
18		during the months of July, August and September?
19	А	Generally speaking, August, late July, August,
20		September most certainly.
21	Q	So, as a matter of fact, you couldn't attribute
22		water requirement for consumptive uses during that
23		period as you would during the period of farming
24		and irrigation as we have it now.
25	А	For grass, that is a correct statement.

A DITA

1	Q.	And if we are speaking now of the normal vegetative
2		cover just a moment. Do you have any idea as to
3		whether there was any, what the vegetative cover
4		was antecedent to plowing and planting to alfalfa
5		in 892, for example?
6	А	I do not.
7	Q	And you have no idea, then, in regard to the
8		northern part of Mr. Walton's property, do you,
9		as to what kind of vegetative cover was there ante-
10		cedent to when he began farming in 1948?
11	A	That is correct.
12	Q	So, as a matter of fact, when you say that you can
13		compare or you would make assumptions as to the
14		quantity of water used in the state of nature, it
15		is quite conjectural; isn't it, on those lands?
16	A	No, it is not.
17	Q	Well, now, if you don't know what the vegetative
18		covering was there during that period and you say
19		you don't, how could you arrive at a conclusion
20		predicated upon a factual situation and come up
21		with a conclusion?
22	A	I look at the land below the granite lip prior to
23		them being redeveloped by the Tribe and came to
24		my conclusion about the ecology of the natural
25	1 	growth that would cover the area should farming

"Galance

1		by Mr. Walton cease.
2	v v Q v v	Now, are you saying that the situation on 901 and 903
3		below the granite lip, are comparable to the areas
4		in the northern portion of Mr. Walton's property that
5		is now in alfalfa?
6	A	As far as the ecology of plant life, I made that
7		assumption; that is correct.
8	Q	But you don't know if it is correct or not?
9	А	In my opinion, it is correct.
10	Q	And how did you base that opinion? Did you see the
11		same are you saying the soils are the same up
12		there?
13	A	No, not precisely the same. They are different.
14	Q	And there are, there are more is it not true that
15		on 901, the holding capacity of the soils is quite
16		different from the holding capacity of the soils in
17		the northeast quarter of Mr. Walton's allotment?
18	A	As compared with the irrigation requirements, that
19		is a true statement.
20	Q	And wouldn't that be true in the state of nature,
21		Mr
22	A	You would have
23	Q	Maddox?
24	. A	controlling effect.
25	Q	Just a minute. Is that not true in a state of nature,
	1	

Spinster,

when we are comparing and contrasting the lands in 1 the northeast guarter, northeast portion of 2 3 Allotment 525, and the southeast portion of Allotment ▲ 892; isn't that correct? You have lost me on the allotment numbers. 5 Α You don't know those? 6 Ο 7 А No. MR. PRICE: That is not what he said, 8 Your Honor. 9 MR. VEEDER: What? 10 11 MR. PRICE: He lost me on the question also. 12 MR. VEEDER: Well --13 THE COURT: Well, it's a good time to 14 15 take the luncheon recess. Court will be at recess until 1:30. 16 17 THE BAILIFF: All rise. Court stands at 18 recess until 1:30. 19 (Luncheon recess is taken.) 20 21 22 23 24 25

1		Afternoon Session
2		April 25, 1978 l:30 p.m.
3		THE COURT: You may continue with cross-
4		examination, Mr. Veeder.
5		MR. VEEDER: Thank you, Your Honor.
6		
7		CROSS-EXAMINATION CONTINUED
8	ву м	R. VEEDER:
9	Q	In the closing moments before the noon recess, you
10		stated you had some difficulties, Mr. Maddox, with
11		the locations of the allotments.
12		MR. VEEDER: May I approach the exhibit.
13		THE COURT: You may.
14	Q	(By Mr. Veeder) So, I am using Colville Exhibit
15		No. 6 which is a General Geology map. Basically
16		it sets forth the same geology as on the No. 7
17		that we are using, but it is possible now to
18		MR. VEEDER: Is it all right if I give
19		a little explanation here, Your Honor?
20		THE COURT: Yes.
21		MR. VEEDER: Because I think it would be
22		helpful to the witness because I have been using
23		familiar terms in this case and he is not aware of
24		those.
25	Q	Now, I will proceed back to where we were and I

inquired as to whether, in your view, the moisture holding capacity and the soil characteristics of Allotment 901 -- do you want to locate 901 there, Mr. Maddox? A Yes, I have located it. Thank you.

Q -- and 892 were substantially the same. I'm asking you if you think they are substantially the same both as to water holding capacity of the soils, the kind and type of soils, the environment and the vegetative growth upon those two allotments, 892 and 901, are the same now and if, based upon your general knowledge, that they were the same in the state of nature, or is that too complex a question?

MR. PRICE: Your Honor, I'm going to object as to -- whether or not it is too complex -to the repetitious nature of it on the basis of trying to go through cross-examination again with another exhibit.

MR. VEEDER: I'm really not, Your Honor. If Your Honor --

THE COURT: Just a moment. Let him finish his objection.

MR. VEEDER: Excuse me.

MR. PRICE: I don't think that is appropriate

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

and I would also object to the question as being a 1 multiple of questions and I would wish that Counsel 2 would keep it one guestion at a time. It might be 3 easier to follow. THE COURT: Well, I think it is proper to 5 refresh the witness's memory as to what we were б 7 talking about just before lunch, so I will give him this leeway in his opening question. 8 MR. VEEDER: Well, I can hand up -- I had 9 the reporter who very kindly wrote up my questions 10 on this -- and if that would be helpful to him, I 11 12 will. THE COURT: If he thinks it is necessary. 13 THE WITNESS: I would like to see it. 14 15 (By Mr. Veeder) Would it be helpful to you? 0 16 Yes, it would be. Α 17 Because we did refer in there and you and I went on Q 18 different areas of points, courses. 19 I have read the questions and your question that Α 20 you repeated before, I would have to answer it in 21 parts since there were several parts. 22 First, the soils on Allotments 901 and 892 23 which, if I recall, were the two allotments you 24 referred to, are different. 25 They are different? 0

A They are different.

Q And -- go ahead.

1

2

3

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

A As a consequence of being different, the difference is that the soils on 901 are finer grained, or it appears from the logs that I have seen, to be a greater percentage of finer grained material in those soils than there are in the soils in 892. As a consequence of this difference, the greater amount of finer grained material, I would anticipate that the water holding capacity of the soil on 901 would be somewhat greater than the water holding capacity of the soil on 892, although I couldn't give you a quantitative measure of what this difference would be.

As a consequence of the difference in water holding capacity, I would anticipate that the density which natural vegetation would cover these two allotments would be different. There would tend to be more sparse vegetation on 892 than there would be on 901. As a consequence of the more sparse vegetation, I would anticipate there would be a greater preponderance of brush on 892 with some tall trees, that is, Alder-type trees, and less grass, whereas on 901 you would have something very similar to what I recall having seen in 1975

1		and 1976 and that is I paid both a vertical density
2		and horizontal density on 901. The vertical density
3		being made up of the taller trees, Alders and such,
4		cottonwoods, if I recall, high brush that I don't
5		know the name of, and grass.
6	Q	So there would be a difference in water requirements;
7		wouldn't there?
8	A	Of these plants, there would be, that is right.
9	Q	Yes. In other words, it is impossible to generalize
10		in view of the disparity between the water holding
11		capacity of those two allotments; isn't that right?
12	A	I don't agree with that statement. I think you can
13		generalize.
14	Q	You can generalize?
15	А	That is correct.
16	Q	Now, in regard to the growth of trees, are you
17		aware when 892 was farmed?
18	А	Now, my first visit to the land was in 1975 and
19		there was no active farming going on then, though
20		there appears there had been some sort of field
21		type crops in the past, so I couldn't tell how long
22		ago.
23	Q	But you couldn't see any field type crops. What
24		you saw there was grass; wasn't it? Growing?
25	A	Yes, that is correct.
	1	

- Marshie

1	Q	And you didn't see any Alder trees except in perhaps
2		a small clump down where the spring zone started;
3		isn't that right?
4	A	Along where the spring zone was, yes.
5	Q	In other words, the phreatophytes that you observed
6		on 892 were down near the spring, were they not,
7		down near the spring zone?
8	А	Well, there was grass on the land in general, but
9		the trees were down near the spring zone.
10	Q	That is right. What time of year was that, Mr.
11		Maddox, that you were down there on 892? What month?
12	A	It was in July, but I don't recall the exact day.
13	Q	And wasn't the grass pretty well burnt at that time,
14		pretty well brown?
15	А	That is correct.
16	Q	And so it wasn't using water under those circumstances,
17		based on your previous testimony.
18	А	It wasn't using as much water as it had been.
19	Q	Well, when the grass is brown and crisp that way,
20		it doesn't use any water.
21	А	I would disagree with that statement. It is using
22		some. It is small in comparison to what it would
23		use when the grass was green.
24	Q	And it would be small in comparison with the
25		irrigated lands on Mr. Walton's property; isn't

1		that correct?
2	A	At that particular time, that would be a correct
3		statement.
Ą	Q	Yes, so you wouldn't have a full irrigation season
5		when it would be using the same amount of water;
б		right?
7	A	In a full irrigation
8	Q	In a state of nature.
9	А	In a full irrigation season, considering the
10		natural growth and irrigated land on terms of
11		annual basis, one would equal approximately the
12		same amount as the other.
13		MR. VEEDER: Could you read that back.
14		(Reporter read back answer
15		lines 9 to 12, page 2473.)
16	Q	And you are saying that during the dry periods when
17		you saw this land when the grass was dried up, July,
18		August and September, that those lands in 892 would
19		be using the same amount of water as the irrigated
20		lands on Mr. Walton's place now?
21	A	On an annual basis, that would be a true statement.
22	Q	On an annual basis. Now, how do you mean that?
23		During the months of January, February and March
24		and December?
25	A	Well, from January to January, on a calendar year

-

Sugar.

basis, or take it on a water year basis, it wouldn't 1 make any difference as long as your bases were the 2 same on an annual basis, the 12-month period. 3 The normal grasses that are dry in July, August 4 0 and September, would be the same as the irrigated 5 fields of Mr. Walton? б No, I -- no, I couldn't make that statement. 7 Α All right. 8 0 I'm speaking with regard to phreatophytes consumption, 9 А of the trees we saw and the natural grasses that 10 we saw, as compared with Mr. Walton's irrigated 11 fields. 12 I have a difficulty following that. I don't --13 Q Now, you state on page 56 of your testimony 14 that there is shallow groundwater on most of Mr. 15 Walton's lands. Now, what do you mean by shallow 16 17 groundwater? In terms of groundwater of No Name Creek basin, I 18 Α would term shallow groundwater as groundwater laying 19 in depths of zero to ten feet below land surface. 20 21 Now, in regard to --0 MR. VEEDER: If I may approach the exhibit 22 and the witness, Your Honor. 23 I am now looking at 894. This is Mr. Walton's former 24 0 25 Allotment 894.

A Yes.

1

	1	
2	Q	And I ask you to find the general area in that
3		area that is in that allotment that is now being
4		irrigated and farmed by Mr. Walton. Are you
5		acquainted with that?
6	A	Generally, I could point it out on the map as
7		being the land lying easterly of the
8	Q	Now, wait a minute. Wait a minute, get on 894,
9		please.
10	А	Oh. 894, Mr. Walton is only irrigating the small
11		piece marked generally in red on the map.
12	Q	Well, isn't he irrigating further up into the
13		eastern part in here?
14	А	If he is, I haven't seen him and I'm not aware.
15		He may be. I couldn't specifically say that. I
16		have seen irrigation on the part marked in red.
17	Q	So, as a matter of fact, you don't know the lands
18		that Mr. Walton is irrigating based on location;
19		isn't that correct?
20	А	That he is actually irrigating, that is correct.
21		I have never seen it myself.
22	Q	You haven't seen it?
23	A	On all of his land, no.
24	Q	So, when you say that there is shallow groundwater
25		on most of his land, most of the 110 acres, you

water

1		don't know whether that would be true or not on
2		894; do you?
3	A	As far as the data allow me to answer the question,
4		that would be true and these data are U.S.G.S. data
5		from the observation wells that are in there.
6	Q	Well, where in the U.S.G.S. data do you find that
7		most of Mr. Walton's land has a shallow groundwater
8		table?
9	А	The data
10	Q	Is it in there? I beg your pardon. Let me ask this
11		question.
12		Did you find such a statement in the U.S.G.S.
13		report.
14	А	I don't recall such a statement being made. It may
15		be there, but I don't recall it.
16	Q	So, as a matter of fact, you don't know whether the
17		U.S. Geological Survey said there was a shallow
18		groundwater table in the eastern portion of 894;
19		do you?
20	А	I recall no such statement.
21	Q	And you don't know that yourself, do you?
22	А	Yes, I do know that.
23	Q	That there is a shallow groundwater table on the
24		west eastern part of 894?
25	А	Based upon the data gathered by the Geological

"Nacional

Survey, that is my opinion or my professional 1 judgment, however you want to classify it, that 2 there is a shallow groundwater table on the eastern 3 4 part of 894. And what -- what does it overlie? Does it overlie 5 Q the groundwater basin of the No Name Creek that we 6 7 are talking about? In my opinion, it overlies the gneissic bedrock or 8 Α 9 granitic bedrock that is there. Would you answer the question. Is it part of the 10 Q 11 groundwater basin? 12 Yes, it is. Α 13 And you know that to be true? Q 14 Yes. Ά And you went down and investigated that yourself? 15 Q 16 To the extent that I have mapped the groundwater А 17 contours I have, yes. 18 But you didn't include that part; did you? Q 19 Α Yes, I did. 20 Of 894? Ο 21 If it's within the -- yes, I did. А 22 Can you locate it on your, what we call now 0 23 Colville Exhibit 39, can you locate that area? 24 Yes, it is situated generally within the south Α 25 half of the southeast quarter -- that would be

1		the southwest quarter of the southeast quarter of
2		Section 21.
3	Q	And you have information as to the depth of ground-
4		water on that area?
5	А	According to the map, it lies between lies in
6		elevation between 1190 and 1195.
7	Q	And did you include that in part of your estimated
8		440?
9	А	May I refer to the earlier exhibits?
10	Q	By all means.
11	A	Maybe I can answer it from this.
12		No, I did not.
13	Q	So, as a matter of fact, in regard to that one
14		hundred and did you include that as the area
15		that you thought would be covered with phreatophytes?
16	А	Yes, I did.
17	Q	Based upon a ten foot shallow groundwater table?
18	A	I didn't specifically look at it that way, but that
19		would be a true statement, yes. I didn't calculate
20		my phreatophytes on a ten foot water table. I
21		calculated the phreatophytes on the area of each
22		field farmed by Mr. Walton.
23	Q	Well, if it didn't have a shallow groundwater table,
24		you wouldn't have throughout the irrigation season
25		the consumptive use for phreatophytes; would you?
	1 I	

"Metorgal"

Conjuna -

1	A	No, you would have.
2	Q	No, you would have?
3	A	As I understand your question, it was a negative
4		question and I disagree with you. You would have
5		phreatophyte growth throughout the consumptive use
6		season if there were non-irrigation there.
7	Q	And what kind of plants would be growing there?
8	A	Grasses, the medium or the high bushes that I
9		referred to, and trees, Alder trees, cottonwood trees.
10	Q	But you didn't see that in the state of nature
11		either; did you?
12	A	Yes, I did.
13	Q	In a state of nature?
14	A	Well, they are naturally there now, below the
15		granite lip on Allotments
16	Q	Wait a minute. I'm talking about 894, Mr. Maddox.
17	A	On 894 there are some of the high bushes. I think
18		the bushes are willows that are there. The trees
19		have been removed for farming purposes or whatever
20		by Mr. Walton. There is some grass there so we
21		have the high bushes and the grasses. The trees
22		are not there or if they are, they are only small.
23	Q	In the fields there are high bushes and trees?
24	А	There are no trees. I say they have been removed.
25		There are high bushes and grasses on 894.

(Mageo)

1	Q	Now and is that up in the upper part of the
2		higher elevations of 894 that you are talking
3		about?
4	A	They would lie to the west of the road that traverses
5		894.
6	Q	Now, would you approach once more what we call Exhibit
7		39, and I inquired earlier about see, there is
8		marked on 39 outside the aquifer.
9	A	Yes.
10	Q	Would you identify the well that is outside, marked
11		outside of the aquifer.
12	A	I
13	Q	Just a moment. With the Colville Exhibit No. 6,
14		and would you that is marked No. 3; isn't that
15		right?
16	А	I was just checking.
17	Q	Well, would you compare them and see for yourself.
18	А	Yes. The well marked outside the aquifer on
19		Exhibit 39 is the same as the Well No. 3 as shown
20		on Exhibit No. 6.
21	Q	And you agree in general with the geology that is
22		on Colville Exhibit No. 6; is that right?
23	A	That is correct.
24	Q	And that is outside the aquifer; is it not?
25	A	As shown on Colville Exhibit No. 6, it is located

Maddox - Cross

outside the aquifer, yes. 1 And you utilized that in your 10.6 specific yield; 2 0 3 didn't you? 4 That is correct. Α So, in fact, you were using another well outside 5 0 6 of the aquifer in computing your 10.6; isn't that 7 right? I don't agree that it was outside of the aquifer. 8 Α 9 Did you ever go up there and look at it, Mr. 0 10 Maddox, yourself? 11 No, not physically on the ground. Α So you don't know whether it is there or not; do 12 Q you, of your personal knowledge? 13 I know that the well exists, yes. 14 Α 15 How do you know that? Q I have a lot of measurements made by the Geological 16 А 17 Survey and data supplied by the Tribe. But you didn't go up and check the contacts between 18 Q the water bearing strata and the lake beds; did you? 19 20 Α No. 21 MR. VEEDER: I have no further questions. 22 THE COURT: Redirect, Mr. Price? 23 Thank you, Your Honor. MR. PRICE: 24 25

1		REDIRECT EXAMINATION
2	ву м	R. PRICE:
3	Q	Mr. Maddox, Mr. Veeder has questioned you at some
4		length about a discrepancy between U.S. Geological
5		Survey figure of a particular depth to water
6		measurement in one of the wells on a given date.
7		I believe it was the Colville No. 1 well; is that
8		correct?
9.	A	I know it as the middle Colville Indian well, yes.
10	Q	\cdot I think Mr. Veeder used the term that there was a
11		sharp difference between the U.S.G.S. figure and
12		figure that apparently the Tribe asserts should
13		have been used.
14		If, indeed, you did use the Tribes' asserted
15		water depth level rather than the U.S. Geological
16		Survey, would that affect your computation as to
17		the amount of water available for consumptive use
18		in the No Name Creek basin?
19	A	Yes, it would.
20	Q	And in what manner would that affect your
21		calculations?
22	A	It would increase the volume of the recharge.
23	Q	Would you explain how that would work, please.
24	A	If the well in question, which I will refer to
25		as the middle Indian well, the water level decline

"Hand

ľ

in that was less than I had calculated using Geological Survey data which calculation was about a decline of 44 feet between March 20 and August 20, 1977, if that decline had been less and I don't know what -- let's say if it had been 35 feet, that would mean that a lesser volume of rock had been de-watered due to groundwater withdrawal, the same amount of groundwater withdrawal would have taken place, less rock de-watered. As a consequence, the specific yield of the rock would have increased from 10.6 that I had calculated to something higher. I don't know what it would be. Just for talking purposes, let's say it would increase to 12 percent, then on my computation of recharge, I computed the volume of water that was required to refill the void between August 20, 1977 and January 5, 1978, again, if the middle Indian well had a decline of 35 feet and it was filled up to whatever level that it is filled to the present time, which I don't recall, you would have had a lesser volume of rock filled with inflow but you had a greater volume, greater specific capacity, specific yield of the aquifer to multiply that volume by, so the net result would have been to have increased the recharge from 440 acre-feet for that period of time,

1

2

3

5

б

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

approximately 440, to something greater. What it 1 would be, I wouldn't know. I would have to re-2 3 computate that. 4 Isn't it correct then that your calculation that 0 5 you gave --Counsel, I can't hear what MR. VEEDER: 6 7 you said. I'm going on to another MR. PRICE: 8 9 question, Counsel, excuse me. I think Mr. Sweeney guestioned you along that same 10 Q line. He was asking you if your specific yield 11 figure decreased, 10.6, then there would be a 12 decrease in the amount of acre-feet that you 13 computed to be available for use in the No Name 14 15 Creek basin; is that correct? I don't recall that question specifically. 16 Α 17 All right. But if this specific yield figure 0 18 did decrease, then your calculation as to availability of water would decrease; is that 19 20 correct? 21 That is correct. А 22 And if your specific yield increases, that is Q going to yield more water, according to your 23 24 computations. 25 А That is correct.

1	Q	In that regard, Mr. Sweeney questioned you and was
2		concerned about the walls of the aquifer being
3		sloped rather than vertical, as used in your
4		computations. Can you tell me how, using vertical
5		walls in your computations affects your calculations.
6		In other words, does it increase the amount of
7		acre-feet of water available or does it decrease
8		the amount of acre-feet of water available?
9	A	By affecting the specific yield and by affecting
10		the volume of rock, for instance if the wall rock
11		were slanting, the volume of rock would decrease.
12		The volume of pumpage, or withdrawal, would be the
13		same. Consequently, the specific yield would have
14		to increase. This same value for increased specific
15		yield would on recharge make more water available
16		for recharge, so it would increase the recharge
17		figure.
18	Q	Mr. Veeder on Exhibit 39, I believe it is Colville
19		Exhibit 39, has marked some red areas in which
20		apparently he has attempted to depict some areas
21		where you show the water level higher than the
22		surface level of the land. Is that an inaccuracy
23		or an error on your exhibit?
24	A	It is not.
25	Q	And why is that?

.

"NILMIT

	1	
1	A	The groundwater level would depict the gradient or
2		the slope at which water is moving in the subsurface.
3		When that slope intercepts land surface, you have an
4		outcropping of springs. Consequently, I was not
5		particularly looking at the land surface nor was
6		I paying a great deal of the data on that because
7		I was not computing spring flow, but rather I was
8		computing groundwater gradients, and as a result,
9		what the maps shows is groundwater gradients and
10		has nothing to do with spring flow because I
11		considered spring flow to be a constant in and out.
12	* * Q*	That map does not affect your calculation or
13		computation as to the availability of water; does
14		it?
15	A	As I testified earlier, the small area, the middle
16		small area of red which lies to the north of Mr.
17		Walton's house, would make a very small change in
18		my computation, but the area concerned is so small
19		that the base number would not change. In other
20		words, the 440 would still hold firm because of the
21		areas involved.
22	Q	Is that one of the reasons that when you testified
23		here you didn't testify to an exact figure of an
24		available acre-feet of water, as I understand it.
25		You testified to a range.
	[

6

Wilderst

1	λ	That is correct
	A	
4	Q	And is hydrology in that regard an exact science?
3		Is it capable of coming up with an exact acre-foot
4		figure?
5	A	It is not.
6	Q	Is it accepted practice in matters of hydrology
7		to incorporate variances and allowances into your
8		computations?
9	А	It is accepted practice.
10	Q	Why do you do that? Why do you calculate in
11		variances and so forth?
12	A	Calculate in variances due to the accuracy of the
13		values you are working with. That is, with water,
14		either surface water or groundwater, you are
15		measuring a moving medium that is affected by its
16		very movement. It is a dynamic medium, so you
17		measure it at an instant in time and even as you
18		take each measurement, the water is changing. It
19		is changing in amount of flow and changing direction
20		of flow, so you have to take, in layman's terms, it
21		would be an average reading. You are always working
22		with averages. Unless you know the accuracy of the
23		values you are working with, you can be led astray
24		by thinking you had a very accurate number, but
25		even the most accurate number has ranges for that

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

(_____

1		accuracy. There is always a plus or minus value
2		to which you are working with.
3	Q	Do you recall Mr. Watson's testimony that he came
4		up with a range from anywhere from 480 to 600 acre-
5		feet of water available, firm annual water supply?
б	A	I remember that he had a range, yes.
7	Q	Do you recall how he picked 550 out of that range,
8		by chance?
9	A	I recall that he took that as an average within
10		the scope of the range of values that he had to
11		work with.
12	Q	Mr. Maddox, there was some reference by Mr. Veeder
13		and yourself to the contour levels on the exhibit
14		to which you were referring. I will refer now to
15		Colville Exhibit 39, and, again, are variances
16		purposely calculated or not into those calculations
17		of contour intervals?
18	A	I don't know if they are purposely calculated in.
19		You have an accuracy of values for land surface
20		elevations and the accuracy that the Geological
21		Survey tries for is plus or minus one-half contour
22		interval, and for greater accuracy, you must have
23		greater detail in your field surveys and probably
24		a different scale map.
25	Q	So, you are working within the data that is

Summer's

PAGE 2488

1		available?
2	А	That is correct.
3	Q	Mr. Maddox, are the 1930's and 1940's relevant in
4		trying to determine the accuracy of 1977 water
5		level fluctuations in the No Name Creek aquifer?
6	А	Not in regard to 1977. In response, I should say,
7		to 1977 groundwater withdrawals, no, they are not.
8	Q	Mr. Maddox, Mr. Veeder questioned you about
9		phreatophytes, in particular with regard to Mr.
10		Walton's property. Did you rely solely on meadow
11		grass in your calculations?
12	A	No, I did not.
13	Q	What did you rely on?
14	А	Again, I looked at the general No Name Creek basin
15		
		and saw that below the granite lip there was an
16		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and
16 17		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just
16 17 18		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at
16 17 18 19		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the
16 17 18 19 20		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the area, they were comparable in type. My opinion,
16 17 18 19 20 21		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the area, they were comparable in type. My opinion, based on data I had available then and data I have
16 17 18 19 20 21 22		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the area, they were comparable in type. My opinion, based on data I had available then and data I have available now, is that if Mr. Walton and the
16 17 18 19 20 21 22 23		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the area, they were comparable in type. My opinion, based on data I had available then and data I have available now, is that if Mr. Walton and the Tribe were to stop farming all the lands within
16 17 18 19 20 21 22 23 24		and saw that below the granite lip there was an ecology of grasses, high bushes and trees, and looking around upon Mr. Walton's land and just highway driving down from the Mission, looking at the other types of vegetation that grew in the area, they were comparable in type. My opinion, based on data I had available then and data I have available now, is that if Mr. Walton and the Tribe were to stop farming all the lands within the No Name Creek drainage basin, that it would

Vilametro

1		lands below the granite lip which in part had been
2	- - -	farmed at one time and could see outlines of fields
3		down there, they would return to some natural
4		vegetation which would be controlled by the amount
5		of water available, amount of space available for
6		sunlight and consequently using all of these values,
7		I came up with an overall evapotranspiration loss
8		as compared with Mr. Walton's diversion of water
9		and use for irrigation on his land.
10	Q	How did you calculate the evapotranspiration of the
11		plants and trees?
12	A	In general, I used data that were developed by
13		research elsewhere in the United States and
14		attempted to correlate the data by empiricism with
15		the No Name Creek aquifer. Specifically, these
16		data are included in reports by the Geological
17		Survey in connection with State engineers. At the
18		present time most of the phreatophyte research
19		goes in the Pacific Southwest and correlating
20		phreatophyte water consumption with water consumption
21		by field crops, more specifically alfalfa, and then
22		coming to the state of Washington and looking at
23		alfalfa consumption here, as practiced by Mr.
24		Walton, and then looking at a comparable crop which
25	r	I took to be orchard with ground cover, it was my

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON ×.

1		opinion, that orchard with ground cover is the
2		correlating crop within the state of Washington.
3	Q	Did you rely on any specific publications in reaching
4		your calculations?
5	A	Yes, I did.
6	Q	For example?
7	A	Could I get them out of my briefcase.
8	Q	Yes.
9	A	I relied upon three publications. The first is the
10		United States Department of Agriculture Forest
11		Service Handbook, No. 266, "A Guide for Surveying
12		Phreatophyte,"that is p-h-r-e-a-t-o-p-h-y-t-e,
13		"Vegetation." This guide generally gives survey
14		guidelines as to how to calculate vertical and
15		horizontal density of phreatophytes. It is a
16		methodology type publication.
17		The second publication I relied on is a
18		United States Geological Survey water supply paper.
19		It is No. 1659, "Potential Groundwater Salvage on
20		the Pecos River in New Mexico."
21		The third volume is "Consumptive Use and Water
22		Requirements in New Mexico," and it is New Mexico
23		State Engineer Technical Report No. 32 by Blaney
24		and Hanson. I might mention Blaney is the same
25		Blaney in the Blaney-Criddle equation.
	2	

1 Using the publications which I have used before 2 in the Pacific Southwest, both in Arizona and in 3 New Mexico, and judgment based on first hand work ▲ along the Pecos River with phreatophyte eradication 5 in Arizona, it was my opinion that these publications were, they were written back in the '60's, but they 6 7 are still quite applicable on all phreatophyte 8 problems. 9 Mr. Veeder was concerned about the ground cover in Q 10 892 as to whether or not that would conform with the vegetation that we might find naturally occurring 11 on the Waltons' property. As a matter of fact, 12 are you aware that the No Name Creek surface flow 13 14 occurs mostly, if not at the present time entirely, 15 on the Waltons' land and not any where on 892? 16 I have only seen it on Mr. Walton's land. I have Α 17 no knowledge beyond that. 18 And are you familiar with the fact that there are 0 19 several springs, at least four or five springs, 20 that occur on the Walton property which do not 21 occur on Allotment 892. 22 I have seen those springs on Mr. Walton's property, А 23 yes. 24 Okay. And is it true or not that the water Q 25 delivered from these other springs would be

1 consumed in part by the phreatophytes? 2 That is correct. Α 3 So, that comparing 892 with the Waltons' property 0 is not necessarily a very valid comparison. MR. VEEDER: These are all leading, Your 5 Honor, all of these questions. I object to them. б 7 THE COURT: Sustained. 8 MR. PRICE: That is all I have. Thank you, 9 Mr. Maddox. 10 Thank you, Your Honor. 11 THE COURT: You may step down, Mr. Maddox. 12 Thank you. 13 (Witness is excused.) 14 MR. PRICE: Call Mr. Thorp to the stand. 15 called as a witness herein, 16 JOHN F. THORP, 17 being first duly sworn on oath, 18 testified as follows: 19 20 THE CLERK OF THE COURT: Would you please 21 state your full name to the Court. 22 THE WITNESS: John F. Thorp. 23 THE CLERK OF THE COURT: Will you spell 24 your last name, please. 25 THE WITNESS: T-h-o-r-p.

1 THE CLERK OF THE COURT: Thank you. 2 3 DIRECT EXAMINATION 4 BY MR. PRICE: 5 Afternoon, Mr. Thorp. Can you hear me all right? Ο 6 Yes. А 7 Where do you reside at the present time, Mr. Thorp? Q 8 Out of Oroville, used to be the post office at Α 9 Cheesaw. 10 How old are you, Mr. Thorp? 0 11 А I'm 76. 12 And how long have you resided in Okanogan County? Q 13 My father moved there when I was six months old. А 14 Q Are you presently retired? 15 What? Α 16 Are you presently retired? Q 17 Α Well, semi. 18 Semi? All right. Did you ever hold the position Q 19 of County Assessor for the county of Okanogan? 20 I did, for 19 -- I was elected in 1934 and retired А 21 from it in 1940 -- January, 1943. 22 Do you know who would have held that position for Q 23 Okanogan County as County Assessor in the early 24 to mid-1920's? 25 The man prior to me was James Silverthorn and the А

1		man prior to that was Grover Fore [phonetic] and
2		the man prior to that was Jerry Frye.
3	Q	Are any of these individuals alive at this time?
4	A	What?
5	Q	Are any of these individuals living?
б	А	No, they are all passed away.
7	Q	During your tenure, Mr. Thorp, did you have occasion
8		to place former Indian allotments on the Colville
9		Indian Reservation on the tax rolls for Okanogan
10		County?
11	А	Whenever they was patented, yes.
12	Q	And would you explain the process that you went
13		through. In other words, how did you know when
14		property was transferred from trust status to fee
15		simple status?
16	А	I had a man that worked in the Bureau of Land
17		Management and also I'd go check them in the
18		Indian Service in Nespelem.
19	Q	All right. When you received notification, did
20		your office attempt to make an evaluation of the
21		property when you put it on the tax rolls?
22	A	We did.
23	Q	And how would you do that?
24	Ą	Well, I would send a man, a field man out. Ed
25		Nelson was my field man at that time. I only had

"Santa Sa

1 one, and he would make the evaluation. 2 Was water considered as increasing the value of Q 3 such land when it was found to be pertinent to 4 the land? 5 Yes, but not as much as it would now days. We А 6 assessed irrigated land at \$30 to \$40, that was 7 away from the river. Then the Okanogan River was 8 assessed much higher. 9 And in terms of inflation and all, what you are 0 saying, the evaluation for water would be much 10 11 higher now days? 12 Α That would be hard to say. To your knowledge, Mr. Thorp, are the records, 13 Okay. Q 14 the Okanogan County records which would have 15 reflected the assessment of the lands when they 16 first came out of trust status to fee simple status 17 in the early to mid-1920's available today? 18 No, they are not. They stored them in the cupola А 19 of the old courthouse there, and the pigeons roosted 20 on them and the water blew in on them and later I 21 understood they were burned up. Nobody would want to look at them anyway after that, 22 Q 23 I suppose. 24 MR. VEEDER: I didn't hear what you said, 25 Counsel.

1 MR. PRICE: I said --THE COURT: No question. 2 3 MR. VEEDER: Thank you. (By Mr. Price) Did the Government ever object to 4 0 5 those lands being placed on the assessment rolls, 6 Mr. Thorp? 7 А No. Did the United States Government ever object to 8 Q those lands reflecting an assessment for water where 9 water was appurtenant to the land? 10 11 Α No. MR. SWEENEY: I don't think he has laid 12 a foundation that Mr. Thorp knows what the 13 Government did or did not object to. When you 14 are talking about the Government, that is a rather 15 widespread apparatus and I don't think --16 17 MR. PRICE: I can rephrase it. 18 THE COURT: Rephrase the question. 19 (By Mr. Price) Mr. Thorp, did the United States Q Government ever object to you personally, did 20 21 anybody representing themselves --22 THE COURT: Go ahead. -- to being an employee of the Government in any 23 Q capacity, object to your assessment reflecting 24 25 the value of water on lands that were being placed

1 on the tax rolls? 2 Not to my knowledge. Α Did any member of the Colville Tribe during the 3 0 4 period you were in office --5 No. А 6 -- ever object to these lands being placed on the Q 7 tax rolls and reflecting water values where water 8 was found to be appurtenant? 9 They did not. А MR. PRICE: That is all I have. Thank 10 11 you Mr. Thorp. 12 THE COURT: Cross-examination of the 13 witness? 14 Not from the State. MR. MACK: 15 THE COURT: United States? Mr. Burchette? 16 17 CROSS-EXAMINATION 18 BY MR. BURCHETTE: 19 Mr. Thorp, on the assessment of the property back 0 20 in the '20's, to your knowledge did they assess the 21 property on the basis of a reserve water right? 22 Yes, I'm sure they did because I got one in northern Ά 23 Okanogan County and they assessed it that way. 24 How do you know it was a reserved water right. Q 25 I would object. This is MR. VEEDER:
1 beyond the scope of the direct examination, Your 2 Honor. 3 THE COURT: Overruled. Go ahead. 4 Can you repeat the question. Ά 5 You said that you know that the assessment was Ο б predicated on a reserved water right. My second 7 question is, how do you know that it was assessed 8 on a reserved water right? How do you know that? 9 MR. VEEDER: Object to the question. 10 This calls for a legal conclusion by the witness. 11 He is not qualified, Your Honor. 12 MR. BURCHETTE: Your Honor, what I am 13 asking --14 MR. VEEDER: Well, just a moment. Ι 15 objected to it on the ground that he is asking a 16 legal question. 17 THE COURT: I heard your objection. He 18 is trying to answer it. You were trying to respond 19 to his objection, Mr. Burchette. 20 MR. BURCHETTE: Well, I think it is quite 21 clear what I am trying to do, Your Honor. I am 22 trying to find out from Mr. Thorp how it is that 23 he knows that it was a reserved water right on which 24 they based the assessment. 25 THE COURT: He may answer, if he can.

	MR. BURCHETTE: Back in the 1920's.
	MR. VEEDER: May I ask the witness a
	question, then?
	THE COURT: No, you may not.
A	Just supposition with me, more or less, except on
	my own land or my father's land, at that time.
	MR. VEEDER: I object to any answer.
	He says it is just a supposition, Your Honor.
	THE COURT: The record will stand.
Q	(By Mr. Burchette) In evaluating a piece of prope
	with a reserve water right, how would you assess i
	as opposed to, say, a normal appropriative right
	or is there a distinction between the two in the
	assessment?
A	Not to my knowledge.
Q	So, there is no difference between the valuation
	with a reserve water right as opposed to an
	appropriative water right; is that correct?
А	To my knowledge, there is not.
Q	Mr. Thorp, do you know the difference between what
	is referred to as a reserve water right as opposed
	to, say, the definition for an appropriative water
	right?
А	I do not.
	MR. BURCHETTE: I have no further questi

Succession of the

· ·

Your Honor. 1 THE COURT: Mr. Veeder? 2 MR. VEEDER: No, I have no questions. 3 THE COURT: You may step down, Mr. Thorp. 5 Thank you. б MR. PRICE: Thank you, Mr. Thorp. 7 (Witness is excused.) MR. PRICE: Call Wilson Walton to the 8 9 stand. May I be excused? 10 MR. THORP: Is there any reason for 11 THE COURT: Yes. 12 not excusing the witness? MR. SWEENEY: No objection, Your Honor. 13 14 MR. VEEDER: No, Your Honor. THE COURT: You may be excused from further 15 16 attendance, Mr. Thorp. Thank you. 17 (Mr. Thorp is excused from further attendance at trial.) 18 19 MR. PRICE: If I may try and put up 20 another exhibit, Your Honor. 21 THE COURT: You may. 22 23 defendant herein, having been WILSON W. WALTON, 24 previously sworn on oath, 25 testified as follows:

PAGE 2501

1		DIRECT EXAMINATION
2	ву М	R. PRICE:
3	Q	When you first moved onto the property in 1948, did
4		you have occasion to observe the valley floor on
5		your land in terms of the grasses throughout the
6		summer season of '48?
7	А	Yes, I did.
8	Q	And can you tell this Court whether those were
9		you mechanically diverting water and irrigating
10		the year you got there?
11	А	The beaver dams?
12	Q	The very first year. Mr. Walton, just a minute,
13		please.
14		What did you observe about the grasses on your
15		land the first summer year you were there? Did they
16		dry up or did they stay green or what?
17	А	No, they stayed green all summer, especially in
18		what I call the bottom land, the flat land
19		MR. VEEDER: Your Honor, may I just raise
20		an objection to further testimony by this witness.
21		I'm sorry to interrupt, but Mr. Price had this same
22		witness on for direct examination. He made no
23		reference to the right to recall this witness. We
24		are opening up an entirely new field of direct
25		examination on the witness that was there was

Carbon of

no request to have the right to recall him when 1 2 the witness was let off the stand to begin with. Now, if we are going to reopen this case, I 3 think it ought to go into the record on it, Your 4 Honor. I have never heard of -- well, I'm going 5 to object to it because I think it is entirely 6 7 improper. 8 Counsel, what is the purpose THE COURT: 9 of recalling the witness? To testify about the matters 10 MR. PRICE: that we have heard about here today, Your Honor, 11 which I can't say that I knew they were going to 12 They happened to. He has prepared an 13 come up. 14 exhibit depicting the state of the land when he 15 came there in 1948 which I think is very pertinent to this case and I would like to have him identify 16 17 He has drawn in the beaver dams, the areas, it. the treed areas that he cleared and such, which has 18 relevancy to this phreatophyte consumptive use matter 19 20 and he was not discharged during his previous testimony, and we are not attempting to cover any 21 22 similar ground. We are not going back over any --23 It looks to me like this is MR. SWEENEY: 24 a matter of rebuttal in normal handling of a trial 25

WAYNE C. LENHART COURT REPORTER SPOKANE, WASHINGTON

procedure.

THE COURT: Well, it really is, but we have a funny alignment of parties. In essence, they are defendants and they almost have to come in surrebuttal and that is what we are trying to avoid, I think, is to get this case completed within the normal response and rebuttal.

MR. VEEDER: I think yousaid it very well, Your Honor. I think this is where we are. I think when I put on our rebuttal, if we are going to have some rebuttal, but I submit, Your Honor, this coming in in advance is something entirely out of my ken.

THE COURT: But you missed my point. My point is, if I accept your analysis of it then I'm going to have to permit him to come back in surrebuttal and I don't want to have to open it up on surrebuttal. I would rather open it up right now and let you attack it on rebuttal, so I'm going to let him continue, within the limits you just told me.

20 MR. PRICE: Thank you, Your Honor.
21 THE COURT: All right.
22 Q (By Mr. Price) Mr. Walton, calling your attention
23 to Exhibit marked EEEE-W, can you state what that
24 is, please. Did you draw that exhibit?

A I did.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

25

1	Q	All right. What does it depict, please.
2	A	I have represented here the amount of trees and
3		brush over the property that I bought and the
4		beaver dams that dammed the creek at different
5		spots on this land. Now, these beaver dams were
6		built up from the year 1948, the summer I was there,
7		until 1953 in which I had a State trapper come in
8		and trap out the beaver and I took ditching powder
9		and blew out almost all of these dams in the creek.
10	Q	Would these beaver dams trap water, surface flow
11		water in No Name Creek?
12	A	Yes. The amount of water that they trapped depended
13		entirely upon their location. For instance, this
14		dam right here was approximately the length of this
15		room and I should say four to five feet high. It
16		formed a lake back here, I would guess in the
17		neighborhood of five acres. This beaver dam was
18		two to three times that length, about 18 inches
19		high. This beaver dam was very similar to this
20		one. This area in here was a swamp. Some places
21		the water was that deep, some places like that,
22		some places just barely covering the ground. All
23		this area through here was covered entirely in
24		cottonwood trees ranging anywhere from this height
25		or that big around, up like that.

- Antonio

Q	Would you estimate for the record, state what size
	of the diameter of the trees was, rather than showing
	with your hands, can you approximate the diameter
	of the trees?
A	From four inches to ten inches.
Q	All right. Why were there beaver dams, the two beaver
	dams, the last two beaver dams you talked about are
	not on the No Name Creek. Where was the water coming
	from for those dams?
A	Would you say that again.
	MR. MACK: Excuse me. Before you go on,
	I apologize, but if the record is going to be clear,
	is that marked?
	THE COURT: That is EEEE-W.
	MR. MACK: We are in the quads.
	MR. VEEDER: Have you offered that?
	MR. PRICE: No, I haven't.
Q	You prepared this exhibit; is that correct?
A	That is correct.
Q	And you, in this exhibit, attempted to depict the
	state of your property when you purchased it; is
	that correct?
A	That is correct.
	MR. PRICE: I would offer Exhibit EEEE-W.
	MR. SWEENEY: May I look at that, Your
	Q A Q A Q A Q A

"South and the

1 Honor? 2 You may. THE COURT: 3 Off the record. A (Off the record at the easel.) Back on the record. 5 THE COURT: 6 MR. SWEENEY: Your Honor, one question. 7 Mr. Walton, did you prepare that just recently? 8 THE WITNESS: Yes. 9 In the last few days; is that MR. SWEENEY: 10 it? 11 THE WITNESS: Yes. Well, the Government has no 12 MR. SWEENEY: objection as far as Mr. Walton's testimony on this. 13 We fail to see the relevance of what the situation 14 15 were with the beaver dams in 1948 to any of the 16 issues in the case. I know that an objection based 17 on relevance is not normally favored by the Court, 18 but in this one, I don't really see the relevance of 19 any of that at all. 20 THE COURT: What is the relevancy. 21 MR. PRICE: We are trying to depict the 22 consumptive use that was being made, that obviously 23 would have been made on that property in its state 24 when Mr. Walton found it and presumptively if it 25 returned to a state of nature.

1 THE COURT: Counsel, I am going to let him 2 go into this and use the exhibit, because I'm not 3 certain at the moment as of what date I have to end 4 up testing his rights, if any. It might be the date of acquisition and the conditions then existing. 5 6 It might go back to treaty days or it might never 7 come into existence. I'm not going to prejudge that. 8 We might just as well have the record. 9 MR. SWEENEY: Yes, Your Honor. 10 Thank you, Your Honor. MR. PRICE: 11 Mr. Walton, you have depicted two lengthy beaver 0 12 dams which are not located on No Name Creek on 13 Exhibit EEEE-W; is that correct? 14 That is correct. Α 15 Where was the water coming from for those dams, for Q 16 those ponds? 17 That was seepage water that arose from three springs Ά 18 in that sump hole or where the sump hole is now. 19 This is water separate and apart from the surface 0 20 flow of No Name Creek? 21 Α Yes. 22 And does that exhibit depict the extent and areas 0 23 that were forested, that had trees on the property 24 when you acquired it? 25 I object to this statement. MR. VEEDER:

*15.U

There is no statement that the land was in forest 1 2 at any time. THE COURT: Restate the question. I think 3 4 that is right. (By Mr. Price) Does Exhibit EEEE-W depict the areas 5 0 that were covered by trees when you acquired the 6 7 property in 1948? 8 In this area here? Α 9 Does the exhibit depict those areas? 0 10 Α Yes. 11 And what kind of trees were they? Ο Did you want me to trace -- ? 12 Α No, just tell me what the type of trees? 13 0 All of the trees, the trees in all of the property. 14 А Can you tell me what type of trees they were? 15 Q Starting in here, coming around like that 16 Α Yes. 17 was a dense, very dense growth of cottonwoods. 18 That was entirely cottonwood. You have circled an area approximately from where 19 Q your present sump is located, going in a south-20 21 westerly direction toward No Name Creek; is that 22 correct? 23 That is correct. А All right. Were there other areas that were treed 24 0 25 when you obtained the property in 1948?

1	A	Yes. South of the large rock was another dense
2		growth of cottonwoods covering approximately eight
3		acres. It extended from No Name Creek eastward to
4		the road, southward, I'd say a quarter of a mile,
5		and then diagonal across back to the No Name Creek.
6	Q	In a northwesterly direction.
7	A	Yes.
8	Q	Are there any other areas?
9	A	There was another area at the south end of the
10		place along the road extending about a quarter of
11		a mile north and about 200 feet wide which was
12		entirely birch.
13	Q	Any other areas?
14	А	There was another large area north of the house
15		extending northward about 400 yards and about five
16		to six hundred yards wide which is an extremely
17		dense growth of birch.
18	Q	Any other areas?
19	A	About halfway down the place, east of the road,
20		and west of what is the sump, was a large section
21		covering about 12 to 15 acres of pine trees, brush
22		and thorn bushes.
23	Q	Do you have, of your own knowledge, an idea as to
24		how much water consumption was being made by the
25		cottonwood trees, for instance?

Manghe

August .

I object to this question. MR. VEEDER: 1 This witness is not qualified to respond to such 2 a question. He is not -- absolutely got no qualifica-3 tions on this point, in the record at least. 4 MR. PRICE: If I can qualify -- if I can 5 make an offer of proof. 6 THE COURT: You may try and lay a foundation 7 8 for the question. (By Mr. Price) Mr. Walton, did you clear those, some 9 Q of those treed areas in order to farm the land? 10 11 I cleared every one of them. А Did you have occasion -- in clearing them, I take 12 Q 13 it you had to chop the trees down. 14 Yes. А 15 Did you ever make a personal observation as to Q what happened when you chopped the trees down in 16 17 connection with the water? 18 I have. Α 19 What was that observation? 0 That observation is very definitely shown in a 20 А cottonwood. If you take an axe and just cut through 21 the bark of a cottonwood, you will actually have 22 a stream of water, dripping out of it or running. 23 If you cut the stump or cut a ten inch cottonwood 24 25 off, the water flows right out.

Do you have knowledge about water flow measurements? 1 Ο 2 Yes, I have. Α And based on your knowledge, did you make a 3 0 determination as to how much water you observed 4 coming out of a cottonwood tree? 5 6 MR. SWEENEY: Just a moment, Mr. Walton. 7 I actually did not --А 8 THE COURT: Just a moment. 9 MR. SWEENEY: Excuse me, Mr. Walton. The 10 Government would object to this. If this is directed toward establishing water right, I believe 11 that use, the natural use of trees and so forth is 12 13 an establishment of a right to use of water. We 14 object to this. 15 I will sustain the objection. THE COURT: 16 (By Mr. Price) Was it necessary to remove the Q 17 beaver from the land in order to farm it? 18 It was, after about 1951. Α 19 Are there still beaver on the premises? 0 20 There are. А 21 MR. PRICE: That is all I have. Thank 22 you, Mr. Walton. 23 THE COURT: Cross-examination? 24 MR. SWEENEY: No, Your Honor. 25 THE COURT: For the State?

----- .

1	None for the Government?
2	Mr. Veeder?
3	MR. VEEDER: I'm going to ask a few
4	questions, Your Honor.
5	THE COURT: Excuse me. I thought you
6	shook your head, Mr. Mack.
7	MR. MACK: I'm sorry. I have no questions.
8	THE COURT: Thank you.
9	Mr. Veeder: You may proceed with cross-
10	examination.
11	MR. VEEDER: I would like to have marked
12	for identification, I think this would be Colville
13	Exhibit No. 40.
14	THE COURT: What does it purport to be,
15	Mr. Veeder?
16	MR. VEEDER: It is an aerial photograph
17	in 1936, Your Honor.
18	May I have just one moment.
19	THE COURT: You may.
20	
21	CROSS-EXAMINATION
22	BY MR. VEEDER:
23	Q Now, can you hear me, Mr. Walton?
24	A Yes, sir.
23	Q You said that the cottonwood trees were ten inches

L

"Antoine"

1		in diameter?
2	A	I did not.
3	Q	I thought you said that's what they were.
4	А	I said they ranged from four inches to ten inches.
5	Q	In diameter?
6	A	That is right.
7	Q	And then what would be the circumference of those
8		trees?
9	А	Multiply by 3.1416.
10	Q	And they would be you are good at math and I'm
11		not. What would that put it to?
12	А	Somewhere in the circumference of 12 inches to
13		30.
14	Q	So, they would be very good sized trees, would they
15		not?
16	A	That is right.
17	Q	And it would take, have you ever watched cottonwood
18		trees grow?
19	A	How was that, sir?
20	Q	Have you observed the length of time for a cottonwood
21		tree to grow to dimension of 36 inches?
22	А	I'm sorry
23	Q	I mean circumference.
24	A	I'm sorry. I didn't get the question then.
25	Q	Well, I will just move along on this.

"Nohole

1 I show you --2 MR. VEEDER: May I approach the witness. 3 Have you any idea how long it would take a cottonwood 0 4 tree to grow to the dimensions you describe? 5 Depends on the conditions in which they are grown. А 6 If it is swampy conditions, they will grow extremely 7 fast. 8 So, --0 9 If they are in a dry condition, it will take much А 10 longer. 11 Ten or twelve years? To grow to the dimensions 0 12 that you just referred? I should say for flowing stream, ten or twelve years, 13 Α 14 yes. 15 Now, I show you an aerial photograph. Q 16 MR. VEEDER: Counsel, do you want to join 17 me? 18 Could we --MR. PRICE: 19 MR. VEEDER: Would you like to have it 20 laid out on your table? 21 MR. MACK: I certainly would like to see 22 it. 23 THE COURT: Can't you put it up on the 24 easel? 25 (By Mr. Veeder) Can you orient yourself on this Ο

before we put it up? 1 2 Α Yes. 3 All right, I will just have them put it up, then, Q 4 Mr. Walton. 5 Mr. Walton, are you experienced at all in 6 viewing aerial photographs? 7 I have, sir. Α 8 So, this is nothing new? 0 9 No. Α 10 And you would be able to identify the property, 0 11 your property. 12 I will. Α 13 On a normal --Q 14 А Yes. 15 -- aerial photograph. Q 16 (Nodding yes.) Α 17 MR. MACK: Your Honor, I hope I'm not 18 delaying anything. 19 Did you want to go and look MR. VEEDER: 20 at it? 21 MR. MACK: Well, is this going to be 22 offered? 23 It certainly is. MR. VEEDER: 24 MR. MACK: Is there going to be any 25 testimony as to who took it and what the scale is

1 and where it comes from? 2 THE COURT: You have any reason to question 3 it? 4 I have never seen it, so I MR. MACK: 5 quess --MR. VEEDER: Why don't you go ahead and 6 7 look at it. 8 THE COURT: You have a right to question. 9 MR. VEEDER: I might point out, Your Honor, 10 the witness did say he could locate No Name Creek 11 on that. Your Honor, I regret the delay on this but this 12 13 -- would it be permissible to have one of my people 14 hold that? 15 THE COURT: The Bailiff can hold it. 16 (By Mr. Veeder) Now, Mr. Walton, would you orient 0 17 yourself on this aerial photograph which is marked 18 for identification as Colville Exhibit No. 40. 19 For example, can you locate the Mission on that? 20 Wouldn't this be the Mission right here? 21 Α Yes. ' 22 And you are sure of that yourself now. You are Q 23 sure that is the Mission area? 24 Α Yes. 25 And then would you proceed on south and see if you 0

could locate, yourself, again, because I want you 2 to be sure that you are oriented on that. 3 Yes, sir. This would be our northern line right Α 4 there. I will give you a red pen. Will you just see if 5 0 б that will show up on there, please, sir. 7 It doesn't show up very well. Have you got 8 that heavy red pen? 9 THE COURT: Is there a marking pen behind 10 it? 11 This is blue. THE BAILIFF: 12 (By Mr. Veeder) And that is your northern line? Q 13 Α Yes. 14 Now, Mr. Walton, and you delineated your southern Q 15 line? 16 Yes, right there. Α 17 Of all of your property? Q 18 А Yes. 19 Doesn't it go further south than that? Q 20 I believe this is the granite lip right in А No. 21 here. 22 Now, I'm asking you, do you observe on All right. 0 23 that aerial photograph the lands that you came onto 24 in 1948? You do see those lands; don't you? 25 Which? А

1 This is where you came on in 1948? Q 2 А Yes. And do you observe where you have your sump now 3 Q placed? Can you find that? 4 5 Where I have what? Α 6 The sump. And is that generally where you say the Ο 7 springs were, Mr. Walton? 8 I think so. Α 9 Now, do you observe any beaver dams on this aerial Q 10 photograph? 11 No, you can't see them. А 12 They don't appear on this map; do they? Q 13 А No. 14 Now, do you see heavy vegetation in there of the 0 15 kind you described? 16 Α Any what? 17 Vegetation, trees, big trees? Q 18 Yes, I can. I can recognize them. Α 19 Well, I'm asking you now to look in what we would Ο 20 call the northeast corner of your property that 21 you now are occupying. 22 А Yes. 23 And do you find large trees there? Q 24 I do, all up through there. Α 25 Are those trees, Mr. Walton? 0

A They are, sir.

		-
2	Q	Large cottonwood trees? They are large cottonwood
3	· · ·	trees on this map?
4	A	They are birch trees. Not pine, they are birch.
5	Q	And they are not cottonwood?
б	A	No. You can look at that map I have drawn over
7		there. That identified as this section in here.
8		Those are birch trees.
9	Q	And you are pointing now to the west side of the
10	А	I pointed to this section in here.
11	Q	And that is west of the No Name Creek; isn't that
12		right?
13	А	That's right.
14	Q	And
15	А	And it's west of No Name Creek and also including
16		No Name Creek. No Name Creek runs right like that.
17	Q	Right.
18	A	And there are the trees I pointed out.
19	Q	And I was referring, sir, to the northeast corner
20		of it.
21	A	That's right.
22		THE COURT: Just a moment. Hold it a
23		minute.
24		MR. SWEENEY: On behalf of the Government,
25		we would like to interpose an objection. I think

- Construction

1	we are going off on an irrelevant discussion and
2	it's becoming a dialogue between Mr. Veeder and Mr.
3	Walton which I don't think is benefitting the rest,
4	nor the record.
5	THE COURT: I think the observation is
6	well taken.
7	MR. VEEDER: Well, Your Honor, if I may
8	be heard for just a moment.
9	We have depicted on this exhibit I agree to
10	some degree with Mr. Sweeney's objection, but bear
11	in mind, this went into the record, Your Honor,
12	over Mr. Sweeney's objection, and I am caught in a
13	vise as to whether to let this go unchallenged or
14	not.
15	Now, looking at EEEE-W
16	THE COURT: Well, Counsel, in the first
17	place, that hasn't gone into the record. You are
18	still identifying it.
19	MR. VEEDER: I thought it had been offered.
20	THE COURT: No.
21	MR. VEEDER: Didn't you offer it?
22	MR. PRICE: I thought I offered it.
23	MR. SWEENEY: I thought the objection
24	that the Government made as to the relevancy of
25	the water bearing propensity of birch trees and

-inditant.

alder trees and so forth was upheld. I thought that 1 that extended also to the proposed exhibit, that was 2 3 not entered and --4 THE COURT: You asked some questions about 5 it on voir dire, and that was the end of it. 6 MR. PRICE: But you ruled that you would 7 allow it. MR. VEEDER: Well, I have been off the sled 8 9 for some time. I can see that. MR. PRICE: I will, to speed things up, 10 11 Your Honor, offer EEEE-W. 12 MR. VEEDER: EEEE? 13 THE COURT: Yes. MR. VEEDER: That's right, it is four E's. 14 15 It was not previously offered. THE COURT: You were examining it as to who made it and what was 16 17 on it, but it has now been offered. 18 MR. SWEENEY: Well, the Government will 19 restate its original objection to this line of 20 inquiry which automatically would include that 21 particular exhibit. 22 This may sound strange, but MR. VEEDER: I will join the Government in that objection because 23 I think it is totally irrelevant, but if it is in, 24 25 I have to respond to it, Your Honor.

The Exhibit will be admitted. 1 THE COURT: (Defendant, Walton's, Exhibit 2 EEEE-W is admitted.) 3 THE WITNESS: Your Honor. 4 MR. PRICE: Mr. Wilson, just a moment. 5 THE COURT: The Exhibit is admitted. 6 7 Now, you may proceed. 8 MR. VEEDER: Thank you. 9 THE COURT: 40 has not yet been admitted. MR. VEEDER: I am just about to offer it. 10 I do offer it. The witness says he can orient 11 himself on it and I do offer this aerial photograph 12 13 which has marked up here 1936. THE COURT: The State asked for voir dire, 14 15 T believe. 16 MR. MACK: Well, yes. 17 THE COURT: On 40? 18 MR. MACK: Yes, but I don't think I can ask any questions of Mr. Walton that would --19 20 21 VOTE DIRE EXAMINATION 22 BY MR. MACK: 23 Mr. Walton, do you know where that photograph came Q 24 from? 25 Yes. Α

ink
<u>)</u>
n'
ie
ie
ıe
ie

"waland

1 it also indicate, can you spot Omak Creek on that 2 photograph? 3 Ά Omak Creek? 4 Yes, Omak Creek. 0 5 MR. VEEDER: Well, this sounds like 6 cross-examination to me now. 7 MR. MACK: Well, no, I want to know what I didn't fly the plane. 8 is on there. May I approach. I think we can speed this up. 9 10 THE COURT: You may. (By Mr. Mack) Mr. Walton, am I correct that this 11 Ο photograph covers an area that includes Omak Creek 12 13 and Mission Creek? 14 Yes. Ά 15 Well, I don't know if Mr. Veeder is trying to expand Q 16 the case to cover Mission Creek too. 17 I didn't expand it at all. MR. VEEDER: 18 I am limiting it strictly to --19 THE COURT: Counsel, the only reason 20 this is being offered, at least the only reason 21 I'm going to consider it, he has testified as to 22 the condition of this property in 1948. There is a 1936 aerial photograph that may have some relevance 23 24 to the condition of the ground 12 years later. I'm 25 not sure about that, but that is the only purpose.

1	MR. MACK: Well, Your Honor, if it is
2	used just to illustrate Mr. Walton's testimony, as
3	I understand it, then I have no objection, if that's
4	his only purpose.
5	THE COURT: That is all.
6	MR. VEEDER: It is not offered to it
7	is offered to contradict his testimony.
8	MR. PRICE: I would not have any objection
9	if we could have the scale of the map. I think
10	that would become relevant in terms of what we are
11	looking at. I assume we didn't have satellites
12	at that time, but it appears to be at some height
13	in terms of what scale we are working with.
14	THE COURT: Well, Counsel, I think for
15	the purpose, the only purpose for which I will con-
16	sider it, that is going to become immaterial,
17	because it is all relative to the areas that have
18	been described during the course of the trial.
19	So, I am going to admit the exhibit.
20	(Colville Exhibit No. 40 is
21	admitted.)
22	
23	CROSS-EXAMINATION CONTINUED
24	BY MR. VEEDER:
25	Q Mr. Walton, can you identify bodies of water on this

"Hadeput

1		aerial photograph? Can you locate bodies of water,
2		and did you point out where the sump was, Mr.
3		Walton? The sump.
4	A	Was right there.
5	Q	Do you find any bodies of water down there, and
6		would you just take your blue and put your initials
7		down there.
8		THE COURT: Better have him write sump.
9		I won't know a week from now what you're identifying.
10		MR. PRICE: This is the sump that is
11		there now? I assume it was not there in 1936.
12		MR. VEEDER: Oh, no, it was not, but I
13		just thought it might help.
14	Q	Have you got it? Do you find bodies of water down
15		there, Mr. Walton, in your observations?
16	A	Because I know where it is, I know what to look
17		for.
18	Q	Good. Bodies of water.
19	А	It's there.
20	Q	And where are those bodies of water? Now, I'm
21		talking about the sump, Mr. Walton.
22	A	That is the sump, right there.
23	Q	Yes, but now I am referring here to where you
24		say there was a beaver dam and body of water.
25	A	There was a body of water down here. This is the

- Helder

1		rock. This is the bedrock. That was a beaver dam
2		here and a pond there, about eight acres, five to
3		eight acres.
4	Q	And that is off of No Name Creek?
5	А	No, No Name Creek came by here and it was dammed
6		right there. No Name Creek was dammed, and it
7		backed up from that dam.
8	Q	Up toward the sump, right?
9	A	The sump?
10	Q	Yes.
11	А	Right there.
12	Q	Were there bodies of water below the sump here?
13	А	As I explained, this whole section down to here
14		was a swamp. Some places the water was standing
15		that deep and some places like that. It was grass,
16		hummock, and you could hardly walk through, the
17		ground was so soft.
18	Q _	Do you find anything comparable on the aerial
19		photographs?
20	А	I do. From that point there, right on down. All
21		of those little dark spots in there indicate those
22		cottonwood trees.
23	Q	Now, aren't you pointing to the bed of No Name
24		Creek when you are doing that pointing?
25	А	It's what?

Man

Aren't you pointing to the bed of No Name Creek? 1 Q Pointing to No Name Creek? 2 А 3 Yes. Q No, I'm pointing to a section of land between the 4 Α road right here. Here is your road coming down. 5 Here is No Name Creek over here. I'm pointing to 6 a section of land between No Name Creek and the 7 8 road. 9 And you find beaver dams on that? 0 10 Certainly. А And you see them, beaver dams, on the 1936 aerial? 11 Q No, you can't see them. 12 Α MR. VEEDER: I have no further questions, 13 14 Your Honor. 15 THE COURT: All right. MR. VEEDER: If you can't see them, that's 16 17 it. THE COURT: Further cross-examination? 18 19 Redirect? MR. SWEENEY: Government has no cross. 20 THE COURT: You may step down, Mr. Walton. 21 22 Thank you. (Witness is excused.) 23 MR. PRICE: Your Honor, I would like to 24 reserve the right to recall Mr. Hampson, Charlie 25

1 Hampson. He is not here at the present time. Ι 2 would like to reserve the right to call him. Ι 3 believe I asked him the state of irrigation in 4 my direct examination of him, in the early 1920's. 5 I do not believe that in my examination of him as 6 to the state of irrigation -- (inaudible) --7 vicinity of Omak --2 THE REPORTER: Mr. Price, I can't hear. 9 (Exhibits are being taken 10 down from easel.) 11 While that is going on, he THE COURT: 12 can't get anything you are saying. 13 Counsel, it may be a good time to take the 14 afternoon recess. Perhaps counsel can reorganize 15 the exhibits during the recess that they are going 16 to use the rest of the afternoon. Court will be 17 in recess 15 minutes. 18 THE CLERK OF THE COURT: All rise. Court 19 is now at recess for 15 minutes. 20 (Afternoon recess is taken.) 21 22 23 24 25

1 THE BAILIFF: All rise. Court is 2 reconvened following recess. 3 THE COURT: Are you back on redirect or 4 calling a new witness? 5 MR. PRICE: I think I am ready to quit, Your Honor. I have a few housekeeping functions. 6 7 THE COURT: Very good. That is 8 MR. PRICE: I should comment. 9 the biggest smile I have seen on your face for some 10 time when I said I'm going to quit. THE COURT: Unfortunately, you are only 11 12 one-fourth. MR. PRICE: I would like at this time to 13 move for the publication of the deposition of Eri, 14 15 E-r-i, B. Parker, P-a-r-k-e-r, which was taken 16 on July 21, 1976, in Spokane before a court 17 reporter with Harry Johnson representing the 18 I was representing Colville Confederated Tribes. 19 the Waltons and James B. Crum, U.S. Assistant Attorney was representing the plaintiff, United 20 21 States of America. The State was notified of the 22 deposition but did not attend. The Stipulation for the deposition provided 23 24 that, among other things, it was taken for the 25 preservation of testimony. Mr. Parker has since

8 He was one of the original surveyors on the died. 2 Colville Reservation when the land was allotted and 3 the individual Indians would ask for allotments, he 4 would participate in actually surveying the property 5 for the individual Indian allotments. 6 Also, as part of that deposition was an exhibit 7 which I consider a historical document, a map 8 drawn by the Indian agent at that time showing 9 depiction of various allotted tracts, Indian lands, 10 and lands that were out of trust. 11 MR. SWEENEY: I have no objection. 12 MR. VEEDER: I have never seen those. 13 MR. PRICE: Your office was represented 14 by Harry Johnson at your request, Mr. Veeder. 15 MR. VEEDER: Well, as I say, I still have 16 never seen the deposition and I have never seen the 17 exhibit to which you are referring. 18 Well, that's --MR. PRICE: 19 I can't change that, I guess, THE COURT: 20 but if it was taken by stipulation, it is entitled 21 to be made part of the record. Now, we can do one 22 of two things. We can put somebody on the stand 23 and we can read it into the record or if counsel 24 prefers, I will simply read it, but I think it is 25 entitled to be put into the record, if it was

1 taken on proper notice and stipulation. MR. VEEDER: Well, I wouldn't want to take 2 the time to have it put into the record, Your Honor. 3 4 I would just as soon -- would you give me the date 5 on that. 6 MR. PRICE: July 20, 1976, taken at 7 Rockwood Manor here in Spokane before Mr. Baer, 8 a notary public. 9 MR. VEEDER: May I just have a moment. 10 THE COURT: Is that the only copy in 11 existence? 12 I hope not. MR. PRICE: 13 MR. MACK: Your Honor, the State has no 14 objection. 15 MR. PRICE: I am assuming the original 16 has been filed with the Court. 17 THE COURT: Bailiff, would you check the files on my desk. I don't recall ever seeing this. 18 19 MR. PRICE: This is the only copy I happen 20 to have, Your Honor. I would be willing to have 21 the copy identified. 22 I would like to withdraw it MR. VEEDER: 23 and copy it. It was stipulated to. 24 THE COURT: Was there any objection to 25 the exhibit at the time of the deposition?

There was none, Your Honor. MR. PRICE: 1 MR. VEEDER: And this is the exhibit? 2 MR. PRICE: That is a copy of the exhibit. 3 The original is with the original of the deposition. 4 THE COURT: It might be in the Clerk's 5 I just haven't seen it. office. 6 Does counsel desire this to be made a verbatim 7 record or would counsel be willing to stipulate it 8 be made a part of the record and the Court will read 9 it? 10 It is perfectly all right to MR. VEEDER: 11 have it made a part of the record for Your Honor 12 to consider. 13 That's fine. MR. PRICE: 14 MR. MACK: Yes, Your Honor. 15 MR. SWEENEY: Yes, Your Honor. 16 THE COURT: All right, the Parker deposi-17 tion will be admitted. Parties have stipulated this 18 need not be read into the record at this time but the 19 Court will read the deposition. 20 MR. PRICE: Before the break, Your Honor, 21 I was asking to reserve the right to recall Mr. 22 Charlie Hampson with respect to testimony regarding 23 the irrigation practices in Omak in Okanogan County 24 in the late 1940's and quite honestly my notes 25
don't reflect to me whether or not I may have gone 1 into that. I do not believe I did. The testimony 2 would be brief. I would like the right to recall 3 him when he is able to arrive. ▲ THE COURT: Is there any objection to 5 6 permitting reopen? The Government has no 7 MR. SWEENEY: objection if it is limited to practices in general, 8 as I understand it, for irrigation in Omak and 9 Okanogan Counties, during the 1920's -- is that? 10 1940's. He testified the MR. PRICE: 11 first time on the 1920's and I would like to update 12 it to the 1940's. 13 14 THE COURT: State? No objection. 15 MR. MACK: 16 Tribe? THE COURT: 17 MR. VEEDER: No. 18 You may reopen. THE COURT: Thank you, Your Honor. 19 MR. PRICE: 20 Thank you, Counsel. Your Honor, as part of the motion that we took 21 up this morning with our offer of proof of waters 22 from Omak Creek, I have also requested in that 23 motion that the Court not consider waters from the 24 upper basin for use for allotments in the lower 25

basin on the same basis, as I understand, that the Court's ruling does not allow the Waltons to put that evidence on with regard to Omak Creek, there not being a direct hydrologic connection. That may better be left for argument rather than at this point.

THE COURT: I think so, Counsel, because there is some evidence in the record that I don't think the record is entirely undisputed on that point.

MR. PRICE: All right. Last, I would like to ask that on my cross-examination of Mr. Watson, he agreed to provide me with the calculations as to how he arrived at his figure of .145. I believe it was the specific yield figure, and I would ask that those be provided to me while we are here.

THE COURT: Yes, what is that status of that. There was the request.

MR. PRICE: He indicated he had them in his room but not here at the courthouse and I was to receive those and I have never received those and I would like to renew that request and, with that, we would --

MR. VEEDER: Just a moment. You asked

1

2

3

4

5

б

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

ŝ they were, did you not, Mr. Price. I didn't know 2 that you asked that they be delivered to you. 3 MR. PRICE: I asked -- I reviewed the 4 transcript this morning back in the hotel, but I 5 did ask for it. 6 MR. VEEDER: You did? 7 MR. PRICE: Yes. 8 MR. VEEDER: Well, we will get it for you. 9 MR. PRICE: Thank you. 10 THE COURT: They will be furnished then? 11 MR. VEEDER: Oh, yes. 12 THE COURT: Very good. 13 Defendant, Walton, will rest, MR. PRICE: 14 Your Honor. Thank you. 15 MR. VEEDER: Your Honor, may I raise a 16 point here now. 17 Throughout this testimony reference has been 18 made to applications by the State -- application 19 by Mr. Walton to the State for the application for 20 appropriation of rights to the use of water. 21 I have obtained certified copies from the State 22 of Washington of each of these applications that 23 were made by Mr. Walton. 24 Now, I can wait until the State puts some 25 witness on or I can offer these now so it would be

germane to and part of Mr. Walton's case. I think they are important to this case, and each of the Waltons have testified in regard to them.

Would it be proper, Your Honor? I am asking for direction on this. I would like to make an offer on each one of these and have them go into the record as a total record of the application to appropriate rights to use of water, the acceptance of them, sometimes rejection. They are here and I would like to offer them into the record, if I could.

MR. PRICE: I do not feel it is appropriate for Mr. Veeder to submit exhibits for the purpose of our case, Your Honor.

I would like to view the exhibits and if he wants to offer them as part of his case at the appropriate time, I --

MR. VEEDER: He was free to look at them when I -- they are here now, Mr. Price, if you want to look at them, fine.

21 THE COURT: You may offer them on rebuttal,
22 then.
23 MP. VEEDER: Thank you

MR. VEEDER: Thank you. THE COURT: Did I understand you rest? MR. PRICE: Yes, Your Honor.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

24

25

PAGE 25.38

THE COURT: Does the State have defense? 1 MISS ECKERT: We do, Your Honor, and just 2 to give some idea to the Court and to fellow 3 counsel in the case, I might briefly outline the 4 people who we expect to call. 5 First, we will be calling Mr. Peder Grimstad 6 who will briefly testify about certain hydrologic 7 matters, then Mr. Cline, Dr. Maddox, Mr. Wallace, R and possibly tomorrow Mr. Kristopolis [phonetic], 9 and that will amount to the testimony the State 10 will present, and at this time we would call Mr. 11 Peder Grimstad as our first witness. 12 13 called as a witness herein, 14 PEDER GRIMSTAD, being first duly sworn on 15 oath, testified as follows: 16 17 THE CLERK OF THE COURT: Would you please 18 state your full name to the Court. 19 THE COURT: My name is Peder Grimstad. 20 THE CLERK OF THE COURT: Thank you. 21 22 DIRECT EXAMINATION 23 24 BY MISS ECKERT: Mr. Grimstad, where do you presently reside? 25 0

١	А	I live in Olympia.
2	Q	And by whom are you presently employed?
3	A	Department of Ecology.
4	Q	What is your job title with the Department of
5		Ecology?
6	A	Section Head of the Water Resources Investigation
7		Section of the Office of Water Programs.
8	Q	And how long have you been employed in that capacity?
9	А	Since the establishment of the Department of
10		Ecology which I believe was in 1972.
11	Q	Prior to your position with the Department of
12		Ecology, can you explain by whom you were employed
13		before 1972.
14	A	I went to work for the Department of Conservation
15		in 1967.
16	Q	Let me interrupt you just a moment. The Department
17		of Conservation, is that the predecessor agency of
18		the Department of Ecology?
19	A	Yes.
20	Q	Excuse me.
21	A	And I worked in water management for approximately
22		one year and then I transferred into the Division
23		of Adjudications. The Department of Conservation
24		had become the Department of Water Resources.
25	Q	Qkay.

a star

1	A	I worked in adjudications as a mapping chief for
2		approximately three, three to four years.
3	Q	And prior to your employment with the State of
4		Washington in those capacities, by whom were you
5		employed?
6	A	By Shell Oil Company.
7	Q	And in what capacity?
8	A	As an exploration geologist.
9	Q	And very briefly, what did your duties involve in
10		that regard?
11	A	Initially, exploration in the field for oil, and
12		after that I went to well sitting duties, offshore.
13	Q	And how long, roughly, were you employed by Shell?
14	A	15 years.
15	Q	And prior to your employment with Shell Oil, were
16		you employed?
17	A	While I was going to school, yes, I was employed
18		by the National Bureau of Standards as a cement
19		chemist.
20	Q	And can you briefly state your educational background,
21		whether you are a graduate from college and, if so,
22		which college and with what degree.
23	А	I graduated from the University of Washington
24		with a Bachelor of Science degree in geology.
25	Q	Okay. Now, turning to your present employment with

1		the Department of Ecology, can you explain for the
2		Court what your duties entail with the Department
3		of Ecology.
4	А	The Water Resource Investigation Section works with
5		the water management people of the Department of
6		Ecology and advises them in technical problems regard-
7		ing water quantities, both ground and surface.
8	Q	In the course of your work, have you had the
9		occasion to perform, for example, pump tests
10		throughout Washington State?
11	A	Yes.
12	Q	Incidentally, have you, in the course of your
13		work with the Department of Ecology, been to No Name
14		Creek Valley and the vicinity?
15	А	Yes, I have driven through the area.
16	Q	Have you done any studies of any nature on No Name
17		Creek and the water resources?
18	A	No.
19	Q	Now, in the course of your work with the Department
20		of Ecology, the Water Resources Investigation
21		Section, have you had occasion to become familiar
22		with various techniques of determining quantities
23		or availability of groundwater in locations
24		throughout Washington State?
25	A	Yes.
	1	

.

- and the

1	Q	And do you consider yourself to be generally familiar
2		with the various techniques that are used in this
3		field
4	A	Yes.
5	Q	to make those determinations.
6		Based upon that, Mr. Grimstad, can you briefly
7		explain for a valley such as the No Name Creek Valley
8		what methods would be available to a professional
9		hydrologist, geohydrologist, whatever you call
10		yourself, to determine the available water? Very
11		briefly, what kind of methods could one use, if
12		one wanted to find that out?
13	A	The methodology that I would use?
14	Q	Yes.
15	A	If I were to study the area? I would approach it,
16		I believe, from the inflow-outflow method or water
17		budget method, because there is, there appears
18		to be that type of control there, that is, we have
19		the famous granite lip which gives us control on
20		the outflow from the basin and at least at this
21		time a goodly number of wells which would give us
22		control on the water levels in the water table
23		aquifer.
24	Q	In the course of your preparation for this testimony,
25		have you had the occasion to

"Handard St

1 I can't hear, Miss --MR. VEEDER; 2 MISS ECKERT: Excuse me. 3 In the course of your preparation for appearing 0 4 today, Mr. Grimstad, have you had the occasion to 5 review the U.S.G.S. study, principally authored by 6 Denny Cline? 7 Yes. Α 8 And in your professional opinion, were the method --Q 9 was the methodology employed by the U.S.G.S. as 10 reflected in that report professionally acceptable? 11 Α Yes. 12 MR. SWEENEY: Just -- I don't believe this 13 is really proper direct testimony. Mr. Grimstad, 14 first of all, stated that he had driven through the 15 area. He is merely providing us with a critique 16 and various methods of doing so which I don't think 17 is proper evidence in chief to establish any relevant 18 facts or even opinions in this case. 19 THE COURT: Miss Eckert? 20 MISS ECKERT: Well, Your Honor, I think 21 as we get toward the end of this case, we are 22 all getting wound up in the order of presentation. 23 Perhaps Mr. Grimstad's testimony is more properly 24 characterized as rebuttal. We are trying to save 25 some time and also, quite frankly, to allow him to

	take the late plane back home this evening. There
	has been question as to whether or not the United
	States Geological Survey methodology as applied to
	the particular study that they performed was an
	acceptable methodology and we have here in the
	testimony by a fellow hydrologist that, in his
	opinion, it is an acceptable method. That is the
	only purpose for which it was brought out.
	THE COURT: I will overrule the objection.
	Go ahead.
Q	(By Miss Eckert) Mr. Grimstad, is another method
	which one, a hydrologist, can use to determine
	availability of water resources a groundwater
	resource in a valley such as the No Name Creek Valley,
	the flow net method?
A	Yes.
Q	Have you had occasion to use the flow net method
	in your work with the State of Washington?
A	No, I have never used it.
Q	Now, very briefly, are you generally familiar with
	the definitions aquifer, aquiclude, and aquitard?
A	Yes.
Q	As they are used by the professional hydrologists.
	In general, is the definition of aquifer as
	you would use it, is that expressed in quantitative
	Q A Q A Q A Q

uctions.

1 terms? 2 Ά Not that I'm aware of. 3 THE COURT: What was that third one, 4 Counsel? 5 What was the third one? MISS ECKERT: 6 THE COURT: What was the third one. 7 MISS ECKERT: Aquifer, aquiclude and 8 aquitard. 9 MR. VEEDER: Aquitard? 10 MISS ECKERT: A-q-u-i-t-a-r-d. 11 THE COURT: Okay. 12 MR. VEEDER: Did anyone use that term? 13 MISS ECKERT: He just did. 14 THE COURT: He just did. 15 MR. VEEDER: Something has been added. 16 THE COURT: Go ahead. 17 (By Miss Eckert) Finally, Mr. Grimstad, have you 0 18 had any occasion to make comparison of the amounts 19 of water used by Mr. Walton in his diversion of 20 water in comparison to the amount that water would 21 have contributed to Omak Lake had it not been used 22 by Mr. Walton? 23 Yes. Α 24 MR. VEEDER: I will object to this, Your 25 Honor.

1 THE COURT: Why? 2 MR. VEEDER: Well, this man said he made 3 no study in there. 4 THE COURT: She just asked him that, if 5 he has made any. He hasn't answered it yet. б You may answer. 7 I believe I have answered. Yes. А 8 And then, can you explain for us, strike that --0 9 excuse me. 10 Do you know, Mr. Grimstad, how much water Mr. 11 Walton used in 1976? 12 I object to this, Your Honor. MR. VEEDER: 13 This witness hasn't been here throughout the entire 14 trial; has he? 15 Counsel, he can answer yes MISS ECKERT: 16 or no and then the next question is where did he get 17 the information. 18 THE COURT: Let her proceed, Counsel. 19 Yes. Α 20 Ο And, Mr. Grimstad, then, upon what do you base your 21 answer of yes that you do know how much water Mr. 22 Walton used in 1976? 23 On the basis of the figures given in Mr. Cline's Α 24 report. 25 So, you used the Cline report? Q

1	A	Yes.
2	Q	And using that report, then, what was the figure
3		you came up with for Mr. Walton's water use for
4		1976?
5	A	182 acre-feet.
6	Q	Now, can you tell me, then, in comparison to Omak
7		Lake, what the relative contribution of that 100
8		excuse me, I have forgotten your figure.
9	A	182.
10	Q	182 acre-feet for 1976, how much water that would
11		have contributed to Omak Lake, if you know, and if
12		you do know, would you please state your opinion
13		and upon what it is based.
14		MR. VEEDER: Well, I renew my objection
15		to this, Your Honor. Certainly there is no factual
16		basis that this witness has stated upon which he
17		can draw his conclusion. He has stated 182 acre-feet
18		in 1976, but I find no predicate whatever as to the
19		quantity of return flow from the 182 acre-feet or
20		where it would go if there was return flow.
21		THE COURT: Counsel, in what sometimes is
22		termed as the "good old days" when I was in the
23		practice of law, your objection would be well taken,
24		but under the present federal rules of evidence he
25		is permitted to explain, give his opinion, and in

"Holenit

1 cross-examination you may attack the basis for the 2 opinion. 3 MR. VEEDER: I understand. A Objection overruled. THE COURT: 5 I understand the federal rules MR. VEEDER: 6 are new, Your Honor, and I'm trying to live with 7 them. 8 You may proceed. THE COURT: 9 MISS ECKERT: Let me try it this way. 10 Mr. Grimstad, do you know the area covered by Lake Q 11 Do you know the size of Lake Omak? Omak? 12 Yes. Ά 13 And how do you know that? From whence does that 0 14 information come? 15 From Mr. Wolcott's book of Lakes of Washington. Α 16 I hand you what is marked for identification TTT-SW, Q 17 and ask you if you know what that is. 18 This is a xerox copy of the page in the Water Α Yes. 19 Supply Bulletin 14, Lakes of Washington, Volume 2, 20 Eastern Washington, by Ernest E. Wolcott, Third 21 Edition, Olympia, Washington, 1973. 22 And the second page of that proposed exhibit? Q 23 That is a xerox copy of page 323 of the publication. Α 24 And what does that page show to you, if anything? Q 25 I gives me the figure that I used in my determination Α

and that figure is 3,243.9 acres. 1 Now, let me ask you this, Mr. Grimstad, have you 0 2 had opportunity in the course of your employment 3 with the Department of Ecology to use the Lakes 4 of Washington book before? 5 Yes. 6 А 7 The Wolcott book. 0 А Yes. 8 And have you generally found it to be a reliable 9 0 source of information? 10 Yes. 11 Α And is it the kind of information that, well --12 0 strike that. 13 Using the surface or the area, then, of Omak 14 Lake, and were you able to draw any comparisons 15 between that and the water used by Mr. Walton in 16 1976 which you previously testified, 182 acre-feet? 17 18 Α Yes. And what was your conclusion, your opinion, if you 19 0 20 have one? The draw down -- if the water had been coming from 21 А Omak Lake, the draw down in the water level of 22 the lake would have been .7, .67 inch. 23 Now, for -- that was the 1976 figure; is that 24 Q 25 correct?

A Correct.

Contraction of

"Needlado"

2	Q	Incidentally, is that a measurable kind of draw
3		down, in your opinion?
4	A	No, no, it certainly isn't.
5	Q	Okay. For 1977, do you have an idea do you know
6		how much water Mr. Walton used in 1977?
7	A	Yes, from the same source of information, Mr.
8		Cline's report.
9	Q	And what was that amount of water?
10	А	250 acre-feet.
11	Q	Okay, and then again, comparing it to Omak Lake,
12		did you arrive at any conclusion as to the amount
13		of draw down in Omak Lake?
14	A	Yes.
15	Q	What was that conclusion?
16	А	Nine-tenths of a inch.
17	Q	Again, is that, in your opinion, a measurable?
18	А	No, that is not.
19	Q	And have you, Mr. Grimstad, made any estimation
20		of the evaporation rate from Omak Lake?
21	А	No, I haven't.
22	Q	Do you have any idea of how much water is evaporated
23		from the surface of Omak Lake over the period of
24		a year?
	(
25	A	That information is available. I don't have it.

		MISS ECKERT: I have no further questions
2		at this time. Thank you.
3		THE COURT: Cross-examination?
4		Mr. Burchette.
5		MR. BURCHETTE: I just have a couple of
6		questions, Your Honor.
7		
8		CROSS-EXAMINATION
9	BY M	R. BURCHETTE:
10	Q	You testified that you just drove by the No Name
11		Creek Valley; is that correct?
12	A	Drove through the area; yes.
13	Q	Have you gotten out and walked on the property,
14		either Mr. Walton's property or the Indian property
15		in this Valley?
16	А	On my second visit, I walked a stretch of the stream
17		below the granite lip and I walked in the vicinity
18		of the granite lip.
19	Q	How many times have you been on the property?
20	A	That was it, that one time.
21		MR. BURCHETTE: I have no further
22		questions, Your Honor.
23		THE COURT: Mr. Veeder?
24		MR. VEEDER: I have no questions, Your
25		Honor.

- Satation

1 THE COURT: You may step down, Mr. Grimstad. 2 (Witness is excused.) 3 MISS ECKERT: Your Honor, if you will A excuse the professional untidiness, I would like to 5 offer Exhibit TTT-SW at this time. 6 THE COURT: That is the lake bulletin? 7 MISS ECKERT: That is correct. 8 MR. PRICE: No objection, Your Honor. 9 MR. VEEDER: I have no objection. 10 MR. SWEENEY: (Nodding no.) 11 THE COURT: TTT-SW is admitted. 12 (State Exhibit TTT-SW is 13 admitted.) 14 The next witness for the State MR. MACK: 15 would be Mr. Carpenter. 16 17 called as a witness herein, PHILIP J. CARPENTER, 18 being first duly sworn on oath, 19 testified as follows: 20 21 MR. MACK: Could you give your name please 22 for the Court. 23 THE WITNESS: My name is Philip J. 24 Carpenter, one "L" in Philip. 25

1		DIRECT EXAMINATION
2	BY M	R. MACK:
2	Q	Who are you employed by, Mr. Carpenter?
4	A	Department of Interior, U.S. Geological Survey.
5	Q	And where are your offices?
6	A	Tacoma, Washington.
7	Q	And what is your title with the Geological Survey?
8	А	Associate District Chief.
9	Q	And what do your duties include?
10	A	I am second in command of the entire operations
11		in the State of Washington and have direct
12		responsibility for the collection and analysis of
13 -		hydrologic data.
14	Q	How long have you worked for the Survey?
15	A	Since 1958 with two years out for the Army.
16	Q	And where else have you worked for the Survey,
17		and in general what have you done for it?
18	A	I started in Iowa and worked there roughly three
19		years doing stream flow measurements, two years
20		in Iowa doing special studies including low flow
21		investigations and flood frequency investigations,
22		two years in Washington, D.C. acting as a consultant
23		to the Atomic Energy Commission on siting of nuclear
24		reactors, two years in the State of Indiana as
25		chief of a sub-district in charge of basic data

- Angele

I

1		collection and project work, and moving to Tacoma
2		in 1974, present position.
3	Q	And what is your educational background past secondary
4		school?
5	A	I have a Bachelor's degree in agricultural engineering
6		from Iowa State University, major in soil and water
7		conservation and minor in math. Master's degree
8		in water resources engineering from the University
9		of Kansas.
10	Q	And what subjects generally were included in your
11		study for the Master's degree from the University
12		of Kansas?
13	A	It covered the whole range of hydrology from
14		quantitative and qualitative, groundwater,
15		meterology, statistics, water resources engineering.
16	Q	And did you study surface water hydrology?
17	A	Yes, I did.
18	Q	And did you study hydraulics, open channel hydraulics
19		or surface hydrology?
20	А	Yes, I did.
21	Q	Now, Mr. Carpenter, are you familiar with the
22		U.S.G.S. work in the No Name Creek area?
23	А	Yes, I am.
24	Q	And why are you familiar?
25	A	Well, as associate district chief, again, I was

"Subarro

1 second in command of the operations there, and for 2 the basic data portions I had direct responsibility 3 for those, the collection and analysis of surface 4 water basic data. 5 And when you say you had direct control and 0 6 supervision, what did that involve? 7 Well, the sub-district operating out of Spokane Α 8 has technicians and professionals who make stream 9 flow measurements and do calculations of discharge 10 I'm responsible for the quality of that records. 11 data and as such I do review that data. 12 And are you familiar with what has been referred to 0 13 as the Cline report or I believe it is U.S. Exhibit 14 1? 15 I have read the Cline report. Α 16 Now, are you familiar with the techniques used by 0 17 the U.S. Geological Survey in making surface 18 measurements in the No Name Creek area? 19 Α Yes. 20 And could you testify as to the reliability of those Q 21 techniques or the opinion of those techniques in 22 the profession? 23 I guess I would answer that from two approaches, one Α 24 being that since the late 1800's the Geological 25 Survey has had the responsibility for making the

measurements and, as far as I know, those techniques have been accepted by the federal community as well as other communities. We also do work in foreign hydrology where our techniques are used there also.

From a more professional point I would say the Senate Select Committee in 1961 made certain recommendations which caused the Office of Management and Budget to issue Circular A67 which gave to the Department of Interior the responsibility for all data collections in the country for the federal The Department of Interior in turn agencies. created the Office of Water Data Coordination and two advisor committees, one of them a federal committee and one of them a non-federal committee. The federal committee has had the responsibility of publishing formal recommendations for water data acquisition and analysis. That is now in the preliminary draft report and will be out in 1978. Have any of the committees or has the Office of 0 Water Data Coordination commented on the validity of using the U.S.G.S. surface flow measurement techniques?

A The preliminary reports cites as the standard the technical water resources investigation and surface water techniques publications of the Geological

1

2

3

5

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Survey as being those standards to be used. 1 Does the Department of Interior have a representative 2 Q or more than one representative on the advisory, 3 4 the federal advisory committee? 5 Yes, they do. Α Yes, and do you know whether the Bureau of Indian 6 Q 7 Affairs has a representative on that? 8 Α Yes, I do. 9 Q Do you know who he is? 10 I believe it is Mr. Corke. Α 11 Now, Mr. Carpenter, in your review of the surface 0 flow measurements of No Name Creek and Omak Creek 12 13 done by the United States Geological Survey --14 Can T --Α 15 Q Okay. 16 It was Mr. Corke at the time that I had the А 17 publication, you know, it could have changed. 18 Q Okay, thank you. 19 In reviewing the surface flow measurements 20 for No Name Creek and Omak Creek done by your 21 agency, have you come to an opinion as to whether 22 the proper procedures were used in making those 23 measurements? 24 Α They were. 25 0 That is your opinion?

1	A	Yes, it is.
2	Q	And you have reviewed the data?
3	A	Yes, I did. On two occasions.
4	Q	Now, Mr. Carpenter, are you familiar with the
5		method of measuring stream flow using a flume?
6	А	Yes, I am.
7	Q	And are there any problems associated with using
8		a flume in making surface stream flow measurements
9		in this area or any other area?
10	A	There are several problems.
11	Q	And could you please explain those and would you
12		need any paper in doing this?
13	A	I can try without paper first and see what happens.
14	Q	Okay.
15	A	And I just being as how we are talking about
16		No Name Creek, can I talk about Parshall flumes
17		in specific?
18	Q	Sure, if you prefer that.
19	А	First of all, flumes are not manufactured precisely
20		as the manufacturer says they are and it is very
21		difficult to install them perfectly level and
22		perfectly as prescribed, so, therefore, one must
23		usually rate a Parshall flume by actual discharge
24		measurements or at least you must check the
25		manufacturer's rating on discharge measurement.

1		There are other problems associated with
2		Parshall flumes in installation and you must channel
3		all of the water through the flume itself and then
4		you must continually watch for growth in the flume
5		and backwater occurring in the tail of the flume.
6	Q	What effect does growth on the flume have?
7	А	If growth in on the bottom of the flume or if there
8		is reeds growing into the flume, it can cause
9		disturbances in the water entering the flume or
10		flowing through the flume and cause errors in the
11		discharge through that flume.
12	Q	Is that more likely to occur in strike that.
13		Where there is such growth, is the data likely
14		to be more suspect for a smaller stream than for
15		a larger stream?
16	А	If the flume is properly rated by discharge
17		measurement, it is not.
18	Q	What is that method wasn't used?
19	А	If you were relying on a manufacturer's rating,
20		there would be some problems. You would not agree
21		with the rating.
22	Q	And the manufacturer's rating, is that provided by
23		the manufacturer in the form of a rating curve?
24	А	Usually in the form of a rating table which is a
25		gauge right down one side and discharge written

. Souther

in blocks across.

1

2	Q	And then a curve can be drawn from that?
3	А	A curve can be drawn.
4	Q	Now, you refer to backwater. What is that and how
5		does that occur?
б	А	Backwater occurs from things happening in the
7		channel below the flume such as sand or weeds growing
8		in the channel or limbs from trees growing in the
9		channel which causes the elevation of the water to
10		rise higher than it normally would. When it does
11		that, it causes a water surface profile backing up
12		on the flume and causing you to get improper
13		discharge using a manufacturer's rating.
14	Q	Fine. Have you observed any of the flumes installed
15		on No Name Creek or Omak Creek?
16	А	Yes, I have.
17	Q .	And have you observed these phenomena with regard
18		to any of the flumes you have observed?
19	A	The flumes, the two flumes that are through the
20		Walton diversion have no growth, however, I do
21		believe they have had backwater on them at times.
22		The flume at and forgive me, because I don't
23		know all of your numbers the flume above the
24		diversion has growth on the bottom of it and the
25		flume operated by, I believe the Tribe, has growth
	1	

AMMAN.

1		on it and weeds growing in the entrance to it.
2	Q	Where is that, do you know?
3	A	It's at the granite lip. There is also water leaking
4		under that flume, as it one of our flumes also.
5	Q	Now, did the U.S.G.S. use manufacturer's rating
6		curve in coming up with its data?
7	A	It checked the manufacturer's rating curve on all
8		of the flumes and found them to be unsatisfactory.
9	Q	And what did it do?
10	А	It used normal procedures for gauging streams to
11		draw shifts and shift curves, originally ratings
12		and then shift curves to those.
13	Q	And what do you mean by shift curves?
14	A	And I want to make one point clear. I'm not talking
15		about the Indian flume. I'm talking about our own
16		flume and our own rating.
17	Q	What is a shift curve or basically what is done with
18		a shift curve?
19	A	Maybe I will have to go to the diagram now, if I
20		can.
21		THE COURT: Would the Bailiff help.
22	А	At any site normally being gauged, one normally
23		goes out and makes a series of discharge measurements
24		and gathering the stage and the water discharge at
25	,	the time. It doesn't make any difference whether
		. 4

Gal

(Internet)

it's a flume or a weir or channel control, the same procedures follow, and all you do is plot the water surface elevation against the discharge and with a series of discharge measurements made you draw the best curve that you can through them and call it a rating curve.

7 Now, when subsequent measurements are made, you probably will not hit that curve and you will 8 9 land somewhere else. At that time you can do one 10 of two things. You can make a temporary shift of 11 that measurement or you can draw a shift curve or 12 vou can wait until you collect some more discharge If you find another one here, then 13 measurement. 14 you may end up by drawing a shift curve. Essentially 15 what that says, at any given water surface elevation you don't get what you thought you would get off of 16 17 a rating curve. You would get something less. In 18 this case it would be caused by backwater or whatever. 19 Is it common to get something different than what Q 20 you would have plotted on a rating curve? 21 Yes, it is. Α 22 And is this an on-going process of all the data Q 23 collected from time to time in drawing shift curves? 24 It is. А 25 And did U.S.G.S. employ these procedures in its work 0

1

2

3

4

5

6

1		in the No Name Creek area?
2	A	Yes, it did.
3		MR. MACK: Now, I would like to refer to
4		Colville Exhibit 21-20.
5	Q	Now, referring you to Colville Exhibit 21-20, have
6		you seen that exhibit before?
7	A	Yes, I have.
8	Q	Are you familiar with the terminology used on that
9		exhibit and the techniques used to produce the data
10		for that exhibit?
11	А	I am. I'm not sure I quite understand the right-
12		hand side of the exhibit.
13	Q .	Have you had cause to review testimony by Mr. Watson
14		with regard to Exhibit 21-20?
15	А	I have read it very quickly.
16	Q	Now, using, if you want, to illustrate this, using
17		the paper to the right, can you indicate to the
18		Court any problems in measuring the stream flow or
19		measuring velocity and discharge of a cross-section
20		of a stream of the size of No Name Creek.
21	А	Well, there are several problems, the largest
22		problem being the depth is so shallow that close
23		to the bottom and close to the surface you get
24		velocity determinations which are not very accurate.
25	Q	Are there such readings as shown on Colville Exhibit

Vdemso

Applique.

Witness

1		21-20 which are, in your opinion, too close to the
2		bottom or too close to the top?
3	A	Yes, I would say so.
4	Q	And
5	A	However, I don't know what was done with this data.
6	Q	I'm just referring to the data shown on the exhibit.
7	A	Correct.
8	Q	And when you say too close to the bottom or too close
9		to the top, what kind of distortion would that give
10		you and how would that affect velocity?
11	A	If one measures which it appears they have here
12		the velocity of several points on any one vertical
13		and plot that data on a vertical velocity curve,
14		where now I am plotting velocity and stage, and
15		let me divide the stage by the depth, if I might,
16		so I have unitized the data, and this, then at the
17		top would be one. The vertical velocity curve looks
18		something like this. It gets very steep down at
19		the bottom because it is coming close to zero at
20		the bottom, and in shallow depths it probably tends
21		to do this kind of thing because of wind
22		perturbation on the surface.
23	Q	Does the Geological Survey follow any sort of standard
24		in either spacing in both spacing the vertical
25		lines and measurements of a stream discharge at a

Churchen,

 point like that, and in determining how far down the vertical lines measurements will be taken? A Yes, they do. Q And could you explain what the U.S.G.S. does in that regard. A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 			
 vertical lines measurements will be taken? A Yes, they do. Q And could you explain what the U.S.G.S. does in that regard. A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 			point like that, and in determining how far down the
 A Yes, they do. Q And could you explain what the U.S.G.S. does in that regard. A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 	2		vertical lines measurements will be taken?
Q And could you explain what the U.S.G.S. does in that regard. A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about	3	A	Yes, they do.
 regard. A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 	4	Q	And could you explain what the U.S.G.S. does in that
 A In one that would be like this, where the depth is less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 	5		regard.
<pre>less than a foot, we would generally make that measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	б	A	In one that would be like this, where the depth is
<pre>measurement with a pygmy meter and we would measure at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	7		less than a foot, we would generally make that
<pre>at the six-tenth depth, six-tenths from the surface down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	8		measurement with a pygmy meter and we would measure
<pre>down to there. Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	9		at the six-tenth depth, six-tenths from the surface
 Q Why would you do that? A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 	10		down to there.
 A Because tests that we have made show that the velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about 	11	Q	Why would you do that?
<pre>velocity that we determined at the six-tenth depth is more accurate than taking a combination of several velocities in the vertical for those shallower depths.</pre> QDid the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? AYes, they do. Q	12	А	Because tests that we have made show that the
<pre>is more accurate than taking a combination of several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	13		velocity that we determined at the six-tenth depth
<pre>several velocities in the vertical for those shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	14		is more accurate than taking a combination of
<pre>shallower depths. Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about</pre>	15		several velocities in the vertical for those
Q Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? A Yes, they do. Q And how about	16		shallower depths.
<pre>measurements here and do what you just described? A Yes, they do. Q And how about</pre>	17	Q	Did the U.S.G.S. use pygmy meters in its surface
<pre>A Yes, they do. Q And how about</pre>	18		measurements here and do what you just described?
Q And how about	19	А	Yes, they do.
	20	Q	And how about
A You also asked	21	А	You also asked
Q Go ahead.	22	Q	Go ahead.
A You also asked about placement. With a pygmy meter	23	A	You also asked about placement. With a pygmy meter
we have one manual that says you can go down to	24		we have one manual that says you can go down to
two-tenths between the spacing the verticals. We	25		two-tenths between the spacing the verticals. We
<pre>A You also asked Q Go ahead.</pre>	17 18 19 20 21 22	Q A Q A Q	Did the U.S.G.S. use pygmy meters in its surface measurements here and do what you just described? Yes, they do. And how about You also asked Go ahead.

"Support

1		generally prescribe three-tenths. That is because
2		of setting the weighting rod on the bottom and
3		disturbing the bottom of the bed.
4	Q	Why would that be a problem?
5	A	If you set the rod on the bottom, you disturb whatever
6		the sand or gravels here so that if you overlap
7		closer than two-tenths of a foot you will get an
8		erroneous depth reading. We are measuring very
9		small amounts of water and these kinds of errors
10		make differences.
11	Q	Can there be problems arising in taking a long
12		amount of time to do a surface flow discharge
13		measurement on a cross-section of stream of this
14		size?
15	А	Yes, it can.
16	Q	And what are those and what amounts of time are
17		you thinking of?
18	A	If we can make instantaneous discharge measurements,
19		everybody would be happy, but that we cannot do,
20		so we like to make them as quickly as possible so
21		that the stage in the water surface does not change
22		while we are making the measurement. Even if the
23		stage doesn't change, there can be translatory
24		waves or other perturbations moving back and forth
25		down the creek which causes the threads of the

- Mango

÷

velocity to vary while you are making that measurement. 1 2 If you take a normal measurement with 25 sections if you could have that many sections as you take on the 3 4 realm of half an hour to make the measurement. Does anything longer than that give one problems 5 Q 6 in making measurements? 7 Anything longer than an instant gives problems. Α Now, have you examined the Colville Exhibit 21-20 8 Q 9 and does it indicate that 50 velocity measurements were made on the cross-sedtion shown at the top 10 11 of that exhibit? 12 I think I counted something like 41 or 42. А 13 And you counted the measurement --0 14 I have not seen the measurement notes for this А 15 I have counted what appeared to be measurement. 16 velocity determinations on this exhibit, and count 17 41 or 42. 18 MR. MACK: May I approach the exhibit, 19 Your Honor. And, Mr. Carpenter, when you examined Exhibit 21-20, 20 0 21 did you see the notation appearing below the 22 cross-section, 50 velocity measurements and 12 23 depth measurements. 24 Α I did. 25 Now, Mr. Carpenter, even if all sorts of measurements Q

were made properly on a cross-section of that size, a stream that size, would there be problems in arriving at a discharge or an accurate discharge figure for the stream?

A Absolutely.

1

2

3

5

6

7

R

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q And what would those be? Can you just explain those, please.

There are several errors associated with making А discharge measurements. The simplest one is the state of the mind of the man when he made the That error cannot be discharge measurement. The second one is the current quantified very well. meter error and there have been a number of studies done which show that a properly rated current meter will have an error of about one percent. The last error is the method type of error and that goes to how much error is associated with using a six-tenth depth method, or if the depth was deeper, a two and eight-tenths depth method compared to if we had ones we could measure as -- I don't see any vertical where they are taken every tenth, but if you did, compared to what we could do if we took them every So, there is that error associated. For tenth. sixth-tenth depth method that has generally been described as around two percent.

There is another error associated with how well 1 does this velocity determination represent what is 2 happening between here and there and here and there, 3 and how well does the depth measurement describe what is happening between this point and that point 5 with a sufficient number of sections, those errors, 6 there is a formula like four-thirds of a square 7 root of the number of stations that you take or 8 something. Using thousands of measurments, we have 9 done a calculation of accuracy of measurements and 10 we find that two-thirds of the time you put all of 11 these errors together, if you follow the described 12 techniques, you should have an error on the discharge 13 You would 14 measurement of less than three percent. have to add to that the error associated with the 15 state of mind of the hydrographer at the time of 16 17 making the measurement. Does the very shallowness of this stream give a 18 0 19 problem also in measurement? 20 Yes, it does. Α 21 And why is that? 0 Anytime you measure close to the bottom of a stream, 22 Α you have these problems associated with the velocity 23 24 bouncing off of the bed of the stream. Generally, 25 you should not measure less than three-tenths of
foot from the bottom with a pygmy meter. 1 And with a stream of this depth, is it true that 0 2 you are always -- well, strike that. 3 Is it fair to say that you are always close to the bottom of the stream? 5 Even when we took six-tenths depth measurements, Α 6 we were -- I'm sorry. This is in inches. Three-7 tenths, four inches from the bottom. 8 Now, you are familiar with the U.S.G.S. measurements 9 0 for Omak Creek discharges? 10 Yes, I am. 11 А Did the figures for discharge at the points of Q 12 measurements change from time to time, vary? 13 I'm sorry. State that one again. Α 14 At the particular points of measurement used at Q 15 Omak Creek, did the figures for discharge vary from 16 day to day or week to week? 17 18 Certainly. Α Is that an unexpected occurrence or an expected 19 0 20 occurrence? It is an expected occurrence. The water surface is 21 Α usually changing constantly. The discharge is 22 usually changing constantly also. 23 And did U.S.G.S. also plot stage discharge curves 24 0 and shift curves for Omak Creek? 25

A Yes, they did.

2	Q	Does the variation from day to day or let's say
3		from week to week in a discharge measurement at
4		a particular point indicate to you that the
5		discharge measurements are inaccurate by the fact
6		of that variance?
7	А	Not at all.
8	Q	Now, Mr. Carpenter, would you say that the variance
9		in the discharge figures which the U.S.G.S. did
10		obtain at Omak Creek was due to the limited number
11		of velocity with and depth measurements made by the
12		U.S. Geological Survey at this location. Would you
13		agree with that statement?
14	A	I'm not sure I understand what you mean by variance
15		of discharge and stage. Those two factors can cause
16		a variance in discharge, yes, they can, within the
17		accuracy limits that I just described.
18	Q	But the U.S.G.S. made allowances for the differences
19		as shown in the Omak Creek discharge; correct?
20	А	That is right.
21	Q	And so, as I understand it, well
22	A	We say the discharge measurements made in Omak
23		Creek, each one of them, are correct within five
24		or if we rated the measurement good, ten percent
25		and that stands on itself, from my previous
	I	

"Lagore

1 testimony. Mr. Carpenter, do you have an opinion as to the 2 0 validity of the stream flow measurement, techniques 3 4 and plottings as testified to and as shown on Colville Exhibit 21-20? 5 6 Say that again. А Referring you to Colville Exhibit 21-20, do you have 7 Q an opinion as to the validity of the stream flow 8 measurement techniques used in plotting the 9 information on that exhibit? 10 MR. VEEDER: I ask for clarification, what 11 is meant by validity, I don't -- accuracy? 12 MR. MACK: I will withdraw the question. 13 Mr. Carpenter, do you have an opinion as to whether 14 Q the surface flow measurements made by the U.S. 15 Geological Survey and relied on by Mr. Cline are 16 correct and reliable measurements? 17 18 А Yes, I do. 19 And what is your opinion? 0 20 They are correct. Α And have you read testimony critical of the 21 0 techniques used by Mr. Cline and the U.S. Geological 22 Survey in making those measurements? 23 Well, Mr. Cline did not take most of those velocity 24 Α 25 measurements or those discharge measurements. They

1		were made by technicians and professionals from our
2		sub-district office.
3	Q	Are you familiar with criticisms made of those
4		techniques and measurements as developed in this
5		trial?
6	А	Yes, I am.
7	Q	And is your opinion, nevertheless, that those are
8		accurate and reliable?
9	A	It is my opinion that they are accurate measurements.
10		MR. MACK: I don't have any further
11		questions.
12		THE COURT: Cross-examination.
13		Mr. Sweeney?
14		MR. SWEENEY: We have no cross.
15		THE COURT: Mr. Veeder?
16		MR. VEEDER: I don't think I have any
17		questions, Your Honor. I don't know what this
18		is all about, but I have no questions.
19		THE COURT: Mr. Price, do you have any
20		questions?
21		MR. PRICE: I have none, Your Honor,
22		nothing.
23		THE COURT: You may step down, Mr.
24		Carpenter. Thank you.
25		(Witness is excused.)

ANTON,

1 MR. MACK: Our next witness will be Mr. 2 Cline. 3 4 DENZEL R. CLINE, called as a witness herein, 5 having been previously sworn 6 on oath, testified as follows: 7 8 THE COURT: Mr. Cline, you are still under 9 oath. 10 11 DIRECT EXAMINATION 12 BY MR. MACK: 13 Now, Mr. Cline, do you have an opinion as to the Q 14 validity of using a water budget as a tool for 15 analysis in the No Name Creek area? 16 Α Yes, I do. 17 And what is your opinion? Q 18 Α It is an excellent tool. 19 Have you heard testimony critical of the use of a Ο 20 water budget in the No Name Creek area as developed 21 in this trial? 22 Yes, I have. Ά 23 Do you have an opinion in response to that as to Q 24 whether the use of a water budget by you in the No 25 Name Creek area was a valid use of the water budget?

Yes, I have an opinion. 1 Α What is your opinion? Q 2 My opinion still is that it is a very valid tool. 3 А May I put this exhibit up? MR. MACK: THE COURT: You may. 5 Ts that 25-4?6 7 MR. MACK: Yes. Now, Mr. Cline, calling your attention to Colville Q 8 Exhibit 25-4 and the comparisons thereon of water 9 budget element figures as developed by the Colville 10 Confederated Tribe and the U.S.G.S., first of all, 11 with regard to the parallel columns and the informa-12 tion shown thereon, are the same periods of time 13 used, to your knowledge, for the representation 14 15 of all of the elements shown on there? 16 No, they are not. Α 17 What are the differences? Q Well, for example, for the pumpage figure, the 18 Α water budget, as I used it and as stated on here 19 for April '77 through September '77, gives a total 20 of 971 acre-feet that was pumped. The Colville 21 The total 22 budget lists a figure of 996 acre-feet. that was pumped during the irrigation season was 23 994 acre-feet and that included pumpage during the 24 25 month of October which is not during the period of

the water budget.

1

2	Q	Do you have an opinion then as to whether the Colville
3		figure includes the month of October's discharge
4		figures?
5	А	I do have an opinion.
6	Q	Or some other month which is included in that period.
7	А	Well, it includes the month of October. Also there
8		is about two acre-feet that was pumped for a pump
9		test in December of 1976 that is included in that
10		figure.
11	Q	Now, Mr. Cline, if there are unknown variables
12		represented by let me ask you this: Are there
13		unknown variables represented by question marks on
14		Exhibit 25-4 for certain elements as used in the
15		water budget by the Colville Tribe?
16	A	Yes, there are.
17	Q	And do the presence of the unknown variables affect
18		whether a water budget can be then calculated to
19		see if the two sides equal each other?
20	A	Well, it would depend on the magnitude of the
21		variables and whether it would be relevant to
22		calculate the water budget.
23	Q	If one has a question mark on the left-hand side
24		of the budget as well as one on the right-hand side
25		of the budget, can, then, the budget seem to be

- Antonio

1		in balance or out of balance, or does it make it
2		impossible to determine that?
3	A	When you use question marks on both sides of the
4		equation, then this means you can't balance the
5		equation.
6	Q	Now, referring you to the question mark under the
7		third column that says Colville for what is that,
8		is that IL?
9	A	Yes, IL right here has a question mark for the
10		November '76-March '77 water budget, Colville's.
11	Q	Is it true you show zero for IL at that period?
12	А	Yes, I do.
13	Q	And do you have any knowledge as to the range of
14		what that figure for IL could be, keeping in mind
15		your knowledge of the aquifer and the occurrences
16		therein and the period as shown on that exhibit?
17	А	Well, I would say that most probably it is about
18		zero, but if you consider the pumping before the
19		first week of October, pumping well, I should
20		say pumping stopped the first week of October and
21		this water budget starts with November, so if you
22		consider that there may have been leakage from
23		before, back for October, there might have been
24		something in the order of two acre-feet involved
25		and if you included back to the month of September,

and no.

you might have perhaps another 13 or something of 1 that sort, but in any event I think the maximum 2 would be less than 20 acre-feet for that month. 3 4 And the range would be somewhere between zero and Q 5 20; is that correct? 6 Α Yes. 7 Now, the element OD which -- this isn't a criminal Q case -- and that stands in this case for Omak Creek 8 9 Diversion leakage; correct? 10 Yes. Α And for the period that's shown under the last 11 0 column for Colville April, 1977 to September, 1977 12 13 do you have any opinion as to whether one could determine a range for that figure for that period? 14 15 Yes, I do. Α 16 And what is your opinion? Ο 17 That the range for that figure would be somewhere Α between zero and 64 acre-feet which was the total 18 19 amount of water that was diverted. 20 And knowing the ranges for IL and OD, could then Q 21 the remaining element for the Colville figures 22 which is represented by two question marks, V, 23 be calculated within a range? 24 Yes, they can. Α 25 And V represents what? Could you just state it? Q

1	A	Well, that represents the change in volume in the
2		groundwater reservoir, that is, the volume of water
3		that has been removed from storage or the volume of
4		water that has been added to storage as the water
5		levels recovered.
б	Q	Now, do you see the figure of 89 in the last column
7		for the Colville water budget as representing the
8		element L and NN; do you see that?
9	А	Yes.
10	Q	Do you have an opinion as to whether the figure
11		89 shown for those elements matches the known stream
12		flow measurement figures which were developed by
13		U.S.G.S. in the area?
14	A	You say do I have an opinion?
15	Q	Yes.
16	А	Yes.
17	Q	What is your opinion?
18	А	That the figures for L for 89 and NN of 89 for the
19		Colville Budget of April, 1977 - September, 1977
20		is based on their testimony and exhibits giving
21		the discharge flows on No Name Creek, cannot be
22		correct.
23	Q	And why is that?
24	A	Because you have the measurement of the flow at
25	·	what I call Site Nl or Walton's north line, the

No.

1		water coming in, and the flow at Walton's diversion
2		which locks these two numbers together, and if you
3		vary the numbers, you vary one, you vary the other,
4		and using their discharge data if you say that the
5		spring flow of No Name Creek of 89 acre-feet is
6	-	correct, then you would calculate a leakage from
7		No Name Creek at Site Nl down to Site N5 of 123
8		acre-feet plus there was leakage above No Name Creek
9		of about 17 acre-feet which is the difference of
10		the measurement of the water pumped to the creek
11		and the flow through Site Nl which would give them,
12		using their data, that L should be 140 acre-feet.
13	Q	But that isn't indicated on Exhibit 25-4; is it,
14		the figure you just gave me?
15	A	No, it is not.
16	Q	Now, have you done any calculations considering
17		the ranges of figures which you testified to for
18		the unknown elements as shown in the Colville water
19		budget as to what V would come out to be then in
20		the Colville water budget?
21	А	Yes, I have.
22	Q	Haveyoudone it and could you please show that
23		graphically. Would that be easier than to explain
24		it?
25	A	Well, I think I probably can, maybe I can just do

it verbally.

Q Sure.

1

2

3 I may have to do it graphically, but using the water Α 4 budget and a value for the winter budget, for IL, 5 of zero which I say would be more proper since pumpage 6 had stopped sometime before the water budget 7 starts, V would calculate to 60 acre-feet. If you 8 used the maximum figure, it would be 80 acre-feet. 0 Using the -- well, summer budget, April, 1977 -10 September, 1977 and a value for OD, that is Omak 11 Creek Diversion, how much of that water that was diverted was not used by the crops and soaked into 12 the ground and recharged the groundwater reservoir, 13 14 and then basing the figure on what the Tribe has 15 indicated is the amount of water that the crops 16 were using as such, we picked a figure of about 17 8 acre-feet. What I am saying, all I am saying 18 is it could be rain, but anyway what that does is 19 give a volume change then of 800 acre-feet. Ιf 20 you were to increase the 8 acre-feet to, say, 38, 21 you would have a volume change of 770 acre-feet 22 or, in other words, a very small percent change. 23 And that is for which period? 0 24 For the April, '77 to September, '77, Colville Α 25 water budget.

1	Q	Now, is it true, Mr. Cline, do you have an opinion
2		as to whether the V which is the change, either
3		removal or addition to storage for groundwater,
4		as calculated by you for the Tribe's water budget
5		is too small for the winter budget and too large
6		for the summer budget?
7	A	Yes, I do.
8	Q .	And what is your opinion?
9	A	My opinion is that the volumes are not correct and
10		that as you stated, the volume change for the
11		V for the winter is too small and the volume V
12		for the summer is too large.
13	Q	Is it correct that the addition, the recharge to
14		the aquifer, generally occurs let me strike that
15		that there is a net recharge to the aquifer during
16		the winter months and a net discharge from the
17		aquifer during the summer months; is that correct?
18	A	During 1976-77, during the period of this water
19		budget, this is true. During the winter the water
20		levels recovered from pumping from the previous
21		irrigation season and during the summer water was
22	-	withdrawn during the pumping season.
23	Q	And do you have an opinion that if the Tribe's
24		calculation or the Tribe's figure for V for the
25		winter months is too small and for the summer months

allaun.

is too large, that there would, consequently, be 1 an error and an under-calculation of recharge and 2 an over-calculation of discharge from the aquifer? 3 Do you have an opinion on that, not for your figures 4 but for the Tribe's? 5 Yes, it would make the --6 Α MR. VEEDER: Now, wait a minute. He asked 7 if you had an opinion. 8 9 Yes, I do. А What is that opinion. You were about to --10 Q The figures would be in error for the inflow or 11 А recharge to the system. 12 Are you talking about --And also for discharge. 13 Q 14 Well --А Are you talking about net inflow? 15 Q I'm not sure I'm following exactly what you are 16 Α asking. The water budget gives you -- it gives 17 you a completely erroneous water budget. 18 Why is that? Why do you say that? 19 Q Well, maybe I could approach it in a little bit 20 А different aspect. If you are going to say that --21 MR. VEEDER: I object to this. It has 22 to be a case of questions and answers, Your Honor, 23 and I think he is about to editorialize. 24 25 THE COURT: Ask another question.

MR. MACK: I'm sorry. Why do you say there was a problem with the figures 0 ss you calculate for the Tribal water budget? Well, the volumes for one is too small and the other Α is too large. The effect of that would be, for instance, if the volume was too small using the area that was, the volume that was de-watered that I used and the cross-sections as shown by the Tribe, would give a similar figure, the volume, when the water level drops and the water rises in that same zone which is a fairly small zone compared to the whole aquifer, would still be the same area. So, for the winter budget you would have a specific yield on the order of nine percent and for that area below the November, '76 water level which would be the area that was de-watered on beyond what the water level rose in the fall, that is the decline during the summer of '77, you would have a specific yield of about 40 percent.

Now, the difference of specific yield of the materials as the water level rose in the fall of eight percent -- say nine percent -- and then the materials after it dropped below that level and dropped down to the lowest level in the summer of 40 percent, is not possible, both by the geometry

PAGE

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1		that you have for the bottom and also my examination
2		of materials in the drill holes that were drilled
3		out there, the logs of existing wells, and the
4		response of water levels, the specific yield of
5		the materials in that range where the water level
6		is changing will be very nearly the same, that you
7		should have a specific yield, that essentially does
8		not change for your winter budget and your summer
9		budget.
10	Q	Now, Mr. Cline, in your work in this area, did you
11		examine well logs for wells in the area?
12	A	Yes, I did.
13	Q	And did you examine them for the material shown
14		there as indicated being in the hole that is drilled,
15		underground materials?
16	A	Did I examine the materials as far as from the
17		well?
18	Q	Did you examine the well logs to see what materials
19		were in the area, drilled?
20	A	Yes.
21	Q	And do you have an opinion as to whether the specific
22		yield in this aquifer varies significantly?
23	А	Yes.
24	Q	And what is your opinion?
25	A	My opinion that in the range that the water level

Master

and and

1 was changing, that the specific yield would vary very little. Essentially, the specific yield would 2 3 be the same. 4 But aren't there different types of materials in Ο underground materials as shown by the well logs? 5 б А Yes, there is. 7 Does that factor affect your opinion that the specific Q 8 yield would not vary considerably? I'm talking about the overall yield in the aquifer. 9 Α 10 Ο Yes. And looking at particular well logs --11 А Object, Your Honor. This 12 MR. VEEDER: answer is not responsive to the inquiry presented. 13 I have to sustain the objection. 14 THE COURT: 15 That isn't what you really asked him. 16 MR. MACK: Sorry, Your Honor. Let me ask you this, Mr. Cline: Are you familiar with 17 Q 18 the well, what has been described as Well 8H1? 19 Yes. А 20 Do data from that well appear in your report? 0 21 Α Yes. 22 Do you know where they appear? Q 23 Well, several different places. Α 24 Do you have --Q 25 In several tables and also illustrations. А

1	Q	Do you have a table showing water elevation, depth
2		to water elevation inthat well?
3	A	I have two tables that show depth to the water.
4	Q	Did the U.S.G.S. rely on the depth to water data
5		obtained from that well in your analysis for the
6		water budget and various other analysis?
7	A	Yes.
8	Q	And did you have any problems with any of the data
9		obtained for depth readings in that well?
10	A	There was one measurement that was not valid and
11		is so stated in the report.
12	Q	Where is that stated?
13	A	On Page 90.
14	Q	Did you rely on that measurement?
15	A	I did not.
16	Q	Now, Mr. Cline, have you heard testimony about
17		the problems with measuring the water in Colville
18		Exhibit Colville Well No. l?
19	A	Yes, I have.
20	Q	Did the U.S.G.S. measure the depth to water in
21		Colville Well No. 1?
22	A	Yes, it did.
23	Q	Do you know how the U.S.G.S. did that?
24	А	Well, yes, I do.
25	Q Q	Did more than one person for U.S.G.S. measure the

1

Webrie

PAGE 2588

Cline - Direct

1		depth to water in that well?
2	A	Yes.
3	Q	About how many people?
4	A	Well, at least three, maybe four or possibly more.
5	Q	And did they do that at various times?
6	А	Yes.
7	Q	Do you know whether they used the same equipment
8		at all times such as an E tape or did they use
9		different equipment.
10	А	There was different equipment used, steel tape
11		sometimes and electric tape sometimes.
12	Q	Do you have an opinion as to the reason for what
13		has been described as a discrepancy between the
14		water level data obtained by the U.S.G.S. measure-
15		ments for Colville irrigation well no. 1 and the
16		Tribes' belief as to the depth of that well?
17	А	Yes, I do.
18	Q	What is your opinion?
19	А	You are referring to the testimony of the depth to
20		the bottom of the intake of the pump in Colville
21		well no. l and my opinion is that the Tribe does
22		not know where the bottom of the intake of their
23		pump is.
24	Q	Is it your opinion that that is more likely than
25		the fact, to conclude that all of the U.S.G.S.
	1	

dilling.

1		measurements were wrong to the depth of water for
2		that well?
3	A	Yes.
4	Q	Now, there was some reference also to tables used
5		by you in your report using information from
6		Professor Johnson. How did you use that information?
7		Did you start with it or did you use it to compare
8		your figures?
9	A	I used it to compare my figures.
10	Q	Did you use anything else to compare your figures
11		besides the Johnson figures?
12	A	Yes, I did.
13	Q	What else did you use?
14	A	Well, my own personal knowledge and included into
15		that is knowledge of the types of material in the
16		No Name Valley and response of the wells to pumping
17		and experience in other areas where I have worked.
18	Q	Do you have an opinion as to whether it is advisable
19		to use other things such as your own experience and
20		figures derived by Professor Johnson or Mr. Johnson
21		to compare the figures you calculated?
22		Do you have an opinion as to the advisability
23		of doing that?
24	A	Yes.
25	Q	What is your opinion?

1	A .	That you should use information for various sources
2		to check on the reliability and the reasonableness
3		of the information that you obtained.
4	Q	Did the Johnson figures confirm your opinion as to
5		the reliability of your own figures?
6	А	Yes, they did.
7	Q	Now, Mr. Cline, are you familiar with the Peters
8		observation well?
9	А	Yes, I am.
10	Q	Do you have an opinion as to whether the water
11		level in the Peters observation well correspond
12		to the water levels in other wells in the No Name
13		Creek aquifer?
14	А	Yes, I do.
15	Q	What is your opinion?
16	A	That the water level does correspond some of the
17		time but some of the time the water levels do not
18		correspond at all.
19	Q	Do you have an explanation for the reason that they
20		might not correspond at all sometimes?
21	A	Well, in particular, one period when it did not
22		was in late summer of 1977. That well is shallower
23		than, for instance, 16Pl which is Peters domestic,
24		and 16P2 which is Colville No. 2 or south Indian
25		irrigation well, and also Walton's new irrigation

1

.

1	well, 21C4. Those three wells are approximately
2	the same depth, 16P3, the observation well is
3	shallower. It is tapping a shallower zone, and
4	in late summer with water being pumped down No Name
5	Creek, the water levels in the well, four wells,
6	declined but after a while the water level in 16P3
7	leveled off and did not decline much any more and
8	at the end of the summer the water level in that
9	well was on the order of 10 feet higher than the
10	water levels in the other three wells. When the
11	pumping in the creek stopped, the water levels in
12	the three deeper wells, after pumping was stopped,
13	they were starting to recover. The water levels
14	were rising. However, in 16P3, the observation
15	well, when the creek stopped flowing, the water
16	level in that well started to drop, so the two
17	were going in opposite trends. Water level in
18	that well dropped for awhile and then it changed
19	slope and then it started to rise again then with
20	the other wells, and the reason for that is
21	because it is a shallower zone. It was receiving
22	recharge from leakage out of No Name Creek and
23	that water was giving then a higher, making the
24	water level in that well higher than the water level
25	in the other wells.
	•

1 Do you have an opinion as to whether there is any Q structural problem with the Peters observation well? 2 3 I'm not sure I understand your question. That well Α 4 is not --5 If he doesn't Objection. MR. VEEDER: 6 understand the question, I submit he shouldn't have 7 tried to answer it. 8 THE COURT: Rephrase the question. 9 (By Mr. Mack) Other than the level of the water Q 10 in the well, how does the Peters observation well 11 differ from the other wells you have described? 12 It has a shallower zone than the other wells. Α Do you have an opinion as to whether the data obtained 13 Q 14 for depth to water in the Peters observation well 15 should be relied on by a hydrologist in making 16 conclusions as to the behavior of the water table 17 in the No Name Creek aquifer and as to calculating 18 recharge figures? 19 Yes, I do. Α 20 And what is your opinion? Q 21 I would not rely on that well. Α 22 And do you have an opinion, Mr. Cline, as to the Q 23 effect of present pumping and pumping future --24 and, as a hypothetical, pumping of greater stress 25 in the No Name Creek aquifer on Omak Creek?

1	A	Would you please repeat the question.
2	Q	Do you have an opinion as to the effect that present
3		pumping and future pumping of greater stress has or
4		might have on Omak Creek contribution to the No Name
5		Creek aquifer?
6	A	Yes, I do.
7	Q	What is your opinion?
8	А	My opinion is that as shown in 1977 that the
9		contribution from the aquifer north of Omak Creek
10		was increased because the groundwater divide was
11		shifted farther north increasing the contribution
12		from that area.
13	Q	Is that shown on anything in your report?
14	A	Yes, it is.
15	Q	Where is that shown in your report?
16	A	Well, a couple of places. One is the map which
17		shows locations of the groundwater divide and
18		another is the profiles of the water level in the
19		valley which, that would be like Figure 18, shows
20		the longitudinal groundwater profiles beneath No
21		Name Valley.
22	Q	Do you believe whether the shift which you say is
23		indicated in the data collected by U.S.G.S., shift
24		of the groundwater divide, could be attributable
25		to anything other than the increased pumping last

Allowed II.

"Salahara

1		year in the No Name Creek aquifer?
2	A	Do I have an opinion?
3	Q	Yes.
4	А	Yes.
5	Q	What is your opinion?
6	A	My opinion is that the pumping is what shifted the
7		groundwater divide.
8		MR. MACK: I have no further questions.
9		THE COURT: Court will be in recess until
10		9:30 a.m.
11		THE BAILIFF: All rise. Court is in
12		recess until 9:30.
13		(Evening recess is taken.)
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		·
	1	

Marine,